

# **Exhibit 3**

William H. Fleming, M.D., Ph.D.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES DISTRICT COURT  
NORTHERN DISTRICT OF CALIFORNIA

-----X  
IN RE: ROUNDUP PRODUCTS MDL No. 2741  
LIABILITY LITIGATION  
Case No.  
16-MD-02741-VC

-----X  
THIS DOCUMENT RELATES TO ALL  
CASES  
-----X

VIDEOTAPED DEPOSITION OF  
WILLIAM H. FLEMING, MD, PHD

September 19, 2017

9:14 a.m.

1350 I Street NW  
Washington, DC 20005

Reported by: Denise D. Vickery, CRR, RMR

Page 2

1 APPEARANCES:  
 2  
 3 For the Plaintiffs:  
 4 THE MILLER FIRM LLC  
 5 BY: TIMOTHY LITZENBURG, ESQ.  
 6 The Sherman Building  
 7 108 Railroad Avenue  
 8 Orange, VA 22960  
 9 540.672.4224  
 10 tlitzenburg@millerfirmllc.com  
 11  
 12  
 13 For the Plaintiffs:  
 14 BAUM HEDLUND ARISTEI GOLDMAN PC  
 15 BY: PEDRAM ESFANDIARY, ESQ.  
 16 12100 Wilshire Boulevard, Suite 950  
 17 Los Angeles, CA 90025  
 18 310.207.3233  
 19 pesfandiary@baumhedlundlaw.com  
 20  
 21  
 22  
 23  
 24  
 25

Page 3

1 APPEARANCES: (Continued)  
 2  
 3 For the Plaintiffs:  
 4 WEITZ & LUXENBERG PC  
 5 BY: MAJA LUKIC, ESQ. (VIA TELEPHONE)  
 6 700 Broadway  
 7 New York, NY 10003  
 8 212.558.5991  
 9 mlukic@weitzlux.com  
 10  
 11  
 12 For the Defendant MONSANTO:  
 13 HOLLINGSWORTH LLP  
 14 BY: ROBERT E. JOHNSTON, ESQ.  
 15 BY: ERICA T. KLENICKI, ESQ.  
 16 1350 I Street NW  
 17 Washington, DC 20005  
 18 202.898.5800  
 19 rjohnston@hollingsworth.com  
 20 eklenicki@hollingsworth.com  
 21  
 22  
 23 Also Present:  
 24 Michael Gay, Videographer  
 25

Page 4

1 INDEX  
 2  
 3 EXAMINATION OF WILLIAM H. FLEMING, MD, PHD PAGE  
 4 BY MR. LITZENBURG 6  
 5 AFTERNOON SESSION 162  
 6  
 7 EXHIBITS  
 8  
 9 FLEMING DEPOSITION EXHIBITS PAGE  
 10 20-1 Expert Report of 7  
 11 William H. Fleming, M.D., Ph.D.  
 12  
 13 20-2 ReStem LLC April 16, 2017 61  
 14 Invoice for NHL Project from  
 15 January 18, 2017 through  
 16 April 7, 2017 for Dr. Fleming  
 17  
 18 20-3 Supplemental Materials 129  
 19 Considered List  
 20  
 21 20-4 9.11 Monitoring and Treatment 177  
 22 Minimum Latency & Types or  
 23 Categories of Cancer by Howard  
 24  
 25

Page 5

1 PROCEEDINGS  
 2 ---  
 3 THE VIDEOGRAPHER: We are on the  
 4 record. The time now is 9:14.  
 5 This marks the beginning of Disk  
 6 No. 1 for the videotaped deposition  
 7 testimony of Dr. William H. Fleming in the  
 8 matter of In re: Roundup Products  
 9 Liability Litigation. This case is  
 10 pending in the United States District  
 11 Court for the Northern District of  
 12 California, Case No. 16-MD-02741-VC.  
 13 Today's date is September 19,  
 14 2017. This deposition is being conducted  
 15 at 1350 I Street, Northwest, Washington,  
 16 DC.  
 17 Will all attorneys present please  
 18 identify themselves and who they  
 19 represent.  
 20 MR. LITZENBURG: Timothy  
 21 Litzenburg for the plaintiffs.  
 22 MR. ESFANDIARY: Pedram  
 23 Esfandiary for the plaintiffs.  
 24 MR. JOHNSTON: Robert Johnston  
 25 for Monsanto.

Page 6

1 MS. KLENICKI: Erica Klenicki for  
 2 Monsanto.  
 3 THE VIDEOGRAPHER: Those on the  
 4 telephone please identify yourself.  
 5 MS. LUKIC: Maja Lukic from Weitz  
 6 & Luxenberg for plaintiffs.  
 7 THE VIDEOGRAPHER: My name is  
 8 Michael Gay. I'm with Golkow  
 9 Technologies. Our court reporter today is  
 10 Denise Vickery, also with Golkow  
 11 Technologies, and will now swear in our  
 12 witness.  
 13 - - -  
 14 WILLIAM H. FLEMING, MD, PH.D.,  
 15 called for examination, and, after having been  
 16 duly sworn, was examined and testified as  
 17 follows:  
 18 THE VIDEOGRAPHER: You may  
 19 proceed.  
 20 EXAMINATION  
 21 BY MR. LITZENBURG:  
 22 Q. Good morning, Dr. Fleming. My name  
 23 is Tim Litzenburg. We just met off the record,  
 24 but do you understand I represent several  
 25 thousand non-Hodgkin lymphoma patients?

Page 7

1 A. I was not aware of -- of those  
 2 details, no.  
 3 Q. Okay. You understand that I  
 4 represent the plaintiffs, the people that are  
 5 suing Monsanto for their injuries?  
 6 A. I, you know, again, I'm not, you  
 7 know, I'm not privy to, you know, a lot of  
 8 details of the case.  
 9 Q. What did you think this was today?  
 10 A. This was a deposition to give you an  
 11 opportunity to discuss my expert report. My  
 12 expert report in a -- in a sentence was: I was  
 13 charged with reviewing, you know, the literature  
 14 and discussing the etiology of non-Hodgkin's  
 15 lymphoma. And as part of this I was asked to,  
 16 you know, look at any data which may actually  
 17 link glyphosate use with NHL.  
 18 Q. Okay. That's what I was going to  
 19 ask you next. I'm going to hand you this.  
 20 Marking. She'll have to mark it.  
 21 (Document marked for  
 22 identification purposes as Fleming Exhibit  
 23 20-1.)  
 24 BY MR. LITZENBURG:  
 25 Q. I have marked as Exhibit 1 the

Page 8

1 expert report of William Fleming, M.D., Ph.D.  
 2 Is that the report you're referring  
 3 to?  
 4 A. Yes, it is.  
 5 Q. Okay. Now, funny you said that  
 6 because it was almost exactly what I was going to  
 7 ask you.  
 8 Concisely what would you say is the  
 9 question that you were asked to answer?  
 10 A. I was -- I was asked to do three  
 11 things. I was asked to give what is essentially  
 12 a lay description of what the immune system was  
 13 and what lymphoma was and spend some time  
 14 discussing what is known in the medical  
 15 literature about the etiology of lymphoma.  
 16 And I was then asked to, you know,  
 17 address the question of whether glyphosate was in  
 18 any way implicated based on the literature  
 19 available for review.  
 20 Q. So were you asked to answer the  
 21 question of whether Roundup could cause  
 22 non-Hodgkin lymphoma?  
 23 A. I was asked about glyphosate  
 24 specifically.  
 25 Q. You don't know anything about the

Page 9

1 formulated product Roundup?  
 2 A. The details of its formulation, no.  
 3 Q. Have you looked at any literature or  
 4 studies involving the actual formulated product  
 5 that people use rather than the technical  
 6 glyphosate?  
 7 A. No, I have not.  
 8 Q. Okay. Do you think that that would  
 9 be an important thing for a scientist to look at  
 10 in determining whether the product Roundup could  
 11 cause cancer? Do you think they should look at  
 12 the formulated product that people use or the  
 13 technical salt that goes into it?  
 14 A. I think that what you have to do is,  
 15 you know, look at the most credible scientific  
 16 data to address that question and the -- I  
 17 focused on the epidemiology literature. And on  
 18 my review, there was no epidemiology literature  
 19 reviewing various formulations of Roundup.  
 20 Q. Okay. My question --  
 21 A. Or various formulations of  
 22 glyphosate. I'm sorry.  
 23 Q. Right. I'm going to make a  
 24 representation that none of our clients used  
 25 technical glyphosate. They all used formulated

Page 10

1 products which contains a surfactant.  
 2 Do you understand what a surfactant  
 3 is?  
 4 A. It --  
 5 MR. JOHNSTON: Objection.  
 6 Compound.  
 7 BY MR. LITZENBURG:  
 8 Q. Do you know what a surfactant is?  
 9 A. I know what the term "surfactant"  
 10 means. I do not have any expertise as it relates  
 11 to the use of surfactants in chemical compounds.  
 12 Q. Okay.  
 13 A. I am aware of the medical usage of  
 14 the term "surfactant."  
 15 Q. Do you know what the surfactant  
 16 makeup is in formulated Roundup products?  
 17 A. No, I don't. You would have to ask,  
 18 you know, a chemical toxicologist that question.  
 19 Q. Do you know -- you don't even know  
 20 what it's called, the name of the surfactant they  
 21 use in any of these products?  
 22 MR. JOHNSTON: Objection.  
 23 Misrepresents the record and compounds  
 24 since there's multiple surfactants in  
 25 these products.

Page 11

1 THE WITNESS: I have not delved  
 2 into the chemical composition of -- of  
 3 what -- of glyphosate. No, I have not.  
 4 BY MR. LITZENBURG:  
 5 Q. Do you -- can cancer be  
 6 multifactorial?  
 7 A. I think it's fair to say that in  
 8 many cases it's been shown to be multifactorial.  
 9 Q. Okay. So, again, we want to take  
 10 the question of whether -- well, let me ask you  
 11 this.  
 12 Do you have an opinion as we sit  
 13 here today to a reasonable degree of medical  
 14 certainty whether exposure to Roundup can  
 15 contribute to lymphoma?  
 16 A. The literature I have reviewed has  
 17 looked at glyphosate exposure and its potential  
 18 to or its potential relationship to NHL. None of  
 19 this literature I'm aware of has -- has ever  
 20 addressed Roundup as a product.  
 21 Q. Okay. Well, let's -- let's stop for  
 22 a minute and talk about glyphosate.  
 23 Do you have an opinion to a  
 24 reasonable degree of certainty here, Doctor,  
 25 today whether or not glyphosate can contribute to

Page 12

1 the development of non-Hodgkin lymphoma?  
 2 A. I'm not aware of any credible  
 3 scientific evidence that glyphosate is linked to  
 4 the development of NHL.  
 5 Q. Well, it's a little bit different  
 6 question. There's lots of sources, and I'm sure  
 7 we'll talk about them throughout the day.  
 8 Do you hold any opinion to a  
 9 reasonable degree of medical certainty about  
 10 whether glyphosate can or cannot contribute to  
 11 the development of lymphoma?  
 12 MR. JOHNSTON: Objection. Asked  
 13 and answered.  
 14 THE WITNESS: Again, I have -- I  
 15 am not aware of any, you know, critical,  
 16 you know, credible science that -- that  
 17 suggests that there's a causative  
 18 relationship between glyphosate and NHL,  
 19 no.  
 20 BY MR. LITZENBURG:  
 21 Q. Okay. Are you aware of any science  
 22 that says that there's a relationship between  
 23 glyphosate and non-Hodgkin lymphoma?  
 24 MR. JOHNSTON: Objection. Asked  
 25 and answered.

Page 13

1 THE WITNESS: I have -- I have  
 2 focused on the human epidemiology of this  
 3 question, and I find no evidence to  
 4 support that conclusion.  
 5 BY MR. LITZENBURG:  
 6 Q. Are you aware of any what I'll call  
 7 positive epidemiological studies?  
 8 MR. JOHNSTON: Objection. Vague.  
 9 THE WITNESS: It would -- it does  
 10 depend how -- how one defines "positive."  
 11 BY MR. LITZENBURG:  
 12 Q. Are there any papers that you're  
 13 aware of that looked at epidemiological studies  
 14 and reached the conclusion that there was an  
 15 association?  
 16 A. There are --  
 17 MR. JOHNSTON: Objection. Vague.  
 18 Go ahead.  
 19 THE WITNESS: There are case  
 20 reports or -- pard me -- case-control  
 21 studies in literature that -- that suggest  
 22 the possibility of a relationship.  
 23 However, there is no data that I  
 24 felt in my scientific opinion, no credible  
 25 scientific data that -- that demonstrated

Page 14

1 this.  
 2 BY MR. LITZENBURG:  
 3 Q. Okay. What papers reached a  
 4 positive result?  
 5 A. Again, given the complexity of  
 6 trying to sort out all the different potential  
 7 causative agents in the agricultural business, it  
 8 was recognized in the late '80s that a large  
 9 prospective study was going to be the only way to  
 10 unravel all the details.  
 11 And, consequently, we have a large  
 12 prospective cohort study of more than 57,000  
 13 pesticide applicators, and I weighed this study  
 14 very heavily in reaching my conclusion.  
 15 MR. LITZENBURG: Would you please  
 16 read that question back to the witness?  
 17 (The reporter read the record on  
 18 page 14 lines 2-3.)  
 19 MR. JOHNSTON: Objection. Vague.  
 20 THE WITNESS: Again, we would  
 21 have to look at specific papers, and I  
 22 would have -- I would have to review the  
 23 abstracts of the papers to see where that  
 24 conclusion was made.  
 25 BY MR. LITZENBURG:

Page 15

1 Q. How many epidemiological papers did  
 2 you review in looking at this?  
 3 A. I would have to, to give you an  
 4 exact number, look at my MCL list here, but I  
 5 suspect it's in the range of five to six  
 6 case-control studies and one prospective cohort  
 7 study, but I considered but did not rely on the  
 8 case-control studies.  
 9 Q. Okay. You relied, I am assuming, on  
 10 some unpublished manuscripts in reaching your  
 11 opinion; right?  
 12 A. No.  
 13 Q. Okay. So in considering the  
 14 Agricultural Health Study data, did you rely only  
 15 on De Roos 2003 or De Roos 2005?  
 16 A. De Roos 2005.  
 17 Q. Okay. What about the 2003 paper?  
 18 A. Again, it was, you know, not a  
 19 prospective cohort study.  
 20 Q. Okay. So you haven't looked at the  
 21 unpublished AHS data?  
 22 A. I did not say that.  
 23 Q. What did you -- I'm sorry. I  
 24 thought you said that a moment ago.  
 25 A. No.

Page 16

1 Q. Okay. So have you looked at this  
 2 unpublished AHS manuscript with comments off in  
 3 the margins that was produced by Dr. Blair?  
 4 A. Yes, I did but, again, in answer to  
 5 your earlier question, I did not rely on this to  
 6 form my opinion.  
 7 Q. Okay. You relied only on negative  
 8 studies, is that fair, to formulate?  
 9 MR. JOHNSTON: Object.  
 10 Objection. Misstates the record and his  
 11 testimony.  
 12 THE WITNESS: I -- this -- this  
 13 was -- the AHS study is not a negative  
 14 study. It is a negative study for  
 15 glyphosate and NHL.  
 16 BY MR. LITZENBURG:  
 17 Q. Do you know what a meta-analysis is?  
 18 A. Yes.  
 19 Q. What is a meta-analysis,  
 20 Dr. Fleming?  
 21 A. A meta-analysis is a statistical  
 22 technique that epidemiologists will use to look  
 23 at data from a great many studies and -- and  
 24 combine them in order to see how the power of the  
 25 extra individuals, you know, influences the

Page 17

1 outcome.  
 2 This is something I have no  
 3 expertise in. I'm not an epidemiologist.  
 4 Q. What are you an expert in,  
 5 Dr. Fleming?  
 6 A. I'm an expert in medical oncology,  
 7 particularly lymphoma. I've specialized in  
 8 hematologic malignancies and have treated  
 9 patients with NHL and its subtypes now for more  
 10 than 25 years.  
 11 Q. What of a -- as a medical  
 12 oncologist, what makes you uniquely qualified to  
 13 comment on the causality of this chemical in  
 14 non-Hodgkin lymphoma?  
 15 A. Uniquely qualified?  
 16 MR. JOHNSTON: Yeah. Objection.  
 17 Misstates the legal standard.  
 18 BY MR. LITZENBURG:  
 19 Q. Do you think there's anything that  
 20 makes you uniquely qualified among medical  
 21 oncologists to look at this question?  
 22 MR. JOHNSTON: Objection.  
 23 Misstates the legal standard. Calls for  
 24 speculation and a hypothetical.  
 25 THE WITNESS: I -- I do not



Page 18

1 believe I'm uniquely qualified, no.  
 2 BY MR. LITZENBURG:  
 3 Q. Okay. You're a pediatrician, aren't  
 4 you?  
 5 A. No.  
 6 Q. You're not?  
 7 A. No.  
 8 Q. Are you boarded in pediatrics?  
 9 A. No.  
 10 Q. Okay.  
 11 A. I am boarded initially in internal  
 12 medicine and subsequently have maintained my  
 13 subspecialty accreditation in medical oncology  
 14 with the American Board of Internal Medicine, as  
 15 is indicated on my CV.  
 16 Q. Are you a professor of pediatrics?  
 17 A. Yes.  
 18 Q. Okay. But you do not consider  
 19 yourself a pediatrician?  
 20 A. No.  
 21 Q. Okay. Have you let Oregon Health  
 22 State University -- I'm probably saying that  
 23 wrong.  
 24 What do you call it?  
 25 A. OHSU.

Page 19

1 Q. OHSU. Have you let OHSU know that  
 2 you're not a pediatrician?  
 3 A. They've appointed me as a professor  
 4 in three different departments and are well aware  
 5 of my qualifications.  
 6 Q. Okay. Do you train pediatricians?  
 7 A. No. Well, there are pediatric  
 8 trainees in hematology and oncology who come and  
 9 do research in my lab.  
 10 So in that context of laboratory  
 11 medicine, yes. In the context of clinical  
 12 pediatrics, no.  
 13 Q. Okay. Do you treat patients?  
 14 A. Yes.  
 15 Q. How often? How many days a week?  
 16 A. One day a week now for the last, you  
 17 know, since 1993.  
 18 Q. '93 did you say?  
 19 A. Uh-huh.  
 20 Q. So for the last 24 years, what has  
 21 the other 8 percent of your time been done?  
 22 A. A combination of research and  
 23 administration and teaching.  
 24 Q. Okay. In the last year, what has  
 25 been the proportion of your patients that were

Page 20

1 pediatric patients versus adults?  
 2 A. Oh, that's easy. Zero pediatric  
 3 patients. 100 percent adults.  
 4 Q. Okay. You, again, are in the  
 5 department of medicine and pediatrics; is that  
 6 right?  
 7 A. Correct.  
 8 I'd be happy to expand if you like.  
 9 Q. But you don't treat any juvenile  
 10 patients?  
 11 A. There are patients on the border in  
 12 their late teens that can go either way. So I  
 13 have absolutely treated 17-year-olds who wanted  
 14 to be treated on an adult oncology service and,  
 15 yes. So I've, you know, there's -- there's that  
 16 gray area, but patients below that age are  
 17 exclusively treated by the pediatricians.  
 18 Q. When is the last time you treated a  
 19 patient that was younger than 17?  
 20 MR. JOHNSTON: Objection. Vague.  
 21 THE WITNESS: Younger than 17? A  
 22 very long time ago.  
 23 BY MR. LITZENBURG:  
 24 Q. Okay. How many 17-year-old patients  
 25 have you had in the last year?

Page 21

1 A. None.  
 2 Q. None?  
 3 When is the last time you treated a  
 4 17-year-old?  
 5 A. I can't put a time and date on it.  
 6 It would have been on the bone marrow transplant  
 7 leukemia service sometime probably 2010, 2012.  
 8 It's been a while.  
 9 Q. Since 2012, for the last five years,  
 10 you have not had a single patient under the age  
 11 of 18?  
 12 A. Correct.  
 13 Q. Okay. Do you know why I asked you  
 14 if you were a pediatrician?  
 15 A. Well, I'm a professor of pediatrics,  
 16 professor of medicine, professor of immunology  
 17 and microbiology, and I have -- also have an  
 18 appointment in -- in cellular and molecular  
 19 biology.  
 20 Q. Okay.  
 21 A. So all of these are on my CV.  
 22 Q. Okay. You teach in the department  
 23 of medicine and pediatrics; correct?  
 24 A. I -- I teach medical students and I  
 25 teach postgrad or postgraduate trainees. They

Page 22

1 see patients with me in clinic.  
 2 Q. So you are within the department of  
 3 medicine and pediatrics, are you not?  
 4 A. Yes.  
 5 Q. Okay. Is there an oncology  
 6 department at OHSU?  
 7 A. It's the Knight Cancer Center runs  
 8 an oncology program that is technically within  
 9 the department of medicine, but it's also  
 10 administered by the Knight Cancer Institute.  
 11 Q. There's no department of medical  
 12 oncology at OHSU?  
 13 A. There's about 70 physicians who  
 14 practice medical oncology in what was originally  
 15 called the division of hematology and medical  
 16 oncology, which was part of the department of  
 17 medicine.  
 18 The development of the Knight Cancer  
 19 Center over the past several years has changed  
 20 the administrative structure of this somewhat.  
 21 So that they are responsible for many of the  
 22 faculty activities, while the department of  
 23 medicine is responsible for promotion.  
 24 Q. Okay. Are there -- among those 70  
 25 physicians, are there some that don't teach

Page 23

1 pediatrics?  
 2 A. We have joint conferences.  
 3 Hematology -- heme malignancies, which I have  
 4 expertise in, has a lot of similarity to  
 5 pediatric hematology because of the frequency of  
 6 leukemia in both age groups.  
 7 So we often have joint conferences  
 8 for specific topics. Joint conferences for  
 9 visiting professors because it's interesting to  
 10 pediatricians who treat ALL and adults who treat  
 11 ALL, for instance, is, you know, very similar.  
 12 This is not true of the rest of  
 13 medical oncology where there are adult tumors  
 14 that do not occur in pediatrics, such as colon  
 15 cancer, and there are many pediatric tumors that  
 16 do not -- that do not occur in adults.  
 17 Q. What are some of the pediatric  
 18 tumors that don't occur in adults?  
 19 A. Rhabdomyosarcoma. Ewing sarcoma.  
 20 They're very -- I shouldn't say never, but  
 21 they're very unusual to see them -- to see them  
 22 in adults. Retinal blastoma.  
 23 Q. Okay. So did you -- of those three  
 24 cancers that you just mentioned, did you need to  
 25 look at any of them today -- I'm sorry -- before

Page 24

1 today for the purposes of this lawsuit in your  
 2 expert role?  
 3 MR. JOHNSTON: Objection. Vague.  
 4 THE WITNESS: I'm sorry. I don't  
 5 follow your question.  
 6 BY MR. LITZENBURG:  
 7 Q. You just named three types of  
 8 malignancies?  
 9 A. Uh-huh.  
 10 Q. Okay. Okay. Of those three, did  
 11 you look at any of those malignancies, study any  
 12 of them in preparation for your deposition today  
 13 or to answer the question that you were supposed  
 14 to answer?  
 15 A. No.  
 16 Q. Okay. I'll ask you the same  
 17 question two questions ago.  
 18 Are there medical oncologists at  
 19 your university who do not teach pediatrics?  
 20 MR. JOHNSTON: Objection. Asked  
 21 and answered by counsel's own admission.  
 22 THE WITNESS: There would be  
 23 medical oncologists who do not train or  
 24 interact with pediatric trainees in their  
 25 adult clinic but, again, many people have

Page 25

1 laboratory studies.  
 2 Many people have protocols that  
 3 cross between adults and pediatrics and,  
 4 in fact, we have a number of trainees who  
 5 are jointly trained.  
 6 There's a joint program where you  
 7 can come out board certified in internal  
 8 medicine and pediatrics, and we have  
 9 several such individuals.  
 10 MR. LITZENBURG: Could you read  
 11 the question back?  
 12 (The reporter read the record on  
 13 page 24 lines 18-19.)  
 14 MR. JOHNSTON: Wait. Wait.  
 15 Wait. He hasn't asked you a question. He  
 16 just had her read the record.  
 17 MR. LITZENBURG: Really?  
 18 MR. JOHNSTON: If that's the  
 19 question, it's asked and answered twice.  
 20 MR. LITZENBURG: Let's see.  
 21 MR. JOHNSTON: If you read his  
 22 answer, it answers the question.  
 23 BY MR. LITZENBURG:  
 24 Q. Are there any medical oncologists  
 25 associated with your university who do not teach



Page 26

1 in pediatrics, would not be called a professor of  
 2 pediatrics?  
 3 MR. JOHNSTON: Objection.  
 4 Misstates his title and is asked and  
 5 answered.  
 6 THE WITNESS: I -- I do not  
 7 understand the substance of your question  
 8 and can't answer it.  
 9 BY MR. LITZENBURG:  
 10 Q. You do not know if there are  
 11 oncologists at your university that are not  
 12 professors of pediatrics?  
 13 MR. JOHNSTON: Objection.  
 14 Misstates the record and his testimony.  
 15 Misstates his -- his resume, 1/2 and vague.  
 16 THE WITNESS: Again, I am not  
 17 able to answer your question the way  
 18 you've posed it.  
 19 BY MR. LITZENBURG:  
 20 Q. Okay. Okay. Again, going back  
 21 to -- I think the question of the day is: Do you  
 22 hold an opinion about whether or not glyphosate  
 23 can cause non-Hodgkin lymphoma?  
 24 MR. JOHNSTON: Objection. Vague.  
 25 Asked and answered.

Page 27

1 THE WITNESS: Based on my review  
 2 of the medical literature and -- I have  
 3 found no credible evidence that links  
 4 glyphosate to the development of NHL in  
 5 humans.  
 6 BY MR. LITZENBURG:  
 7 Q. Would you allow -- if one of your  
 8 lymphoma patients came to you and asked if it was  
 9 safe to continue using Roundup in his yard, what  
 10 would you say as the physician?  
 11 MR. JOHNSTON: Objection.  
 12 Incomplete hypothetical. Assumes facts  
 13 not in evidence. I'll go with those two.  
 14 THE WITNESS: I would tell him  
 15 that there is no credible medical evidence  
 16 linking glyphosate to NHL at this time.  
 17 BY MR. LITZENBURG:  
 18 Q. You know what Category 2A is in the  
 19 context of IARC?  
 20 A. My recollection is, is that it's  
 21 probably carcinogenic according to IARC, but I  
 22 would have to check --  
 23 Q. Okay.  
 24 A. -- their.  
 25 Q. What other substances that are

Page 28

1 probably carcinogenic according to IARC would you  
 2 encourage your lymphoma patients to continue  
 3 using in such a way, sir?  
 4 MR. JOHNSTON: Objection.  
 5 Misstates his testimony. Misstates the  
 6 record. Beyond the scope of his opinion  
 7 and not relevant to this case.  
 8 THE WITNESS: I do not advise my  
 9 patients based on IARC's assessment of  
 10 carcinogens specifically.  
 11 BY MR. LITZENBURG:  
 12 Q. Okay. Have you -- of the portion of  
 13 papers that you've done, how many of them have  
 14 been on the subject of pediatric treatment or  
 15 etiology, anything having to do with juveniles?  
 16 MR. JOHNSTON: Objection. Vague  
 17 as to "have done."  
 18 Do you mean that he's written?  
 19 Or he's an author on?  
 20 BY MR. LITZENBURG:  
 21 Q. You have your publication list right  
 22 there.  
 23 MR. JOHNSTON: So you're  
 24 withdrawing the question?  
 25 THE WITNESS: Sure. Could you

Page 29

1 repeat the question?  
 2 BY MR. LITZENBURG:  
 3 Q. Sure. Starting in these  
 4 publications -- hang on. We'll look at that in  
 5 another 10 minutes at a break.  
 6 Okay. Who are the other medical  
 7 oncologists at OHSU who teach pediatrics?  
 8 MR. JOHNSTON: Objection. Asked  
 9 and answered. You want their names?  
 10 MR. LITZENBURG: That's generally  
 11 what "who" means.  
 12 MR. JOHNSTON: Objection.  
 13 Irrelevant. Beyond the scope of his  
 14 expert opinion.  
 15 THE WITNESS: I agree. I don't  
 16 know the answer to that question.  
 17 BY MR. LITZENBURG:  
 18 Q. You cannot name another professor of  
 19 oncology at OHSU who teaches pediatrics?  
 20 MR. JOHNSTON: Objection.  
 21 Misstates his testimony. Argumentative.  
 22 THE WITNESS: Again, there's a  
 23 lot of complex activities where adult  
 24 medical oncologists interact with  
 25 pediatric trainees.

Page 30

1 They are not necessarily teaching  
 2 them pediatrics. They are teaching them  
 3 cancer biology. They are teaching them  
 4 cancer epidemiology, if that's their area  
 5 of interest, but they are not, you know,  
 6 teaching them how to take care of specific  
 7 diseases in specific pediatric patients.  
 8 BY MR. LITZENBURG:  
 9 Q. Would you be comfortable with the  
 10 American Board of -- you understand the American  
 11 Board of Internal Medicine has guidelines on  
 12 expert testimony?  
 13 A. I'm not aware of those guidelines.  
 14 Q. So you didn't look into what  
 15 guidelines there might control you professionally  
 16 before doing this?  
 17 MR. JOHNSTON: Objection.  
 18 Assumes facts not in the record that  
 19 anything controls him professionally.  
 20 THE WITNESS: Right. I believe  
 21 I'm free to give my expert testimony or  
 22 opinion as I see fit.  
 23 BY MR. LITZENBURG:  
 24 Q. Would you be comfortable with the  
 25 American Board of Internal Medicine reading this

Page 31

1 expert report that you've drafted for Monsanto?  
 2 A. I would have no problem with anybody  
 3 reading this report.  
 4 Q. Has your -- has anyone in your  
 5 department read it?  
 6 MR. JOHNSTON: Objection,  
 7 counsel. You know this is for litigation.  
 8 It's not something he passes around to his  
 9 department.  
 10 THE WITNESS: I --  
 11 MR. JOHNSTON: You're harassing  
 12 the witness.  
 13 THE WITNESS: I have -- I have no  
 14 reason to get -- to distribute this to my  
 15 colleagues, no.  
 16 BY MR. LITZENBURG:  
 17 Q. Okay. Why as a clinician would the  
 18 etiology of non-Hodgkin lymphoma interest you?  
 19 A. Because it comes up virtually every  
 20 day in clinic.  
 21 Q. Okay. Are you interested in  
 22 modifiable things -- exposures more so than  
 23 unmodifiable?  
 24 MR. JOHNSTON: Objection. Vague  
 25 and compound.

Page 32

1 BY MR. LITZENBURG:  
 2 Q. In what context does it come up  
 3 every day in clinic?  
 4 A. Gee, doc, why do I have this  
 5 lymphoma?  
 6 Q. Uh-huh. Do you --  
 7 A. Gee, doc, why are my, you know, what  
 8 is the relative risk of my brother and sister  
 9 getting this? My aging grandmother getting this?  
 10 My children getting this?  
 11 Q. Do you ever answer those questions?  
 12 A. I answer them all the time.  
 13 Q. Okay. When is the last time you  
 14 told a patient what you believe caused his  
 15 non-Hodgkin lymphoma?  
 16 A. I -- I can't put an exact time and  
 17 date on it, but it would almost certainly be a  
 18 patient in the context of prior immunosuppression  
 19 for either a rheumatologic disease or organ  
 20 transplant because those are -- those are the  
 21 most common.  
 22 Q. And so in that context, you would  
 23 tell him that his previous immunosuppression  
 24 therapy you believe contributed to the lymphoma?  
 25 A. It would not usually be previous.

Page 33

1 It would usually be ongoing.  
 2 When you remove immunosuppression,  
 3 typically immunosuppression-driven lymphomas  
 4 disappear.  
 5 Q. Okay. Is there anything else that  
 6 causes lymphoma other than immunosuppression?  
 7 A. Sure. Patients who have Hodgkin's  
 8 disease have a significantly higher risk of  
 9 developing non-Hodgkin's lymphoma five to 10  
 10 years later.  
 11 Q. Okay. So --  
 12 A. Whether this is due to the  
 13 chemotherapy that they've been given in the  
 14 context of their Hodgkin's disease or the  
 15 radiation therapy, or a combination of all three,  
 16 is not known.  
 17 Q. Hodgkin disease, immunosuppression.  
 18 Anything else that you're aware of  
 19 that causes non-Hodgkin lymphoma?  
 20 A. A number of different viral  
 21 infections can predispose to it.  
 22 Q. Okay.  
 23 A. They come in two different general  
 24 subtypes. One where you actually have the virus  
 25 driving the lymphoma, such as reactivation of

Page 34

1 Epstein-Barr virus, or, two, HIV which actually  
 2 acts an immunosuppressant. It doesn't cause the  
 3 lymphoma cells to proliferate and give rise to  
 4 lymphoma. It suppresses the immune system.  
 5 Again, if you suppress the immune  
 6 system with HIV, when you treat that successfully  
 7 with the, you know, great therapies we have  
 8 today, then these lymphomas tend to regress or  
 9 not -- not occur.  
 10 Q. Other than diseases, malignancies,  
 11 and medical treatments, are you aware of anything  
 12 that can cause non-Hodgkin lymphoma?  
 13 MR. JOHNSTON: Objection. Vague.  
 14 THE WITNESS: There is emerging  
 15 evidence from the American Health Study  
 16 that's recently published that implicates  
 17 certain insecticides in this regard.  
 18 BY MR. LITZENBURG:  
 19 Q. Okay. So are there some  
 20 insecticides that you would advise a patient to  
 21 stop using if they asked?  
 22 MR. JOHNSTON: Objection.  
 23 Assumes facts not in the record.  
 24 THE WITNESS: Right. I --  
 25 MR. JOHNSTON: And a

Page 35

1 hypothetical.  
 2 THE WITNESS: I agree. I would  
 3 need a more detailed or a more focused  
 4 question to answer it meaningfully.  
 5 BY MR. LITZENBURG:  
 6 Q. How many meta-analyses have been  
 7 published on the topic with which you have  
 8 concerned yourself in this litigation?  
 9 A. In the issue of glyphosate and NHL?  
 10 Q. Uh-huh.  
 11 A. Yeah. I did not rely on these  
 12 meta-analyses to -- to come to my conclusion, my  
 13 expert opinion.  
 14 What I did with them is what I did  
 15 with every review article and the IARC report  
 16 itself is that I used them to be sure I wasn't  
 17 missing any peer-reviewed published primary data  
 18 that would influence my opinion.  
 19 Q. Doctor, how many meta-analyses have  
 20 been published on this topic?  
 21 A. I can't give you an exact number.  
 22 Q. Can you name a single one?  
 23 A. I did not rely on meta-analyses for  
 24 my opinion.  
 25 Q. Dr. Fleming, you're here today to

Page 36

1 testify on whether a chemical can cause  
 2 non-Hodgkin lymphoma, and you can't name a single  
 3 published meta-analysis on the topic?  
 4 MR. JOHNSTON: Objection.  
 5 Argumentative.  
 6 Counsel laughed when he asked the  
 7 question let the record reflect.  
 8 THE WITNESS: Meta-analysis,  
 9 while of some use in the epidemiology,  
 10 field is not something I would rely on  
 11 when I have a prospective cohort study.  
 12 BY MR. LITZENBURG:  
 13 Q. Is that a no?  
 14 MR. JOHNSTON: Again, let the  
 15 record reflect that counsel laughed.  
 16 BY MR. LITZENBURG:  
 17 Q. Is that answer a no?  
 18 A. I do not rely on meta-analysis of  
 19 retrospective studies when I have a robust  
 20 prospective cohort study.  
 21 Q. Okay. What other papers did you  
 22 ignore?  
 23 MR. JOHNSTON: Objection.  
 24 Argumentative. Misstates the record.  
 25 THE WITNESS: I considered the

Page 37

1 papers on my Materials Considered List.  
 2 You would have to provide me with  
 3 examples that weren't on there and ask me  
 4 specifically why I did not look at it.  
 5 BY MR. LITZENBURG:  
 6 Q. Can you name a single positive  
 7 paper?  
 8 A. Positive in what respect?  
 9 MR. JOHNSTON: Yeah. Objection.  
 10 Vague.  
 11 BY MR. LITZENBURG:  
 12 Q. It reached a statistically  
 13 significant result for the association of  
 14 glyphosate and non-Hodgkin lymphoma?  
 15 MR. JOHNSTON: Objection. Vague.  
 16 THE WITNESS: I do not recall a  
 17 case-control study examining glyphosate  
 18 and NHL that showed a statistically  
 19 significant increased odds ratio after  
 20 adjustment for other pesticides.  
 21 BY MR. LITZENBURG:  
 22 Q. So how many do exactly that, what  
 23 you just said? How many studies do that?  
 24 A. They -- it's challenging to do that  
 25 because you have to have a lot of patients

Page 38	Page 40
<p>1 enrolled in the study. Again, this is why you 2 need a prospective cohort study.</p> <p>3 Q. Dr. Fleming, how many studies meet 4 the criteria that you just set forth?</p> <p>5 A. The Agricultural Health Study does.</p> <p>6 Q. Okay. And what -- that's a 7 case-control study?</p> <p>8 A. No. No. I don't -- there isn't a 9 case-control study that I have had the 10 opportunity to review that I know of that shows a 11 statistically significant increase in odds ratio 12 after confounding factors have been taken into 13 account.</p> <p>14 Q. Are you aware of any case-control 15 studies examining glyphosate and NHL that adjust 16 for other pesticides?</p> <p>17 MR. JOHNSTON: To the extent you 18 recall.</p> <p>19 THE WITNESS: Not to the extent I 20 recall. I'd have to review the specific 21 data you're talking about.</p> <p>22 BY MR. LITZENBURG:</p> <p>23 Q. Dr. Fleming, what are you here to 24 tell us today?</p> <p>25 MR. JOHNSTON: Objection.</p>	<p>1 MR. JOHNSTON: Go with a compound 2 question.</p> <p>3 BY MR. LITZENBURG:</p> <p>4 Q. You said that in order to answer 5 this question, is that correct, that you would 6 have to look at a case-control study?</p> <p>7 MR. JOHNSTON: Yeah. I think 8 you're asking your -- what you said.</p> <p>9 BY MR. LITZENBURG:</p> <p>10 Q. In order to answer the question, are 11 you telling us you would need a case-control 12 study examining glyphosate and NHL that adjusted 13 for other pesticides?</p> <p>14 MR. JOHNSTON: Objection. Vague. 15 What question?</p> <p>16 THE WITNESS: Again, I'm not sure 17 what your question is.</p> <p>18 BY MR. LITZENBURG:</p> <p>19 Q. What was -- what was -- when you 20 gave me that -- that description, what were you 21 talking about, Doc?</p> <p>22 MR. JOHNSTON: Objection. 23 Improper. Argumentative.</p> <p>24 BY MR. LITZENBURG:</p> <p>25 Q. A case --</p>
Page 39	Page 41
<p>1 Argumentative.</p> <p>2 He's here because you 3 subpoenaed -- you served a notice of 4 deposition on him. He's not here to tell 5 you anything.</p> <p>6 BY MR. LITZENBURG:</p> <p>7 Q. You can't name a single 8 meta-analysis on this topic, you can't name a 9 single positive paper on this topic, and you 10 can't name a single study that meets the criteria 11 that you just set forth?</p> <p>12 MR. JOHNSTON: Objection. 13 Misstates the record. Argumentative.</p> <p>14 THE WITNESS: The AHS study does 15 correct for pesticide exposure.</p> <p>16 BY MR. LITZENBURG:</p> <p>17 Q. Okay. And where was the AHS study 18 published? Any published results of that?</p> <p>19 A. The -- there are several published 20 results. The first one is De Roos 2005.</p> <p>21 Q. Okay. And that was a case-control 22 study?</p> <p>23 A. No. This was cohort study.</p> <p>24 Q. Okay. Let's see if we can get an 25 answer to that last question.</p>	<p>1 MR. JOHNSTON: You're asking 2 questions. He's giving answers, counsel. 3 So ask him a question and then he'll give 4 you an answer.</p> <p>5 BY MR. LITZENBURG:</p> <p>6 Q. A case-control study examining 7 glyphosate and NHL with adjustment for other 8 pesticides.</p> <p>9 A. Right. I did not --</p> <p>10 Q. When you --</p> <p>11 A. I did not see that, and the reason I 12 -- and this -- this is conjecture is the reason 13 is that most of these case-control studies did 14 not have an adequate number of patients to 15 adequately control for all the additional 16 exposures.</p> <p>17 Q. So you looked at zero studies --</p> <p>18 A. I looked --</p> <p>19 Q. -- that could answer this question 20 to your satisfaction?</p> <p>21 A. I looked at every study that's on my 22 MCL.</p> <p>23 Q. Okay. What --</p> <p>24 A. And I considered every study on MCL, 25 and the only human epidemiology study I placed</p>



Page 42

1 any weight on was the AHS study.  
 2 Q. It was not a case-control study?  
 3 A. No.  
 4 Q. Okay.  
 5 A. It was a cohort study.  
 6 Q. Okay. So what was all that about  
 7 how you needed a case-control study to answer the  
 8 question?  
 9 MR. JOHNSTON: Objection. He  
 10 didn't say that. You said that, counsel.  
 11 Objection. Misstates the record.  
 12 THE WITNESS: If you showed me a  
 13 case-control study that could address this  
 14 issue of confounding variables,  
 15 specifically the use of other pesticides,  
 16 it could theoretically, you know, be  
 17 important, but none of these studies  
 18 adjusted for that.  
 19 BY MR. LITZENBURG:  
 20 Q. And none has been done to date to  
 21 your knowledge; right?  
 22 A. Correct.  
 23 MR. JOHNSTON: Objection. Vague.  
 24 BY MR. LITZENBURG:  
 25 Q. Okay. So is it more fair to say

Page 43

1 that you don't know whether or not non-Hodgkin  
 2 lymphoma can be caused by glyphosate or is it  
 3 more -- or is your opinion actually that it  
 4 cannot cause non-Hodgkin lymphoma?  
 5 MR. JOHNSTON: Objection.  
 6 Misstates the legal standard. Asked and  
 7 answered.  
 8 THE WITNESS: My opinion is it is  
 9 not known.  
 10 BY MR. LITZENBURG:  
 11 Q. Okay. And so have you looked at any  
 12 case specific -- are you going to be a  
 13 case-specific expert in this litigation?  
 14 MR. JOHNSTON: Objection. You  
 15 have his expert report, counsel. He has  
 16 no case-specific opinions.  
 17 THE WITNESS: I have not been  
 18 asked to do any future work in a  
 19 case-specific matter.  
 20 BY MR. LITZENBURG:  
 21 Q. Have you looked at anybody's medical  
 22 records in the context of this glyphosate/Roundup  
 23 litigation?  
 24 A. Oh, okay. Sure. I understand the  
 25 question.

Page 44

1 MR. JOHNSTON: Wait a minute.  
 2 Hold on. Hold on. I need to take a break  
 3 before he can answer that question.  
 4 MR. ESFANDIARY: Not when a  
 5 question is pending.  
 6 MR. JOHNSTON: Well, then I  
 7 instruct him not to answer the question.  
 8 MR. ESFANDIARY: On what grounds,  
 9 counsel?  
 10 MR. JOHNSTON: On the grounds  
 11 that you here are noticed under the  
 12 federal system -- federal case. He did  
 13 not offer a case-specific case in any  
 14 federal cases.  
 15 MR. LITZENBURG: We're not asking  
 16 about what his opinion is.  
 17 MR. JOHNSTON: You can't ask him  
 18 whatever you want.  
 19 MR. ESFANDIARY: Yeah, we can.  
 20 MR. LITZENBURG: I'm not asking  
 21 him about his opinion in his report.  
 22 MR. JOHNSTON: This line of  
 23 questioning is improper.  
 24 MR. LITZENBURG: I can't ask him  
 25 about other expert reports?

Page 45

1 MR. JOHNSTON: You know what the  
 2 answer is. You know what -- that he  
 3 provided a declaration in Dee Johnson.  
 4 MR. LITZENBURG: I can't ask him  
 5 about other expert work, Bob?  
 6 MR. JOHNSTON: What? In this  
 7 case?  
 8 MR. LITZENBURG: That he has  
 9 done.  
 10 MR. JOHNSTON: Anywhere? An  
 11 expert opinion anywhere on anything?  
 12 MR. LITZENBURG: Yeah. Do you  
 13 know if there's federal rules about  
 14 disclosing expert work? What do you think  
 15 is the --  
 16 MR. JOHNSTON: Sorry. That  
 17 wasn't your question. Your question was  
 18 specific to this case. If you want to ask  
 19 him if he's ever asked -- offered a  
 20 case-specific opinion ever in any case,  
 21 I'll let him answer that question.  
 22 BY MR. LITZENBURG:  
 23 Q. Have you been retained to perform  
 24 expert work on specific patients in the context  
 25 of this glyphosate litigation?

Page 46

1 A. I have been asked to review one very  
2 specific question in one case.

3 Q. Okay. And did you look at whether  
4 glyphosate could contribute to his non-Hodgkin  
5 lymphoma?

6 MR. JOHNSTON: I'm going to  
7 object to this, counsel. The question  
8 that he provided a declaration on you know  
9 has been resolved. You guys have agreed  
10 on a trial schedule.

11 You know that that was not a  
12 causation issue. It was a question of  
13 life expectancy for someone with NHL.  
14 These are improper questions in this  
15 deposition.

16 MR. LITZENBURG: Bob, I think  
17 you've said more words on the record today  
18 than Dr. Fleming has.

19 BY MR. LITZENBURG:  
20 Q. With that preamble from your  
21 counsel, do you want to answer the question --

22 MR. JOHNSTON: And I'm objecting  
23 to this question.

24 BY MR. LITZENBURG:  
25 Q. -- of whether or not you've looked

Page 47

1 at a patient's records with an eye towards  
2 whether glyphosate contributed to his non-Hodgkin  
3 lymphoma?

4 MR. JOHNSTON: Go ahead.

5 THE WITNESS: I have looked at a  
6 single patient's record in one context  
7 only, and that was to provide my  
8 professional opinion on whether this  
9 individual was expected to live less than  
10 six months.

11 BY MR. LITZENBURG:  
12 Q. Was --

13 A. That was the focus of it. The --  
14 any other aspect of it was beyond the scope of  
15 the evaluation I was asked to perform.

16 Q. Was he a pediatric patient?

17 A. No. He was 40 -- is 47 years old,  
18 approximately.

19 Q. Okay. Here's the question I'm  
20 interested in.

21 Would there be anything within a  
22 person's medical records or medical history that  
23 could lead you to conclude that glyphosate  
24 contributed to their non-Hodgkin lymphoma?

25 A. Would there ever? Absolutely no.

Page 48

1 MR. JOHNSTON: Objection. Vague.  
2 Hypothetical.

3 BY MR. LITZENBURG:  
4 Q. Okay.

5 A. No.

6 Q. So in order for you to determine  
7 whether glyphosate contributed to a person's  
8 non-Hodgkin lymphoma or not, you don't need to  
9 look at any of their medical records; is that --  
10 is that true?

11 MR. JOHNSTON: Objection,  
12 counsel. You're confusing the issue of  
13 general causation and specific causation.

14 He is here on general causation.  
15 That's what his report is about in this  
16 litigation. I object to these questions  
17 as outside the scope of his report.

18 BY MR. LITZENBURG:  
19 Q. He didn't tell you not to answer.  
20 He just gave you a long-winded way to answer, but  
21 I'll ask the question again.

22 In order for you to determine  
23 whether glyphosate contributed to a specific  
24 person's lymphoma, you wouldn't need to look at  
25 their medical records at all, would you?

Page 49

1 MR. JOHNSTON: You'd have to have  
2 evidence that it causes it first, counsel.  
3 You haven't -- that's what he's here to  
4 talk about.

5 MR. LITZENBURG: Bob, you just  
6 literally answered the question. There's  
7 no objection. You literally answered the  
8 question with a statement.

9 MR. JOHNSTON: I'm objecting that  
10 this is an abusive question. You know the  
11 scope of his report. The scope of his  
12 report is general causation. He says  
13 there's no evidence of general causation.

14 So you're asking a question that  
15 is beyond the scope of this report.

16 BY MR. LITZENBURG:  
17 Q. Dr. Fleming, is there anything that  
18 you could know about a patient that can cause  
19 you -- cause you to conclude that glyphosate  
20 exposure contributed to their non-Hodgkin  
21 lymphoma?

22 MR. JOHNSTON: Objection. Calls  
23 for speculation. Hypothetical.

24 THE WITNESS: I am not aware of  
25 any credible scientific evidence that



Page 50

1 links glyphosate exposure to the  
 2 development of NHL --  
 3 BY MR. LITZENBURG:  
 4 Q. So --  
 5 A. -- in a general sense.  
 6 Q. So if you're answering that question  
 7 for any specific patient, you don't need to look  
 8 at anything, their medical record, their medical  
 9 history, their exposure history?  
 10 MR. JOHNSTON: Objection.  
 11 Hypothetical. Vague. Speculation. Go  
 12 ahead.  
 13 THE WITNESS: I would agree. If  
 14 I -- if I were to offer a specific  
 15 causation opinion, which I have not ever  
 16 offered for glyphosate and NHL, I would  
 17 want to look at all the potential records  
 18 I could.  
 19 BY MR. LITZENBURG:  
 20 Q. And so if -- no, I'm asking.  
 21 You understand that the plaintiffs  
 22 in this litigation are not looking at other  
 23 chemicals, right, or other products?  
 24 MR. JOHNSTON: Objection. Vague.  
 25 THE WITNESS: I -- I'm not -- I'm

Page 51

1 not aware of the scope of this litigation.  
 2 BY MR. LITZENBURG:  
 3 Q. Okay. So backing up.  
 4 You said that you don't know whether  
 5 glyphosate can cause non-Hodgkin lymphoma; is  
 6 that correct?  
 7 A. I said it is not known.  
 8 Q. Okay.  
 9 A. Meaning there is no credible  
 10 scientific evidence supporting a relationship.  
 11 Q. It is not known?  
 12 A. What --  
 13 MR. JOHNSTON: Hold on.  
 14 Objection. Vague.  
 15 Is that a question or a  
 16 statement?  
 17 BY MR. LITZENBURG:  
 18 Q. Well, complete that sentence. It is  
 19 not known --  
 20 MR. JOHNSTON: He just --  
 21 BY MR. LITZENBURG:  
 22 Q. -- that what?  
 23 MR. JOHNSTON: Objection. Asked  
 24 and answered. You can read it. It's on  
 25 the screen. Go ahead.

Page 52

1 THE WITNESS: Please repeat the  
 2 whole question.  
 3 BY MR. LITZENBURG:  
 4 Q. Are you able to answer the question  
 5 of whether or not glyphosate can contribute to  
 6 non-Hodgkin lymphoma?  
 7 MR. JOHNSTON: Objection. Asked  
 8 and answered repeatedly.  
 9 THE WITNESS: I am not aware of  
 10 any credible scientific evidence that  
 11 links glyphosate exposure to the  
 12 development of NHL.  
 13 BY MR. LITZENBURG:  
 14 Q. And until you become aware of such  
 15 credible evidence, you would continue to advise  
 16 pediatric patients, for example, with lymphoma to  
 17 continue to use glyphosate?  
 18 A. I do not advise pediatric patients  
 19 in any capacity.  
 20 Q. How about adult patients?  
 21 A. I would not advise adult patients  
 22 one way or another about glyphosate.  
 23 Q. Would you present this expert report  
 24 to your department at the university?  
 25 A. Happily.

Page 53

1 MR. JOHNSTON: Objection. Asked  
 2 and answered.  
 3 BY MR. LITZENBURG:  
 4 Q. Okay. All right. Who told you --  
 5 how did you come up with this method of the two  
 6 maps and the overlays?  
 7 A. I reviewed the medical literature,  
 8 recognized that the AHS study was the gold  
 9 standard of a prospective cohort study that could  
 10 adjust for these pesticides, and it did not show  
 11 any increase in relative risk for individuals  
 12 exposed to glyphosate.  
 13 So at this point, to think about  
 14 this issue further after having reached that  
 15 scientific conclusion based on that data, I  
 16 decided could there be other data sets out there,  
 17 perhaps not necessarily linked together to  
 18 address this question, that I could query to find  
 19 out if there were, what would, you know, the  
 20 expected associations you would see if there was  
 21 some linkage.  
 22 So the answer to that question was  
 23 yes, and I was able to take the NCI data at the  
 24 county level for the incidence of non-Hodgkin's  
 25 lymphoma and, again, this is a robust data set.

Page 54

1 It just allows you basically to --  
 2 to bring up that one graph -- all the  
 3 calculations have been done for you -- and  
 4 basically compare that to the US Geological  
 5 Services' map of glyphosate usage in the United  
 6 States.  
 7 And if there were to be some  
 8 potential linkage, one would expect that high  
 9 levels of glyphosate would correspond to a high  
 10 incidence of NHL nationwide.  
 11 Q. How much time did you spend reading  
 12 scientific papers in this case?  
 13 A. Which case? The entire? You mean  
 14 -- you mean on the entire case? It's -- it's  
 15 hard to quantify that.  
 16 Q. You don't know?  
 17 A. Some papers I looked at, you know,  
 18 very quickly. Read the abstract, read the title,  
 19 decided in my expert opinion it was not worth  
 20 pursuing further. There were others I read in  
 21 more detail, and there were others I read several  
 22 times.  
 23 Q. Dr. Fleming --  
 24 A. It just -- it all depends.  
 25 Q. Yeah. Other than De Roos 2005, how

Page 55

1 many -- what other papers did you read several  
 2 times?  
 3 A. I looked at the case-control  
 4 studies.  
 5 Q. What were they?  
 6 A. They are listed here. There's about  
 7 five of them.  
 8 Q. Okay. Can you name one off the top  
 9 of your head?  
 10 A. Sure. Eriksson '08.  
 11 Q. Okay. And that was a positive  
 12 study; correct?  
 13 MR. JOHNSTON: Objection. Vague  
 14 as to "positive."  
 15 THE WITNESS: In my view -- in my  
 16 view, it was a hypothesis-generating study  
 17 that did not show a statistically  
 18 significant relationship between  
 19 glyphosate exposure and NHL when even  
 20 corrected for the -- the multivaried  
 21 analysis, which was a short list of  
 22 variables and did not include all the  
 23 other potential exposures.  
 24 BY MR. LITZENBURG:  
 25 Q. Your criticism is it considered and

Page 56

1 oversimplified in only considering a few  
 2 variables?  
 3 MR. JOHNSTON: Objection.  
 4 Misstates his testimony.  
 5 THE WITNESS: No. I am  
 6 suggesting it doesn't provide  
 7 statistically significant data indicating  
 8 a clear relationship between glyphosate  
 9 and NHL.  
 10 BY MR. LITZENBURG:  
 11 Q. And no study has been performed to  
 12 date that could -- regardless of what the data  
 13 generated was -- could provide that data for you  
 14 to convince you otherwise?  
 15 A. Once --  
 16 MR. JOHNSTON: Objection. Vague.  
 17 THE WITNESS: The AHS study does  
 18 a very comprehensive look at all the  
 19 pesticides in this, you know, important  
 20 prospective study.  
 21 BY MR. LITZENBURG:  
 22 Q. How much time did you spend looking  
 23 at the AHS study?  
 24 A. Again, I didn't have a particular  
 25 time run. I've looked at it on several different

Page 57

1 occasions for different -- different lengths of  
 2 time.  
 3 Q. Okay. How much time did you spend  
 4 looking at the AHS study versus the two published  
 5 meta-analyses?  
 6 MR. JOHNSTON: Objection. Calls  
 7 for speculation.  
 8 THE WITNESS: Again, I decided  
 9 early on to use meta-analysis as I would  
 10 any other review article.  
 11 So I read the title and the  
 12 abstract and then went immediately to the  
 13 reference section just to make sure that  
 14 they did not reference in that manuscript  
 15 any, you know, any primary data in the  
 16 literature that I had missed.  
 17 BY MR. LITZENBURG:  
 18 Q. What review articles on this subject  
 19 were written by Monsanto employees in part?  
 20 A. I am -- again, review articles I  
 21 used simply to look.  
 22 I didn't -- I did not base my  
 23 scientific opinion on the opinions provided in  
 24 any review article, and that would include the  
 25 IARC monograph and any review articles on this

<p style="text-align: right;">Page 58</p> <p>1 topic. So I did not -- I did not delve into 2 those details.</p> <p>3 Q. Would you be comfortable presenting 4 to a professional organization of internal 5 medicine physicians and telling them that in your 6 opinion glyphosate cannot contribute to 7 non-Hodgkin lymphoma?</p> <p>8 MR. JOHNSTON: Objection. 9 Misstates his testimony and asked and 10 answered. Go ahead.</p> <p>11 THE WITNESS: I would be 12 comfortable testifying to any professional 13 body that based on the available 14 scientific evidence that there is no 15 credible association between glyphosate 16 and NHL.</p> <p>17 BY MR. LITZENBURG: 18 Q. Would you use those two maps? Would 19 you present those to professional organization 20 physicians?</p> <p>21 A. I would -- yes, I'd be very happy to 22 present them, and I'll tell you why. Because 23 they -- they are illustrative of the point. So 24 the literature does not support an association. 25 Let's look beyond the literature.</p>	<p style="text-align: right;">Page 60</p> <p>1 BY MR. LITZENBURG: 2 Q. Okay. How much time have you spent 3 this week on this litigation?</p> <p>4 A. This week?</p> <p>5 Q. Uh-huh.</p> <p>6 A. What time is it now?</p> <p>7 MR. JOHNSTON: 10:08.</p> <p>8 BY MR. LITZENBURG: 9 Q. It's 10 a.m. 10 A. Reviewing my report, reviewing 11 documents, sitting here today, maybe four hours.</p> <p>12 Q. You've been here -- you got here 13 before 6 a.m. today?</p> <p>14 A. No.</p> <p>15 Q. Okay. How long have you spent --</p> <p>16 A. Yes. Well, I didn't -- I didn't 17 arrive today.</p> <p>18 Q. How long have you spent meeting with 19 the defense lawyers for Monsanto this week?</p> <p>20 A. This week. Again, I can't give you 21 an exact number. A few hours.</p> <p>22 Q. Okay.</p> <p>23 MR. JOHNSTON: Counsel, we've 24 been going about an hour. As soon as you 25 get to a convenient point, can we take a</p>
<p style="text-align: right;">Page 59</p> <p>1 Let's look at huge data sets we have and let's 2 look at very obvious questions we can answer.</p> <p>3 So those two maps have to be looked 4 at in context of the additional data on incidence 5 of NHL and, put together, they tell you that as 6 glyphosate usage has increased over time, NHL 7 incidence has plateaued and then fallen off.</p> <p>8 Interesting but doesn't address 9 local regional differences in the use of 10 glyphosate. Well, gee, is there any way we can 11 address that? Just to get an idea, an 12 illustrative example, and the answer is yes.</p> <p>13 As it turns out US EPA have a map of 14 glyphosate use. The NCI has a very handy map of 15 county incidence of NHL.</p> <p>16 Q. How much time did you spend prior to 17 this week working on this case?</p> <p>18 A. Prior to which? This week?</p> <p>19 Q. This week, yeah.</p> <p>20 A. On what? I'm sorry. Could you 21 complete the question?</p> <p>22 Q. The Roundup lymphoma litigation.</p> <p>23 MR. JOHNSTON: Objection. Vague.</p> <p>24 THE WITNESS: I would have to 25 look at my invoices and add them up.</p>	<p style="text-align: right;">Page 61</p> <p>1 break?</p> <p>2 MR. LITZENBURG: Yeah, sure. 3 Give me one or two more minutes and that's 4 a good idea.</p> <p>5 MR. JOHNSTON: Sure.</p> <p>6 BY MR. LITZENBURG: 7 Q. All right. I'm looking at your 8 invoices. In fact, I'll give you a copy of 9 that --</p> <p>10 A. Sure.</p> <p>11 Q. -- as Exhibit 2. 12 (Document marked for 13 identification purposes as Fleming Exhibit 14 20-2.)</p> <p>15 THE WITNESS: (Reviewing 16 document).</p> <p>17 MR. JOHNSTON: Do you have a copy 18 for me, counsel?</p> <p>19 MR. LITZENBURG: Yeah.</p> <p>20 MR. JOHNSTON: Thank you.</p> <p>21 BY MR. LITZENBURG: 22 Q. All right. We're looking at 23 Exhibit 2. 24 Do you recognize what this is? 25 A. Yes.</p>

Page 62	Page 64
<p>1 Q. What is it?</p> <p>2 A. It is a collection of billings I</p> <p>3 have submitted for my time working on this</p> <p>4 question of NHL.</p> <p>5 Q. And were you contacted before --</p> <p>6 there's a retention letter dated January of 2017.</p> <p>7 Did you have any contact with the</p> <p>8 lawyers from Hollingsworth prior to 2017?</p> <p>9 A. I believe in -- yes. Yes. I</p> <p>10 believe at some date -- I can't tell you when --</p> <p>11 in relatively late 2016, I was called up and</p> <p>12 asked what I thought about the -- about providing</p> <p>13 an expert report on the etiology of lymphoma.</p> <p>14 Q. Okay. When did they contact you?</p> <p>15 A. Again, I --</p> <p>16 MR. JOHNSTON: Objection. Asked</p> <p>17 and answered.</p> <p>18 THE WITNESS: I can't give you an</p> <p>19 exact date.</p> <p>20 BY MR. LITZENBURG:</p> <p>21 Q. Okay. And you agreed to -- to write</p> <p>22 a report on whether or not Roundup could cause</p> <p>23 cancer?</p> <p>24 A. I agreed to do several things.</p> <p>25 Hollingsworth has used me as a resource for</p>	<p>1 that that is another case that we have separate</p> <p>2 from this.</p> <p>3 Prior to drafting your report on</p> <p>4 this, once you relied on the medical literature,</p> <p>5 how much time did you spend reading the medical</p> <p>6 literature?</p> <p>7 A. Again, I did combinations of</p> <p>8 draft -- where it says "draft report," draft</p> <p>9 report includes reading the literature and taking</p> <p>10 notes. I did not break out that -- those times</p> <p>11 specifically.</p> <p>12 Q. Well, you wrote "literature review"</p> <p>13 on the first page 3/9/17; right?</p> <p>14 A. Uh-huh.</p> <p>15 Q. Okay.</p> <p>16 A. Yeah.</p> <p>17 Q. And that's you put 2.25 hours there;</p> <p>18 right?</p> <p>19 A. Right.</p> <p>20 Q. All right. Between March of 2017</p> <p>21 and when you began drafting this report say in</p> <p>22 June 1 of 2017, you'll agree with me that's the</p> <p>23 first time it says that you were drafting?</p> <p>24 A. Well, I see -- I see a</p> <p>25 teleconference on May 17th. So that would be</p>
Page 63	Page 65
<p>1 general information on the biology of lymphoma,</p> <p>2 the treatment of lymphoma.</p> <p>3 I've answered a great many of their</p> <p>4 questions that are, you know, not necessarily</p> <p>5 directly in this report because they were, you</p> <p>6 know, I provided general expertise on -- on the,</p> <p>7 you know, clinical management of -- of NHL. So I</p> <p>8 did a great -- a great many things that are</p> <p>9 reflected here.</p> <p>10 Q. Okay. And when we look at this</p> <p>11 packet, things that say Johnson versus Monsanto,</p> <p>12 that was -- that was work on a specific case;</p> <p>13 right?</p> <p>14 A. A specific case addressing a very</p> <p>15 specific issue.</p> <p>16 Q. What -- so, and that's what I'm</p> <p>17 getting at.</p> <p>18 None of that time was spent</p> <p>19 determining whether or not glyphosate is capable</p> <p>20 of causing non-Hodgkin lymphoma.</p> <p>21 That was spent looking at prognoses</p> <p>22 and medical records; is that right?</p> <p>23 A. That is correct.</p> <p>24 Q. Okay. So let's set aside anything</p> <p>25 that says it's for Johnson. You and I understand</p>	<p>1 before.</p> <p>2 Q. Were you taking dictation, sir, with</p> <p>3 that time?</p> <p>4 MR. JOHNSTON: Objection.</p> <p>5 BY MR. LITZENBURG:</p> <p>6 Q. Did you take any --</p> <p>7 MR. JOHNSTON: Vague.</p> <p>8 BY MR. LITZENBURG:</p> <p>9 Q. -- dictation from the Hollingsworth</p> <p>10 lawyers?</p> <p>11 MR. JOHNSTON: Objection. Well,</p> <p>12 first of all, we have an agreement in this</p> <p>13 case that you're not going to ask about</p> <p>14 the substance of communications with the</p> <p>15 counsel.</p> <p>16 So I'm going to object to that</p> <p>17 question and instruct him not to answer.</p> <p>18 MR. ESFANDIARY: He's not talking</p> <p>19 about the substance. He's talking about</p> <p>20 the contract.</p> <p>21 MR. JOHNSTON: Yeah, he is. He's</p> <p>22 asking whether he took dictation from</p> <p>23 counsel is about substance. So I'm not --</p> <p>24 MR. LITZENBURG: No, I'm asking</p> <p>25 about --</p>

Page 66

1 MR. JOHNSTON: He's not going to  
 2 answer that question.  
 3 Just like you guys have objected  
 4 to similar questions in prior depositions  
 5 so far, particularly the Weisenberger  
 6 deposition.  
 7 BY MR. LITZENBURG:  
 8 Q. Dr. Fleming --  
 9 MR. JOHNSTON: You want to ask a  
 10 different question?  
 11 BY MR. LITZENBURG:  
 12 Q. -- did you type anything out that  
 13 Hollingsworth asked you to verbatim?  
 14 MR. JOHNSTON: Objection.  
 15 That's -- look, you know the federal rules  
 16 prevent you from asking questions about  
 17 the creation of his expert reports. That  
 18 is outside the scope of the rules and the  
 19 agreement in this case.  
 20 I'm instructing you not to  
 21 answer.  
 22 MR. LITZENBURG: You're  
 23 instructing him not to answer --  
 24 MR. JOHNSTON: Yes I am.  
 25 MR. LITZENBURG: -- about

Page 67

1 anything about the creation of his expert  
 2 report?  
 3 MR. JOHNSTON: Whether -- yes,  
 4 that's what the federal rules provide.  
 5 MR. ESFANDIARY: That's not true.  
 6 MR. JOHNSTON: Communication  
 7 between counsel and the expert about the  
 8 report is protected under the federal  
 9 rules.  
 10 MR. LITZENBURG: Okay. Well, I  
 11 guess we'll mark that for later.  
 12 Prior to June 1st --  
 13 MR. JOHNSTON: That's fine. If  
 14 you want to do that, we can go back and  
 15 re-depose all of the experts who you've  
 16 instructed not to answer on similar  
 17 grounds.  
 18 BY MR. LITZENBURG:  
 19 Q. Prior to June 1st of 2017, how many  
 20 times have you spent reviewing literature?  
 21 A. It's difficult to tell because only  
 22 once in all of these pieces of paper in front of  
 23 me here can I find -- well, let's see.  
 24 Only a couple times did I break out  
 25 literature and document review. Actually, that

Page 68

1 was on Johnson's. So strike that.  
 2 I basically at the end of the day  
 3 did not specifically put literature review or  
 4 document review down separately from, you know,  
 5 working on the report.  
 6 Q. But you did?  
 7 A. I did, but I did not do it  
 8 consistently because I learned that it wasn't  
 9 particularly important to do so. So I -- I  
 10 basically changed, you know, I basically changed  
 11 the heading, if you will, and -- and billed the  
 12 time.  
 13 I'm paid for my time whether it's  
 14 reviewing the literature, having a  
 15 teleconference, or actually writing a report.  
 16 Q. So when did you alter these bills?  
 17 A. I have never altered these bills.  
 18 Q. You said --  
 19 A. I just said --  
 20 Q. -- you went back and you changed?  
 21 A. No. I said on March 3rd or -- pard  
 22 me -- March 9, 2017, I had literature review. On  
 23 April 3rd, it says "meeting preparation."  
 24 Meeting preparation almost certainly involved  
 25 some aspect of review of the literature I was

Page 69

1 going to discuss when I met at Hollingsworth.  
 2 I did not break out meeting  
 3 preparation into literature review, you know,  
 4 drafting, you know, report or anything else. I  
 5 just -- I just gave it that title which --  
 6 Q. You didn't do any drafting at all in  
 7 March or April of this year; right?  
 8 A. You know, as soon as I begin the  
 9 literature review and handwrite some notes,  
 10 that's in my view beginning the -- that -- in my  
 11 view that's beginning the -- the draft report.  
 12 Q. Why didn't you write that?  
 13 A. It just did not seem important.  
 14 Q. Dr. Fleming, in the first 10 hours  
 15 you spent on this case, two of them were spent  
 16 looking at the medical literature; right?  
 17 MR. JOHNSTON: Objection.  
 18 Misstates his testimony. Vague. Asked  
 19 and answered.  
 20 THE WITNESS: The meeting  
 21 preparation from April 3, 2017 almost  
 22 certainly contains the component of  
 23 literature review.  
 24 I needed to put down the sort of  
 25 general subject matter for that -- for



Page 70	Page 72
<p>1 that time period that -- that I've</p> <p>2 recorded there, that 1.25 hours, and that</p> <p>3 -- that is not meant to be a detailed, you</p> <p>4 know, inclusive statement. It's a general</p> <p>5 statement.</p> <p>6 BY MR. LITZENBURG:</p> <p>7 Q. Through June 3rd of 2017, you had</p> <p>8 billed 57 hours in this case and two of them are</p> <p>9 reviewing literature; is that correct?</p> <p>10 A. That's because I did not</p> <p>11 specifically, except in a couple of cases,</p> <p>12 actually break out literature review from the</p> <p>13 rest of the process.</p> <p>14 Q. Dr. Fleming, between March and June</p> <p>15 3rd -- up through June 3rd, you did not spend</p> <p>16 more than 2.25 hours out of 57 hours looking at</p> <p>17 the literature; isn't that correct? Isn't that</p> <p>18 what you've written down?</p> <p>19 MR. JOHNSTON: Objection.</p> <p>20 Compound. Asked and answered.</p> <p>21 THE WITNESS: I'd like --</p> <p>22 MR. JOHNSTON: Misrepresents the</p> <p>23 record.</p> <p>24 THE WITNESS: I'd like to answer</p> <p>25 it one more time and say, I did not record</p>	<p>1 Q. Okay. So through June 3rd, we're</p> <p>2 still at 2.25 hours of literature review; right?</p> <p>3 A. My billings do not accurately</p> <p>4 reflect the amount of time I spent reviewing the</p> <p>5 literature. I was unaware that there would be</p> <p>6 any need to do so.</p> <p>7 Q. Your billings do not accurately</p> <p>8 reflect the time you spent?</p> <p>9 A. No.</p> <p>10 MR. JOHNSTON: Objection.</p> <p>11 Misstates his testimony. Go ahead.</p> <p>12 BY MR. LITZENBURG:</p> <p>13 Q. Go ahead.</p> <p>14 A. My testimony is that my billings do</p> <p>15 not accurately reflect each hour of literature</p> <p>16 review as this was often done in the context of</p> <p>17 writing the draft report, and there was no reason</p> <p>18 to separate these out.</p> <p>19 Q. Okay. By the time that you started</p> <p>20 drafting the report on June 1st of 2017, you had</p> <p>21 spent some, geez, 60 -- no, 57 hours or so</p> <p>22 working on this case, two of which were looking</p> <p>23 at the medical literature; right?</p> <p>24 A. That is not correct.</p> <p>25 MR. JOHNSTON: Objection.</p>
Page 71	Page 73
<p>1 reviewing the literature other than when</p> <p>2 it is recorded.</p> <p>3 However, the activities, such as</p> <p>4 meeting preparation and draft report,</p> <p>5 often included literature reviews as part</p> <p>6 of that. I was told there is no need to</p> <p>7 break it down into granular detail. So I</p> <p>8 didn't.</p> <p>9 BY MR. LITZENBURG:</p> <p>10 Q. Okay. There's fair --</p> <p>11 A. So there may have been -- there may</p> <p>12 have been a couple instances where that's what I</p> <p>13 primarily did for those 2.2 hours, and I did not</p> <p>14 review any -- any other materials and I did not</p> <p>15 write too much down and that was purely just</p> <p>16 perhaps -- you know, I can't speak to the</p> <p>17 granular nature of that, but I did not mean to</p> <p>18 exclude anything by the headings I have used in</p> <p>19 my billing.</p> <p>20 Q. Let's look at the June -- that</p> <p>21 second page, the June 3rd bill.</p> <p>22 Between 5/5/17 and 5/25/17, all of</p> <p>23 those entries are meetings with Hollingsworth</p> <p>24 attorneys; right?</p> <p>25 A. (Reviewing document). Yes.</p>	<p>1 Compound. Asked and answered.</p> <p>2 Misrepresents the record.</p> <p>3 BY MR. LITZENBURG:</p> <p>4 Q. Okay. Name me again a single</p> <p>5 meta-analysis looking at this question of the</p> <p>6 association --</p> <p>7 MR. JOHNSTON: Wait, counsel.</p> <p>8 Can we take a break?</p> <p>9 BY MR. LITZENBURG:</p> <p>10 Q. -- between glyphosate and</p> <p>11 non-Hodgkin lymphoma?</p> <p>12 As soon as he answers that, we'll</p> <p>13 take our break.</p> <p>14 MR. JOHNSTON: Asked and</p> <p>15 answered. Harassing the witness. He's</p> <p>16 already talked with you about this.</p> <p>17 THE WITNESS: I did not rely on</p> <p>18 any meta-analysis to form my opinion.</p> <p>19 BY MR. LITZENBURG:</p> <p>20 Q. Do you know if they exist? Can you</p> <p>21 name any?</p> <p>22 A. I know they exist. I can't give you</p> <p>23 authors, journals, and dates of publication off</p> <p>24 the top of my head.</p> <p>25 Q. But you're here to tell you us</p>



Page 74

1 whether or not glyphosate can cause non-Hodgkin  
 2 lymphoma?  
 3 A. I'm here to tell you that in my  
 4 medical expert opinion I find no credible  
 5 scientific evidence linking glyphosate to the  
 6 development of NHL.  
 7 Q. All right. Let's take a break.  
 8 MR. JOHNSTON: Hold on. Before  
 9 we do that, I just want to mark for the  
 10 record the fact -- or state for the record  
 11 that my instruction not to answer was  
 12 based on Pretrial Order 7 in this case,  
 13 Section B1, which provides:  
 14 No party will seek discovery of  
 15 any expert's notes, drafts of expert  
 16 reports, or communications with counsel.  
 17 And also on Federal Rule of Civil  
 18 Procedure 26(b)(4)(B) and (C) which  
 19 provides that communications with  
 20 counsel -- between an expert and counsel  
 21 are not discoverable.  
 22 THE VIDEOGRAPHER: Time now is  
 23 10:22. We are going off the record.  
 24 (A brief recess was taken.)  
 25 THE VIDEOGRAPHER: The time now

Page 75

1 is 10:38. We are back on the record.  
 2 This is the beginning of Disk No. 2.  
 3 BY MR. LITZENBURG:  
 4 Q. Dr. Fleming, would you agree with me  
 5 that correlation and causation are not the same  
 6 thing?  
 7 A. It, again, depends on the -- on how  
 8 you define it. There's several different --  
 9 different ways to define "causation."  
 10 Q. Are correlation and causation the  
 11 same thing?  
 12 A. I do not believe they are, no.  
 13 Q. Okay. Can you tell me, please, how  
 14 do we determine causality in humans? What is the  
 15 generally accepted way that cancer doctors do?  
 16 A. Cancer doctors do this by, you know,  
 17 linking, you know, various outcomes with various  
 18 exposures and looking for statistically  
 19 significant differences in those outcomes, and we  
 20 do it for the most part obviously in the setting  
 21 of treatment for malignant disease.  
 22 Q. And, again, I'm sort of talking more  
 23 -- well, why is it important to your treatment?  
 24 A. Well, because if the treatment  
 25 works, it will cause more patients to survive.

Page 76

1 It will cause some patients to be cured, and  
 2 these are really important end points, which in  
 3 many ways are actually kind of similar to what  
 4 the epidemiology literature does with exposures  
 5 to various environmental agents.  
 6 Q. Why does etiology matter to your --  
 7 your treatment plan?  
 8 A. Oh. Well, if somebody has had a  
 9 prior, you know, history of Hodgkin's disease and  
 10 chemotherapy, I'm going to think of their  
 11 lymphoma very differently because it's a  
 12 secondary lymphoma, and this will not necessarily  
 13 be cured by the standard chemotherapy we would  
 14 give if it was de novo disease.  
 15 Q. Okay.  
 16 A. This is true for a number of  
 17 secondary malignancies.  
 18 Q. What chemicals cause non-Hodgkin  
 19 lymphoma?  
 20 A. I am --  
 21 MR. JOHNSTON: Objection. Beyond  
 22 the scope of his report.  
 23 THE WITNESS: Yeah. I was -- I  
 24 was asked to address glyphosate and NHL.  
 25 Hodgkin's disease is, as you know, a

Page 77

1 completely separate disease entity.  
 2 BY MR. LITZENBURG:  
 3 Q. Do you hold the opinion as a cancer  
 4 doctor, oncologist, that any chemical is capable  
 5 of causing non-Hodgkin lymphoma?  
 6 MR. JOHNSTON: Objection. Beyond  
 7 scope of his report.  
 8 THE WITNESS: Again, I've been  
 9 focusing on glyphosate and NHL.  
 10 BY MR. LITZENBURG:  
 11 Q. Okay. What has the science  
 12 disclosed on Hodgkin's lymphoma?  
 13 MR. JOHNSTON: Objection. Beyond  
 14 the scope of his report.  
 15 THE WITNESS: There are  
 16 associations between certain pesticides  
 17 and NHL that are listed in my report.  
 18 BY MR. LITZENBURG:  
 19 Q. Is there is an association between  
 20 glyphosate and NHL in the literature?  
 21 A. Not in the literature I choose to  
 22 rely on to formulate my report.  
 23 Q. Okay. What are the Bradford Hill  
 24 criteria?  
 25 A. The Bradford Hill criteria are a

Page 78

1 list of criteria that are named after their  
 2 author which basically have been set up to guide  
 3 epidemiologic studies.  
 4 Q. Okay. What are they?  
 5 A. Well --  
 6 MR. JOHNSTON: Objection. Beyond  
 7 the scope of his report. Go ahead.  
 8 THE WITNESS: I -- again, I do  
 9 not use Bradford Hill on a regular basis.  
 10 I use related evidence-based medicine  
 11 algorithms on a regular basis, which are  
 12 very similar to Bradford Hill.  
 13 BY MR. LITZENBURG:  
 14 Q. When you perform --  
 15 A. Any of them are.  
 16 Q. -- like regression analyses  
 17 yourself; is that what you're saying?  
 18 A. No.  
 19 Q. Oh, okay. Well, answer.  
 20 A. When I -- when I look at, you know,  
 21 the temporality of -- of exposures or  
 22 dose-responses, these sorts of things, they are  
 23 listed as Bradford Hill criteria. They're also  
 24 criteria in evidence-based medicine, you know,  
 25 going back many years.

Page 79

1 Q. So there are no pesticides that in  
 2 your opinion cause non-Hodgkin lymphoma?  
 3 A. There are pesticides in a recent  
 4 publication from the Agricultural Health Study  
 5 that are statistically associated with a trend  
 6 towards increased NHL.  
 7 Q. What are they?  
 8 A. I refer back to my report for the  
 9 list and the citation.  
 10 Alavanja 2014 published a report  
 11 based on the AHS cohort that found that certain  
 12 subtypes of NHL were correlated to exposure to  
 13 lindane, permethrin, diazinon, Tribufos, and DDT.  
 14 The authors concluded that while  
 15 pesticides from different chemical and functional  
 16 classes were associated with an increased risk of  
 17 NHL, not all members of a given class were  
 18 associated with an elevated risk of NHL, total  
 19 NHL or its subtypes.  
 20 Q. That's -- that study didn't look at  
 21 glyphosate, did it? That paper 2014?  
 22 A. This paper was derived from the same  
 23 cohort, therefore, data set, if you will, as the  
 24 2005 De Roos publication that they did not  
 25 address the question of glyphosate.

Page 80

1 Q. How many other chemicals did you  
 2 read studies on -- let's see. How many other --  
 3 yeah. How many other chemicals did you study the  
 4 causality of these articles that don't even  
 5 mention glyphosate?  
 6 MR. JOHNSTON: Objection.  
 7 Assumes facts not in the record and goes  
 8 beyond the scope of his expert report.  
 9 THE WITNESS: What I did in terms  
 10 of the scope of my expert report was to  
 11 look at NHL outcomes that were from the  
 12 AHS study.  
 13 So there was this pesticide  
 14 Alavanja 2014, and there was a second very  
 15 interesting study looking at allergies and  
 16 their effect on the risk of NHL. This  
 17 would be Hofmann 2015.  
 18 BY MR. LITZENBURG:  
 19 Q. Those are the two papers not  
 20 mentioning glyphosate that you relied upon?  
 21 A. I relied --  
 22 MR. JOHNSTON: Objection. Vague.  
 23 Go ahead.  
 24 THE WITNESS: I relied upon -- I  
 25 did not rely upon these papers to draw my

Page 81

1 scientific conclusion.  
 2 I relied upon these papers to  
 3 show that there was plenty of evidence in  
 4 the AHS cohort of significant differences  
 5 in NHL outcome under certain  
 6 circumstances.  
 7 BY MR. LITZENBURG:  
 8 Q. Uh-huh. Do you know how  
 9 Hollingsworth or Monsanto came to you as an  
 10 expert?  
 11 A. I don't know the details of how they  
 12 did that, no.  
 13 Q. You just got a cold call?  
 14 A. Well --  
 15 MR. JOHNSTON: Objection. Vague.  
 16 THE WITNESS: Yeah. Essentially  
 17 -- essentially I was called up, yes, on  
 18 the telephone and asked if I would be  
 19 willing to review the question of NHL  
 20 etiology in general and with a specific  
 21 emphasis on glyphosate.  
 22 BY MR. LITZENBURG:  
 23 Q. Okay. Who called you? Was it  
 24 somebody from Hollingsworth?  
 25 A. Yes.

Page 82

1 Q. Okay. And that was late 2016 you  
 2 said?  
 3 A. Approximately, yes.  
 4 Q. Okay. Why did you use, sir,  
 5 Bradford Hill criteria in your 9 -- 11-page  
 6 report?  
 7 MR. JOHNSTON: Objection.  
 8 Misstates his report.  
 9 THE WITNESS: I didn't use the  
 10 Bradford Hill criteria.  
 11 I cross-referenced, as it says  
 12 here in my report, a couple of the  
 13 Bradford Hill criteria, of which there are  
 14 nine. Specifically the biological  
 15 gradient question or dose-response and the  
 16 temporality question, exposures, you know,  
 17 predating the development of it.  
 18 And I did this in the context of  
 19 looking -- after looking at other expert  
 20 reports that have used Bradford Hill  
 21 criteria to make the argument that  
 22 glyphosate exposure increases the risk of  
 23 NHL.  
 24 So to make my report cogent with  
 25 their reports, I restated the Bradford

Page 83

1 Hill criteria, but I could have simply  
 2 just talked about temporality, biological  
 3 gradients, as I have provided that data  
 4 in -- in several of the figures in my  
 5 report.  
 6 BY MR. LITZENBURG:  
 7 Q. What proportion of your patients are  
 8 you treating for non-Hodgkin lymphoma?  
 9 A. That's a tough question.  
 10 70 percent.  
 11 Q. Okay.  
 12 A. 60 percent. I don't know.  
 13 Q. Okay. Do you tell your patients --  
 14 do you ever use the word "latency" in talking  
 15 with your patients?  
 16 A. The term seldom, if ever, comes up.  
 17 Q. What does it mean to you as a  
 18 scientist?  
 19 MR. JOHNSTON: Objection. Vague.  
 20 THE WITNESS: Latency to me means  
 21 the time from an exposure to an agent,  
 22 such as a chemotherapy agent for breast  
 23 cancer, and the development of a second  
 24 malignancy, such as AML, in patients who  
 25 are -- who are treated for breast cancer.

Page 84

1 So we've got a known exposure  
 2 time and dose and you've got -- you've got  
 3 an outcome, and the time between those two  
 4 is your latency period.  
 5 BY MR. LITZENBURG:  
 6 Q. Does that vary -- that latency  
 7 period vary among cancers?  
 8 MR. JOHNSTON: Objection. Vague.  
 9 THE WITNESS: I didn't review  
 10 latency amongst cancer in general as part  
 11 of my expert report.  
 12 BY MR. LITZENBURG:  
 13 Q. Dr. Fleming, do you know whether  
 14 latency in solid organ tumors is longer, about  
 15 the same as, or shorter than length for blood  
 16 cancer?  
 17 A. It --  
 18 MR. JOHNSTON: Objection. Vague  
 19 as to the exposure involved.  
 20 THE WITNESS: It all depends.  
 21 We'd have to be much more specific.  
 22 BY MR. LITZENBURG:  
 23 Q. It all depends on the cancer  
 24 subtype; right?  
 25 MR. JOHNSTON: Objection. Vague.

Page 85

1 Incomplete hypothetical.  
 2 THE WITNESS: The subtype is one  
 3 of many factors that you need to consider  
 4 when discussing latency. Sure.  
 5 BY MR. LITZENBURG:  
 6 Q. It depends on what exposures there  
 7 were?  
 8 MR. JOHNSTON: Objection. Vague.  
 9 THE WITNESS: It depends on a  
 10 great number of criteria.  
 11 BY MR. LITZENBURG:  
 12 Q. Okay. Well, you told us in this  
 13 paper that latency is 10 years?  
 14 A. I'm saying with the best available  
 15 data we have, that's a very reasonable time frame  
 16 in which to expect lymphoma to develop.  
 17 Q. Are there children with lymphoma or  
 18 other blood cancers under the age of 10 that come  
 19 through your hospital?  
 20 A. Not through my clinic, but I'm sure  
 21 they come through the hospital and the pediatric  
 22 clinics.  
 23 Q. Okay.  
 24 A. Of which I am not a part.  
 25 Q. Okay. All right. Tell us how you

<p style="text-align: right;">Page 86</p> <p>1 arrived at this 10-year latency.  2 A. I arrived at this 10-year latency by  3 reviewing the literature for secondary cancers,  4 which is well established for the treatment of  5 solid tumors, and the development -- with a  6 variety of different chemotherapy agents, and the  7 development of secondary malignancies, which are  8 almost exclusively acute myeloid leukemia.  9 There is relatively little data on  10 NHL as NHL is not typically a secondary cancer in  11 patients that have been treated for other tumors.  12 There are two notable exceptions to this.  13 One, as we've discussed, is the  14 development of NHL in patients that have  15 previously had Hodgkin's disease, and there are  16 additional reports this time in the pediatric  17 literature just suggesting the development of NHL  18 that follows the treatment of a variety of rare  19 pediatric tumors.  20 And these, you know, fit quite  21 nicely with the, you know, the basic latency  22 period, which is, you know, in the six to 10-year  23 range. So this is a -- I thought a very  24 reasonable place to start.  25 Q. It fits quite nicely with the</p>	<p style="text-align: right;">Page 88</p> <p>1 be significant variability in it.  2 I think an average time based  3 upon the data that we do have is a  4 reasonable time frame in which to -- to  5 begin to evaluate that.  6 BY MR. LITZENBURG:  7 Q. Okay. Can you give me a citation to  8 a textbook or an article stating that a 10-year  9 latency is a valid assumption to -- to make in  10 terms of non-Hodgkin lymphoma?  11 A. There is very little literature on  12 latency periods in NHL in the scientific  13 literature.  14 Q. Okay. This is new work that you're  15 doing here with these two maps?  16 A. I'm sorry. New work?  17 Q. Yeah. This is like a novel  18 approach. You agree with me?  19 A. Taking robust data sets and querying  20 them to see if there's relationships is what  21 we've done historically over time. Now, with  22 high-powered computing and centralization of  23 databases, we can just do it much better.  24 Q. Okay. Well, let's look at these  25 maps on page 8 there.</p>
<p style="text-align: right;">Page 87</p> <p>1 conclusion that -- well, scratch that.  2 You hold the opinion that outside of  3 the context of prior chemotherapy, radiation  4 therapy, and immunosuppression, the latency of  5 NHL is unknown; isn't that right?  6 A. It is not well-defined, no.  7 Q. Do you hold the opinion that outside  8 of the context of prior chemotherapy, radiation  9 therapy, and immunosuppression, the latency of  10 NHL is unknown?  11 A. Outside of those contexts, yes, I  12 would agree with that statement.  13 Q. Okay. So anything where you are  14 factoring in latency in this 11-page report we  15 can set that aside, right, in terms of causality  16 and plausibility?  17 If it takes -- if you're making an  18 assumption about latency, we can set that aside  19 from your report; right?  20 MR. JOHNSTON: Objection.  21 Misstates his report.  22 THE WITNESS: Right. I would  23 disagree with that.  24 I think there is no question that  25 latency exists. I think there's going to</p>	<p style="text-align: right;">Page 89</p> <p>1 Is part of your opinion for this  2 litigation the fact that the noncorrelation of  3 these maps is supportive of non-causality of  4 glyphosate in non-Hodgkin lymphoma?  5 A. The --  6 MR. JOHNSTON: Objection. Vague.  7 THE WITNESS: Could you restate  8 the question?  9 BY MR. LITZENBURG:  10 Q. Who was your criticism of the  11 Eriksson 2008 study?  12 A. My criticism with that is that the  13 difference in odds ratio did not survive  14 multivaried analysis.  15 Q. Okay. What's multivaried analysis?  16 A. Is when -- well, there's different  17 -- different definitions.  18 Q. Okay.  19 A. In the Eriksson paper, after  20 correcting for a modest handful of variables that  21 they thought may affect the outcome, the  22 statistical significance disappeared.  23 And then there's the other level  24 where I believe you need to correct for other  25 exposures that was not addressed in the paper at</p>



Page 90

1 all.  
 2 Q. What exposure?  
 3 A. Other exposures to pesticides --  
 4 Q. Okay.  
 5 A. -- and others that was not fully  
 6 addressed.  
 7 Q. Okay. Well, let's look at these  
 8 maps on page 8.  
 9 A. Uh-huh.  
 10 Q. How many variables did you control  
 11 for in this comparison?  
 12 A. I didn't control for variables.  
 13 This is -- this is -- this is data  
 14 that's, you know, this is the best available data  
 15 we have on glyphosate usage per the US Geological  
 16 Survey mapping, and this is the best NHL  
 17 incidence.  
 18 And I have put them side to side as  
 19 an illustrative point that there were many areas  
 20 of very high glyphosate usage. I draw your  
 21 attention to the Central Valley of California,  
 22 and when you look there it at Fresno and  
 23 Sacramento counties, they actually have a  
 24 relatively low incidence of NHL.  
 25 This is not what one would

Page 91

1 anticipate if there was a positive association  
 2 between glyphosate exposure and NHL. This is  
 3 also true, you can see very clearly, in Central  
 4 Florida where there's a tremendous amount of --  
 5 of glyphosate usage, yet at the same time the NHL  
 6 rates are quite low.  
 7 So one would not anticipate this  
 8 result if there was a positive association. Yet  
 9 here it is.  
 10 Q. Can you tell me some things about  
 11 the population -- let me back that up.  
 12 What are the demographics of people  
 13 exposed to glyphosate in the Central Valley of  
 14 California?  
 15 A. The demographics?  
 16 Q. Yeah.  
 17 A. Residents of -- of that area. Many  
 18 of them who work in the agricultural industry  
 19 and, therefore, exposed to high levels of  
 20 glyphosate.  
 21 Q. Okay. What proportion of those are  
 22 migrant workers?  
 23 A. I don't know what percentage of  
 24 migrant workers have, you know, high, low, or  
 25 medium levels of exposure. I'm not aware of that

Page 92

1 data.  
 2 Q. Is there any familial relationship  
 3 for non-Hodgkin lymphoma?  
 4 A. No.  
 5 Q. Okay. Does it depend on race or  
 6 sex?  
 7 A. Men the incidence is very slightly  
 8 higher than women.  
 9 Q. Race?  
 10 A. The incidence in African Americans  
 11 and Hispanics is somewhat lower than it is in  
 12 Caucasians.  
 13 Q. Okay. What is the incidence of --  
 14 well, are you aware of something called the  
 15 "Hispanic paradox"?  
 16 MR. JOHNSTON: Objection. Goes  
 17 beyond the scope of his report.  
 18 THE WITNESS: I have not heard  
 19 that term before.  
 20 BY MR. LITZENBURG:  
 21 Q. The healthy migrant effect?  
 22 A. No.  
 23 Q. These are -- these are pillars of  
 24 epidemiology when considering anything with an  
 25 Hispanic population.

Page 93

1 You can't tell me what either of  
 2 those are or stand for?  
 3 MR. JOHNSTON: Objection to  
 4 counsel's testimony regarding what is a  
 5 pillar of epidemiology. Improper  
 6 question.  
 7 THE WITNESS: The data I  
 8 presented here on glyphosate usage in the  
 9 United States and NHL incidence by county  
 10 is not detailed epidemiologic data.  
 11 What it is is it's a snapshot of  
 12 two factors. Glyphosate usage which  
 13 remains concentrated in agricultural areas  
 14 and subsequent NHL incidence eight to 12  
 15 years later.  
 16 BY MR. LITZENBURG:  
 17 Q. Okay.  
 18 A. That's all that is. I'm not drawing  
 19 any statistical or numeric conclusions from this.  
 20 I am saying the expected association  
 21 one might see is often not seen, and that is  
 22 basically the extent to which this data could be  
 23 used as an illustrative example of that fact  
 24 only.  
 25 Q. Are you talking is it a six to

Page 94

1 nine-year latency period you thought was a  
 2 reasonable one?  
 3 A. Six to nine, eight to 10. I  
 4 chose --  
 5 Q. Which one?  
 6 MR. JOHNSTON: Objection. Asked  
 7 and answered.  
 8 THE WITNESS: I don't -- I don't  
 9 think that there's a data-driven  
 10 distinction between six -- six to 10,  
 11 eight to 12. I think -- I think they're  
 12 overlapping and the same.  
 13 I think, you know, two years or  
 14 less is different from eight to 10 and six  
 15 or eight to 12 and six to 10.  
 16 BY MR. LITZENBURG:  
 17 Q. You think that two years or less is  
 18 different from six to 10?  
 19 A. Yeah, very likely. I think --  
 20 Q. That's your professional medical  
 21 opinion?  
 22 A. This -- this doesn't --  
 23 MR. JOHNSTON: Objection.  
 24 Argumentative.  
 25 THE WITNESS: Yes. This doesn't

Page 95

1 fall within the scope of a professional  
 2 medical opinion.  
 3 I am just saying that overlapping  
 4 ranges near 10 are similar, whereas,  
 5 something that is 80 percent less, namely,  
 6 two or 90 percent less, namely one year,  
 7 would be different.  
 8 BY MR. LITZENBURG:  
 9 Q. You agree --  
 10 A. This -- I'm sorry.  
 11 Q. So you agree this couldn't -- this  
 12 manner of approach could never be part of a  
 13 professional medical opinion?  
 14 A. No.  
 15 MR. JOHNSTON: Objection. Vague.  
 16 BY MR. LITZENBURG:  
 17 Q. Okay.  
 18 A. No, I disagree.  
 19 Q. You did --  
 20 A. This is an illustrative example of  
 21 the lack of correlation between glyphosate --  
 22 glyphosate use and NHL incidence using the best  
 23 available data that allows one to, looking at a  
 24 snapshot, illustrate that -- that issue.  
 25 And you can -- you'd spent as much

Page 96

1 time and energy as you like doing it, but I think  
 2 it clearly illustrates the point that a positive  
 3 relationship is not evident in these two data  
 4 sets when you put them together. That's all I'm  
 5 saying.  
 6 Q. You think that clearly illustrates a  
 7 point that there's no positive relationship?  
 8 These two maps?  
 9 MR. JOHNSTON: Objection. Asked  
 10 and answered.  
 11 THE WITNESS: I believe that  
 12 this -- these maps are illustrative of the  
 13 robust epidemiologic data we have in the  
 14 Agricultural Health Study which does not  
 15 indicate any clear association between  
 16 glyphosate usage and NHL.  
 17 BY MR. LITZENBURG:  
 18 Q. What does this have to do with the  
 19 Agricultural Health Study? Which of these maps  
 20 came from the Agricultural Health Study?  
 21 A. Neither. I am saying that --  
 22 Q. They illustrate the Agricultural  
 23 Health Study?  
 24 A. They --  
 25 MR. JOHNSTON: Objection.

Page 97

1 Misstates his testimony.  
 2 THE WITNESS: They illustrate the  
 3 principal -- the principal finding of the  
 4 AHS study vis-a-vis glyphosate and NHL.  
 5 BY MR. LITZENBURG:  
 6 Q. You agree we should ask Aaron Blair  
 7 what the principal is of the Agricultural Health  
 8 Study rather than Dr. Fleming?  
 9 A. I think there's a principal finding  
 10 as it relates to glyphosate and NHL. I'm not  
 11 saying that that is the main focus of the H --  
 12 AHS study. That is the focus upon which I was  
 13 asked to render an opinion.  
 14 Q. Okay. And --  
 15 A. And I used all available data to me  
 16 to test the hypothesis as to whether there could  
 17 be an association or what one would, you know,  
 18 look at what one would expect if there was an  
 19 association.  
 20 I used very robust databases and,  
 21 interestingly enough, there was no association.  
 22 Had we, you know, tried to associate cigarette  
 23 sales with lung cancer, this type of approach,  
 24 you know, would be -- would be successful. It  
 25 has not been successful in demonstrating any --



Page 98	Page 100
<p>1 any link between the two here.</p> <p>2       There is an absence of the expected</p> <p>3 outcomes here in several geographic areas if the</p> <p>4 expectation was there was a positive</p> <p>5 relationship. There is no real evidence for it</p> <p>6 here.</p> <p>7       Q. Have you done a map for cigarette</p> <p>8 sales?</p> <p>9       MR. JOHNSTON: Objection. Vague.</p> <p>10       Outside the scope of his report.</p> <p>11       THE WITNESS: I'm not aware of</p> <p>12 any maps for -- for cigarettes. I'm</p> <p>13 talking about data that's now many, many</p> <p>14 years old that -- that correlated the --</p> <p>15 the commercial production of cigarettes</p> <p>16 and the subsequent rise in lung cancer.</p> <p>17       Where you're looking at, you</p> <p>18 know, two disparate things and you put</p> <p>19 them together and you see a relationship</p> <p>20 that you would anticipate from -- from</p> <p>21 your hypothesis which was that the two are</p> <p>22 linked.</p> <p>23 BY MR. LITZENBURG:</p> <p>24       Q. You just told me that if you made a</p> <p>25 map of cigarette sales here, it would show</p>	<p>1 select different variables and different time</p> <p>2 periods, and it plots the map for you.</p> <p>3       So the folks at SEER have already</p> <p>4 gone through the data, and they know which</p> <p>5 parameters are reasonable to look at and which</p> <p>6 ones are not. And this is a publicly available</p> <p>7 database, and you can go in there and -- and look</p> <p>8 at these different parameters over time.</p> <p>9       And as you'll see all races are</p> <p>10 included, non-Hodgkin's lymphoma for both sexes</p> <p>11 included, and the year is 2008 to 2012. I could</p> <p>12 have chosen different races. I could have chosen</p> <p>13 different diseases. I could have chosen just</p> <p>14 males. I could have chosen just females. But it</p> <p>15 was that relatively narrow menu of choices.</p> <p>16       I was, you know, couldn't ask it to</p> <p>17 query NHL in people with blue eyes because that</p> <p>18 was not a pull-down option. So they only let you</p> <p>19 graphically represent what they have gone over</p> <p>20 and feel is accurate data.</p> <p>21       Q. Does the incidence of the AIDS virus</p> <p>22 affect the incidence of non-Hodgkin lymphoma?</p> <p>23       A. No, absolutely not.</p> <p>24       Q. Autoimmune diseases have no</p> <p>25 effect --</p>
Page 99	Page 101
<p>1 positive results.</p> <p>2       A. I did not --</p> <p>3       Q. Did you mean that?</p> <p>4       A. I did not mean to use the word</p> <p>5 "map." I did not say "map."</p> <p>6       I said cigarette -- commercial</p> <p>7 cigarette production in the United States at the</p> <p>8 turn of the last century was followed by an</p> <p>9 increase in lung cancer. In looking at those</p> <p>10 types of kind of large picture statistics is --</p> <p>11 is useful.</p> <p>12       Q. Okay.</p> <p>13       A. And it can provide an illustrative</p> <p>14 example of a relationship. Not a statistically</p> <p>15 epidemiologically-driven conclusion but, rather,</p> <p>16 a real world conclusion that -- a real world data</p> <p>17 that can -- can aid that conclusion.</p> <p>18       Q. This second figure, it says "NHL</p> <p>19 incidence by county"?</p> <p>20       A. Yes.</p> <p>21       Q. Did you -- did you pull this map</p> <p>22 from somewhere or did you make it with data taken</p> <p>23 from somewhere?</p> <p>24       A. What's really nice about the SEER</p> <p>25 program is it allows you to go ahead and to</p>	<p>1       A. That wasn't your statement, sir.</p> <p>2       Q. -- on non-Hodgkin lymphoma?</p> <p>3       A. That wasn't your question.</p> <p>4       Q. The incidence of AIDS does not track</p> <p>5 in any way the incidence of non-Hodgkin lymphoma?</p> <p>6       A. Sir, you said does the incidence of</p> <p>7 the AIDS virus track with that, and the answer is</p> <p>8 no. It has not tracked with it now for more than</p> <p>9 15 years.</p> <p>10       Q. Okay. What auto --</p> <p>11       A. I'd be happy to tell you why.</p> <p>12       Q. What autoimmune diseases are closely</p> <p>13 related with non-Hodgkin lymphoma?</p> <p>14       A. There's a variety of them listed in</p> <p>15 my -- in my report. Everything from Sjogren's</p> <p>16 syndrome to rheumatoid arthritis.</p> <p>17       Q. Okay. And where do -- how do we</p> <p>18 factor for autoimmune disease on this map?</p> <p>19       A. Well, we actually don't, but</p> <p>20 autoimmune disease distribution is not 80-fold</p> <p>21 different as the -- as the -- or 25-fold</p> <p>22 different as the glyphosate data is.</p> <p>23       So the difference of glyphosate and</p> <p>24 the difference in incidences here are -- are of</p> <p>25 a -- of a different magnitude.</p>

Page 102

1 Q. This gives us no data. It doesn't  
 2 anything to do with --  
 3 A. Well, it's like comparing males and  
 4 females. Your lifetime risk of developing NHL if  
 5 you're male is about 2.1 to 2.2 percent. If  
 6 you're female, it's 1.8 percent. For simplicity,  
 7 we say it's 2 percent overall.  
 8 Can you, you know, categorize it by  
 9 sex? You can. Is it meaningful to do so? For  
 10 the most part not because these are very small  
 11 differences that would basically come out -- come  
 12 out in the wash at the end of the day.  
 13 Q. You agree with me that this approach  
 14 doesn't take into account the distribution of  
 15 autoimmune disease?  
 16 A. I am not aware of any data showing  
 17 marked regional differences in autoimmune  
 18 diseases.  
 19 Q. You weren't aware of any data stream  
 20 marked regional differences in pesticide decision  
 21 until you looked at this data, were you?  
 22 MR. JOHNSTON: Objection. Vague.  
 23 THE WITNESS: I would have  
 24 hypothesized that glyphosate usage would  
 25 be highest in agricultural areas. I then

Page 103

1 went and got the data which shows that and  
 2 confirmed that hypothesis.  
 3 BY MR. LITZENBURG:  
 4 Q. What about immunosuppressive  
 5 therapy? Has that come forward on this approach?  
 6 A. Immunosuppressive therapy, while a  
 7 practical day-to-day problem in patients treated  
 8 with significant degrees of immunosuppression for  
 9 organ transplantation and rheumatologic  
 10 disorders, represent a very small percentage of  
 11 the US population and would not be expected to  
 12 affect county-wide incidences of -- of NHL.  
 13 Q. What's the incidence of that kind of  
 14 therapy in the Central Valley of California?  
 15 A. Probably not much different than  
 16 it -- than it is, you know, north or south of  
 17 that, or if there is a difference, it's a very  
 18 modest difference.  
 19 Q. Did you look into it?  
 20 A. Highly immunosuppressed individuals  
 21 are not geographically defined. I know this from  
 22 my treatment of making people profoundly  
 23 immunosuppressive during their bone marrow  
 24 transplant therapy, and half of those individuals  
 25 lived in urban areas and the other half drove

Page 104

1 many hours from rural areas to see me. And the  
 2 one thing they had -- all had in common was that.  
 3 And this would also be true of other  
 4 types of transplant programs and, again, the  
 5 distribution of rheumatologic diseases would  
 6 likely follow that.  
 7 Q. Doc, you're telling me that your  
 8 reason for that conclusion is anecdotal from your  
 9 practice?  
 10 A. Conclusion of what? I'm sorry.  
 11 Q. How can you account for -- well,  
 12 tell me what the rate of immunosuppressive  
 13 therapy is in the Central Valley of California as  
 14 opposed to other places in the country?  
 15 You answered something about all  
 16 these folks that drive into your clinic.  
 17 A. Sure.  
 18 Q. What's that have to do with  
 19 anything? Is that anecdotal evidence?  
 20 MR. JOHNSTON: Objection.  
 21 Argumentative and vague.  
 22 THE WITNESS: I described to you  
 23 a population of patients I have a lot of  
 24 familiarity with on a firsthand basis who  
 25 are immunosuppressed and dispersed evenly

Page 105

1 throughout the country, evenly throughout  
 2 the state of Oregon, Southwest Washington,  
 3 Idaho, Northern California, in a  
 4 rural/urban distribution.  
 5 BY MR. LITZENBURG:  
 6 Q. Did you do anything to look into the  
 7 incidence of immunosuppressive therapy in the  
 8 Central Valley of California when writing this  
 9 11-page report?  
 10 A. I did not.  
 11 Q. Okay. Speaking of anecdotal and  
 12 people driving into your clinic, you say you see  
 13 people from three states? Is that about --  
 14 A. We had a referral base at the bone  
 15 marrow transplant program at OHSU that includes  
 16 Southwest Washington and Idaho and individuals  
 17 who were far enough north in California that they  
 18 were part of our referral base, yes.  
 19 Q. Okay.  
 20 A. I had plenty of patients who were  
 21 immunosuppressed, got in their car and drove four  
 22 hours to see me in Portland, Oregon. I would say  
 23 about half of my patients actually were from a  
 24 rural area and half of them were from the urban  
 25 area which, roughly, you know, is the population

Page 106

1 distribution in the United States today.  
 2 Q. Okay.  
 3 A. So I don't believe you have pockets  
 4 of highly immunocompromised patients in the  
 5 Central Valley or pockets of people who are not  
 6 at all immunocompromised in any particular county  
 7 or -- or agricultural area.  
 8 Q. But you haven't done anything to  
 9 look into that?  
 10 A. I am --  
 11 MR. JOHNSTON: Objection.  
 12 Misstates his testimony.  
 13 THE WITNESS: I am telling you my  
 14 20 years of anecdotal experience in the  
 15 tertiary care hospital that cares for all  
 16 people in a certain geographic catchment  
 17 area regardless of whether they are urban  
 18 or rural individuals.  
 19 BY MR. LITZENBURG:  
 20 Q. Dr. Fleming, you're telling me that  
 21 based on your anecdotal evidence in Oregon you --  
 22 that's how you know the incidence of autoimmune  
 23 or immunosuppressive therapy in the central coast  
 24 of California -- I'm sorry -- the Central Valley  
 25 of California?

Page 107

1 MR. JOHNSTON: Objection. Beyond  
 2 the scope of his report and not an opinion  
 3 offered in this litigation. Go ahead.  
 4 THE WITNESS: This has been my  
 5 experience in Portland, Oregon, in  
 6 Stanford, California, and Atlanta, Georgia  
 7 over the last 30 years.  
 8 BY MR. LITZENBURG:  
 9 Q. Okay.  
 10 A. So this --  
 11 Q. How many of your patients in  
 12 Atlanta, Georgia lived in the Central Valley of  
 13 California?  
 14 A. I don't know the answer to that.  
 15 Q. Do you think that we can use this  
 16 anecdotal evidence to draw determinations about  
 17 causality -- any conclusions about causality?  
 18 MR. JOHNSTON: Objection.  
 19 Misstates his testimony. Asks a  
 20 hypothetical. Beyond the scope of his  
 21 report.  
 22 THE WITNESS: I was answering an  
 23 earlier question that you raised about the  
 24 geographic distribution of  
 25 immunocompromised individuals and how that

Page 108

1 may impact the NHL incidence by county in  
 2 Figure 5 of my report.  
 3 And my professional opinion based  
 4 on lots of experience is, I do not believe  
 5 it was a major factor. I cannot provide  
 6 specific published data to support that.  
 7 BY MR. LITZENBURG:  
 8 Q. It's based only on anecdote and no  
 9 data; correct?  
 10 A. It's based on -- it's based on 25  
 11 years of experience.  
 12 Q. Anecdote?  
 13 MR. JOHNSTON: Objection.  
 14 Misstates the record and his testimony.  
 15 THE WITNESS: It is based on my  
 16 clinical expertise and experience over 25  
 17 years.  
 18 BY MR. LITZENBURG:  
 19 Q. You didn't do -- lift a finger, turn  
 20 a page to find out what the incidence of  
 21 immunosuppressive therapy was to Central  
 22 Valley --  
 23 MR. JOHNSTON: Objection.  
 24 BY MR. LITZENBURG:  
 25 Q. -- Of California.

Page 109

1 Is that correct or incorrect?  
 2 A. There were --  
 3 MR. JOHNSTON: Objection.  
 4 Compound and argumentative. Go ahead.  
 5 THE WITNESS: Could you unpack  
 6 that question, please?  
 7 BY MR. LITZENBURG:  
 8 Q. What is the incidence of autoimmune  
 9 disease in the central post of -- I'm sorry --  
 10 Central Valley of California as opposed to the  
 11 rest of the country?  
 12 A. I do not know the answer to that.  
 13 Q. What did you do to look into that?  
 14 A. I did not look into it.  
 15 Q. Okay. What did you do to look into  
 16 the use of other pesticides in the Central Valley  
 17 of California?  
 18 A. They wouldn't be relative to the  
 19 data, the illustrative data I'm showing you,  
 20 unless those pesticides actually inhibited the  
 21 development of NHL.  
 22 Q. So there are not --  
 23 A. I --  
 24 Q. There are not any pesticides that  
 25 are associated with an increased risk of

Page 110

1 non-Hodgkin lymphoma?  
 2 A. Decreased risk.  
 3 Q. Wait. You hold the opinion that  
 4 certain pesticides are cancer protective?  
 5 A. No, that's a conclusion from your  
 6 previous question.  
 7 Q. Okay. How did you control for the  
 8 use or the distribution of other pesticides in  
 9 making this little map?  
 10 MR. JOHNSTON: Objection. Asked  
 11 and answered.  
 12 THE WITNESS: This is glyphosate  
 13 data only.  
 14 BY MR. LITZENBURG:  
 15 Q. All right. Let's use your anecdotal  
 16 approach in clinic.  
 17 How many of your patients that came  
 18 in with non-Hodgkin lymphoma have used Roundup in  
 19 their life?  
 20 A. I have no idea.  
 21 Q. Okay. Will you begin keeping track  
 22 of that today as you think that this is anecdotal  
 23 evidence is an important way of determining  
 24 causality?  
 25 MR. JOHNSTON: Are you asking him

Page 111

1 to? Are you asking him if he intends to?  
 2 Because you have no right to ask him to do  
 3 anything, counsel.  
 4 MR. LITZENBURG: Take it however  
 5 you want.  
 6 THE WITNESS: I --  
 7 MR. JOHNSTON: That's  
 8 argumentative and inappropriate.  
 9 THE WITNESS: I am not using  
 10 anecdotal evidence to determine  
 11 causality --  
 12 BY MR. LITZENBURG:  
 13 Q. Okay.  
 14 A. -- and have not done so in this  
 15 report.  
 16 Q. Okay. So, again, how did you  
 17 compute or allow for variations in  
 18 immunosuppressive therapy in these hot spots as  
 19 you call them?  
 20 A. Immunosuppressive therapy is  
 21 relatively rare. I would say it's exceedingly  
 22 rare based on the entire population of the United  
 23 States. I do not believe it is likely to affect  
 24 the regional outcomes in NHL, but I'm also not  
 25 aware of any data that speaks to that question.

Page 112

1 Q. Okay. Did you look to see if there  
 2 was any data that spoke to that question?  
 3 A. No, I did not.  
 4 Q. Okay. And what's your estimate  
 5 anecdotally of the number of new NHL patients  
 6 that you have that have used Roundup in the past?  
 7 A. I have no knowledge of my patients'  
 8 use of Roundup.  
 9 Q. What other probable human  
 10 carcinogens designated by IARC do you believe are  
 11 incapable of causing cancer?  
 12 MR. JOHNSTON: Objection.  
 13 Misstates his opinion. Misstates his  
 14 testimony. Hypothetical.  
 15 THE WITNESS: Right. Again, I  
 16 was charged with looking at glyphosate and  
 17 NHL and not general cancer causation.  
 18 BY MR. LITZENBURG:  
 19 Q. Okay. Dr. Fleming, would you be  
 20 comfortable presenting to your peers in the  
 21 oncology department that they should tell  
 22 patients to continue using Roundup or glyphosate  
 23 products that they are treating for non-Hodgkin  
 24 lymphoma?  
 25 MR. JOHNSTON: Objection. Beyond

Page 113

1 the scope of his report. Argumentative.  
 2 THE WITNESS: Right. I would  
 3 have no reason to comment to my colleagues  
 4 on this -- on this issue or advise them  
 5 one way or another.  
 6 BY MR. LITZENBURG:  
 7 Q. Well, you've probably done more  
 8 research on it than any -- any NHL expert in  
 9 America; right? How long have you spent doing  
 10 this?  
 11 A. I would have no idea what other  
 12 individuals have -- time other individuals have  
 13 spent on this question.  
 14 Q. Well, that was going to be one of my  
 15 questions.  
 16 Did you recommend that Hollingsworth  
 17 contact anybody with expertise in this area?  
 18 MR. JOHNSTON: Objection. Vague.  
 19 THE WITNESS: I did not -- I was  
 20 not asked that question and I did not  
 21 offer that information.  
 22 BY MR. LITZENBURG:  
 23 Q. Okay. Anecdotally, how many of your  
 24 NHL patients have used pesticide in the past?  
 25 A. I have not asked them that question.

Page 114

1 Q. Okay. This recordkeeping of  
 2 glyphosate use in the US, where does it come  
 3 from?  
 4 MR. JOHNSTON: Objection. Vague.  
 5 What recordkeeping?  
 6 BY MR. LITZENBURG:  
 7 Q. The map. Where do you get the data  
 8 from?  
 9 A. The website is actually included  
 10 here in my report. It's USGS National  
 11 Water-Quality Assessment Project.  
 12 Q. But how is the data calculated?  
 13 A. How is the data calculated?  
 14 Q. Yeah. I mean, is it a poll?  
 15 A. There are agricultural districts  
 16 that report on their use of chemicals and other  
 17 variables, and this group collates this data and  
 18 provides usage maps, including this one for  
 19 glyphosate -- glyphosate.  
 20 Q. Dr. Fleming, isn't it true you have  
 21 no absolutely no idea where they came up with the  
 22 data that's in this map?  
 23 MR. JOHNSTON: Objection.  
 24 Argumentative. You just answered your  
 25 question.

Page 115

1 THE WITNESS: Yeah. They -- they  
 2 -- they came up with it using -- using  
 3 this agricultural district data that is  
 4 widely used in the agricultural industry  
 5 to -- to keep track of -- of compounds and  
 6 other issues.  
 7 BY MR. LITZENBURG:  
 8 Q. What is this widely -- how else can  
 9 you characterize this widely used agricultural  
 10 data? What type of data are you talking about?  
 11 How is it measured?  
 12 A. Using the best techniques available,  
 13 the group in the federal government charged with  
 14 coming up with these estimates receives reports  
 15 and solicits information and puts it together.  
 16 The details of which I am not aware.  
 17 Q. What are the best techniques  
 18 available?  
 19 A. I was simply assuming that the  
 20 government was using their best statistics to  
 21 generate information which is disseminated public  
 22 on the use of a variety of chemicals in  
 23 agriculture, including glyphosate.  
 24 Q. You have no idea how they calculated  
 25 glyphosate usage, do you, Dr. Fleming?

Page 116

1 MR. JOHNSTON: Objection.  
 2 Argumentative.  
 3 THE WITNESS: I have no idea how  
 4 the NCI calculated NHL incidence either.  
 5 BY MR. LITZENBURG:  
 6 Q. So we can set all this aside; right?  
 7 MR. JOHNSTON: Objection.  
 8 THE WITNESS: No.  
 9 BY MR. LITZENBURG:  
 10 Q. Okay. Well, how long was -- let's  
 11 see.  
 12 Do you know when they started  
 13 keeping data on glyphosate usage geographically?  
 14 MR. JOHNSTON: Objection. Vague  
 15 as to who.  
 16 THE WITNESS: This is all  
 17 available on a -- this is all present on a  
 18 publicly available website.  
 19 I recall going back and looking  
 20 at it certainly back into the '90s and  
 21 through the 2000s. You can click each  
 22 year and it repopulates it with the  
 23 updated data.  
 24 BY MR. LITZENBURG:  
 25 Q. Okay.

Page 117

1 A. But I can't -- I can't tell you for  
 2 how long, but my purpose here was to look at the  
 3 year 2000. Because, as I describe in my report,  
 4 in the year 2000, almost 100 million tons were  
 5 used in the United States and 10 years later,  
 6 eight to 12 years later, I should say, this is  
 7 the incidence of NHL.  
 8 And so that's basically the two data  
 9 sets I wish to present to -- to look for any  
 10 associations.  
 11 Q. Okay. Do you know if -- is it based  
 12 on sales figures, the agricultural use of  
 13 glyphosate?  
 14 A. I do know that there is different  
 15 regional ways in which agricultural activity is  
 16 monitored.  
 17 Q. Name two.  
 18 A. Well, I know the state of California  
 19 has a different definition than some of the other  
 20 states, but I'm not -- I'm not an expert on the  
 21 mechanistics of the Water-Quality Assessment  
 22 Project.  
 23 Q. Do you see a variance between the  
 24 state of California here and then the use of  
 25 glyphosate among other states?



Page 118

1 A. There is no way --  
 2 MR. JOHNSTON: Objection. Calls  
 3 for speculation.  
 4 THE WITNESS: Yeah.  
 5 MR. JOHNSTON: Hypothetical.  
 6 THE WITNESS: There is no way to  
 7 interrogate that data with the data  
 8 available in the --  
 9 BY MR. LITZENBURG:  
 10 Q. There's nothing remarkable about  
 11 California's glyphosate usage?  
 12 MR. JOHNSTON: Objection. Calls  
 13 for speculation. Incomplete hypothetical.  
 14 THE WITNESS: I -- I am not aware  
 15 of anything different. I am aware that  
 16 different states have different reporting  
 17 requirements on the use of chemicals.  
 18 BY MR. LITZENBURG:  
 19 Q. Okay. What are California's  
 20 reporting requirements on the use of chemicals?  
 21 A. You would have to ask the  
 22 regulators.  
 23 Q. How does this map control for the  
 24 different, you know, all -- is it 50 different  
 25 methods of recordkeeping?

Page 119

1 A. I wouldn't know how many.  
 2 Q. Do you know if they use biomarkers?  
 3 A. I'm sorry?  
 4 Q. Do they use biomarkers in the  
 5 calculation?  
 6 MR. JOHNSTON: Objection. Vague.  
 7 THE WITNESS: The measurement  
 8 here is the agricultural use, as  
 9 indicated, in pounds per square mile.  
 10 BY MR. LITZENBURG:  
 11 Q. Okay.  
 12 A. That does not sound like a biomarker  
 13 to me.  
 14 Q. Is it sale figures?  
 15 A. Records are used. Which ones I'm  
 16 not sure.  
 17 Q. Don't we need to know that? Don't  
 18 you need to know that? Don't you need to have  
 19 spent three minutes determining that before you  
 20 signed this report, Dr. Fleming?  
 21 A. I think there's a 20 -- a minimum  
 22 20-fold difference between different regional  
 23 areas on this map, and I think that is a very,  
 24 very wide range and will not be accounted for  
 25 by -- likely to be accounted for by

Page 120

1 administrative differences in how this data is  
 2 collected.  
 3 Q. You just -- when you were stammering  
 4 about how you don't know how this data is  
 5 collected, you said that all the states keep it  
 6 differently; right?  
 7 MR. JOHNSTON: Objection --  
 8 THE WITNESS: No.  
 9 MR. JOHNSTON: -- to stammering.  
 10 BY MR. LITZENBURG:  
 11 Q. Oh, okay.  
 12 MR. JOHNSTON: Can you please  
 13 treat the witness respectfully, counsel?  
 14 Counsel.  
 15 MR. LITZENBURG: Okay. Yeah.  
 16 MR. JOHNSTON: Please treat the  
 17 witness respectfully. It is not  
 18 appropriate for you to be disrespectful to  
 19 the witness.  
 20 BY MR. LITZENBURG:  
 21 Q. What are the differences among  
 22 states in collecting or aggregating the data on  
 23 glyphosate usage that you described?  
 24 MR. JOHNSTON: Asked and  
 25 answered. Objection.

Page 121

1 THE WITNESS: I cannot give you  
 2 specific details on a state-by-state basis  
 3 as to the differences. That's something  
 4 you'd have to contact the USGS folks  
 5 about.  
 6 BY MR. LITZENBURG:  
 7 Q. Okay. So they're not kept in a  
 8 standardized way amongst states; is that what  
 9 you're saying?  
 10 A. I have no -- I have no firsthand  
 11 knowledge on how this data is actually collected,  
 12 processed or analyzed. I am aware of how it's  
 13 disseminated. I have used the disseminated data  
 14 to plot a graph based on criteria that the folks  
 15 who put this data together felt was -- felt were  
 16 reasonable parameters.  
 17 Q. You felt that was the best way of  
 18 looking at this?  
 19 A. This is the only nationwide data I  
 20 was able to easily find that could be converted  
 21 to a map to show the pattern of glyphosate use in  
 22 the United States.  
 23 Q. And it was important for you to  
 24 convert it to a map because that's what you're  
 25 charged with doing?



Page 122

1 A. They converted. No, the map is  
 2 there. You click an icon that says "map." A map  
 3 of the United States drops down. It asks you a  
 4 couple of questions and that you can -- variables  
 5 you can put in there.  
 6 And then you push a button  
 7 indicating what years you're interested in  
 8 looking at, and you can look at each year  
 9 individually, and I chose the year 2000.  
 10 Q. All right.  
 11 A. And those are the -- those are the  
 12 only choices I used.  
 13 Q. Can you name one way of gathering  
 14 this data that one state might have used?  
 15 A. I did not investigate the method of  
 16 data collection from which this data set is  
 17 derived.  
 18 Q. Did you look behind the data or the  
 19 methodology of any of the science that you looked  
 20 at today, Dr. Fleming?  
 21 MR. JOHNSTON: Objection. Vague  
 22 and --  
 23 THE WITNESS: Absolutely.  
 24 BY MR. LITZENBURG:  
 25 Q. How would you explain that failure

Page 123

1 to a first year medical student or a colleague  
 2 that you have no idea how this data was collected  
 3 and you'd have no idea because you have never  
 4 looked into it?  
 5 MR. JOHNSTON: Objection.  
 6 Argumentative and disrespectful, counsel.  
 7 You can ask questions, but you cannot be  
 8 disrespectful of the witness.  
 9 THE WITNESS: In my opinion, this  
 10 was the best available public data  
 11 demonstrating glyphosate usage in the year  
 12 2000 across the continental United States.  
 13 BY MR. LITZENBURG:  
 14 Q. Okay. What --  
 15 A. These are the keepers of this data  
 16 set. I cannot speak to the details of -- of how  
 17 it was collected. I can only show you the data  
 18 that -- the output of that data.  
 19 Q. Who are the keepers?  
 20 A. US government reg -- it's US  
 21 government. US Geological Survey and within the  
 22 US Geological Survey is USGS National  
 23 Water-Quality Assessment Project, NAWQA. So this  
 24 is a government agency that provides annual  
 25 pesticide maps -- use maps for the United States.

Page 124

1 And I see now in looking at my  
 2 report they have done this since 1992.  
 3 Q. So we have no data about the  
 4 regional usage of glyphosate, regional  
 5 variations, before 1992; is that correct?  
 6 A. I didn't say that.  
 7 Q. Do you have any data used in this --  
 8 in this map model of any glyphosate usage before  
 9 1992?  
 10 A. The USGS National Water-Quality  
 11 Assessment Project provides data in map form  
 12 going back to 1982. That does not address  
 13 whether data is available somewhere in some  
 14 archive in the government. This is the publicly  
 15 available data.  
 16 Q. Where is the '82 to '92 data? You  
 17 just said --  
 18 A. I'm sorry. 19 -- I meant -- if I  
 19 did, I misspoke. I meant 1992 through 2014.  
 20 Q. And then you told us you don't know  
 21 if there's any data before 1992?  
 22 A. I am not aware of any publicly  
 23 available data that can be used to generate a  
 24 map.  
 25 Q. Did you look for it?

Page 125

1 A. Yes.  
 2 Q. Where?  
 3 A. I just -- I just looked for  
 4 agricultural pesticide use maps, and this -- this  
 5 is what -- what came up. There are --  
 6 Q. Well, you said --  
 7 A. There are no, that I'm aware of,  
 8 competing government agencies that provide the  
 9 same publicly available information on this -- on  
 10 this issue.  
 11 Q. Name the government agencies that  
 12 you inquired into whether they kept such data.  
 13 A. I did it the other way. I searched  
 14 -- I searched for pesticide use in the United  
 15 States maps.  
 16 Q. Do Google?  
 17 A. Google search. Google Scholar.  
 18 Q. Pesticide use in the United States  
 19 maps?  
 20 A. US maps. Looked at that. Would  
 21 have looked at that in Google Scholar. Would  
 22 have looked at that in probably a PubMed search  
 23 with those terms. Yeah.  
 24 Q. Okay. Is that a good way of  
 25 determining etiology?

Page 126	Page 128
<p>1 A. It's a good way --</p> <p>2 Q. Creating Google for maps?</p> <p>3 A. It's a good way of collecting data.</p> <p>4 Q. Okay.</p> <p>5 A. It's a modern way of collecting</p> <p>6 data.</p> <p>7 Q. All right. What did you use to</p> <p>8 determine whether or not there was data available</p> <p>9 for this geographical variance before 1992?</p> <p>10 A. I did not identify any other</p> <p>11 publicly available, readily accessible source of</p> <p>12 data and chose to go with the USGS assessment</p> <p>13 project --</p> <p>14 Q. Okay.</p> <p>15 A. -- which has been in place now for a</p> <p>16 quarter century.</p> <p>17 Q. And you told me --</p> <p>18 A. This is -- this is the go-to place</p> <p>19 for glyphosate usage data, much as the National</p> <p>20 Cancer Institute is the go-to place -- one of the</p> <p>21 main go-to places for cancer data in the United</p> <p>22 States.</p> <p>23 Q. Does National Cancer Institute have</p> <p>24 a position on glyphosate?</p> <p>25 A. I did not review the positions of</p>	<p>1 have -- it would not have been relevant one way</p> <p>2 or another.</p> <p>3 My job was to look at the primary</p> <p>4 available data and to draw a conclusion. I was</p> <p>5 not asked to review the opinions of regulatory</p> <p>6 agencies or think tanks or the federal</p> <p>7 government. I was asked to review the scientific</p> <p>8 literature on this question.</p> <p>9 Q. You didn't look at any EPA reports?</p> <p>10 A. If they're on my MCL, I looked at</p> <p>11 them.</p> <p>12 Q. All right. Let's look at this MCL</p> <p>13 because there seems to be a lot of confusion,</p> <p>14 Doc.</p> <p>15 MR. JOHNSTON: Objection.</p> <p>16 Argumentative.</p> <p>17 BY MR. LITZENBURG:</p> <p>18 Q. Materials Considered List. Now,</p> <p>19 first of all, what is the difference between the</p> <p>20 Materials Considered List and the Supplemental</p> <p>21 Materials Considered List that I was subsequently</p> <p>22 given?</p> <p>23 A. I don't know.</p> <p>24 Q. Okay. You want to look at them</p> <p>25 together?</p>
<p>Page 127</p> <p>1 any regulatory body or any position taken in a</p> <p>2 review article or a formal position taken. I</p> <p>3 would not have reviewed a formal position taken</p> <p>4 by the NCI.</p> <p>5 I have reviewed the Agricultural</p> <p>6 Health Study from 2005, and this was funded by</p> <p>7 the National Institutes of Health and it was</p> <p>8 funded -- it was basically done by</p> <p>9 epidemiologists from the National Cancer</p> <p>10 Institute, which is an institute within the</p> <p>11 National Institutes of Health.</p> <p>12 Q. Was that a no?</p> <p>13 What -- you said a minute ago that</p> <p>14 the NCI was the go-to for? What do you call them</p> <p>15 the go-to for?</p> <p>16 A. Clinical -- clinical cancer</p> <p>17 incidence in the United States.</p> <p>18 Q. Okay. Do you know if they have a</p> <p>19 position on the causality of glyphosate and any</p> <p>20 cancer?</p> <p>21 A. I did not review opinions on that to</p> <p>22 come to my conclusion.</p> <p>23 Q. Have you ever Google searched it,</p> <p>24 make Google search the maps?</p> <p>25 A. It would -- it would -- it would not</p>	<p>Page 129</p> <p>1 A. Happy to.</p> <p>2 MR. LITZENBURG: Okay. You've</p> <p>3 got one there, which is within that</p> <p>4 Exhibit 1. I'm going to give you this.</p> <p>5 We'll call this Exhibit 3.</p> <p>6 (Document marked for</p> <p>7 identification purposes as Fleming Exhibit</p> <p>8 20-3.)</p> <p>9 BY MR. LITZENBURG:</p> <p>10 Q. All right. I've just given you</p> <p>11 Exhibit 3, which says it's "Supplemental</p> <p>12 Materials Considered List"?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. And how many items are on it?</p> <p>15 A. 74.</p> <p>16 Q. Okay. And how many items are on the</p> <p>17 initial Materials Considered List?</p> <p>18 A. 71.</p> <p>19 Q. Okay. Is there -- would they</p> <p>20 overlap by 71?</p> <p>21 A. I --</p> <p>22 Q. Or are they different lists?</p> <p>23 A. We can go through them one at a</p> <p>24 time.</p> <p>25 Q. Who made them?</p>

Page 130	Page 132
<p>1 A. Why don't we?</p> <p>2 Q. Who made them?</p> <p>3 A. I made them.</p> <p>4 MR. JOHNSTON: Objection. That</p> <p>5 clearly is in violation of rule -- the</p> <p>6 Rule 26 on about the drafts, etc., of</p> <p>7 expert reports.</p> <p>8 BY MR. LITZENBURG:</p> <p>9 Q. Have you ever seen these before?</p> <p>10 A. Yes.</p> <p>11 Q. When?</p> <p>12 A. When I generated it.</p> <p>13 Q. Oh, you -- you wrote these lists?</p> <p>14 MR. JOHNSTON: Counsel,</p> <p>15 objection. You're not supposed to ask</p> <p>16 about the drafting of expert reports.</p> <p>17 MR. LITZENBURG: He offered it.</p> <p>18 I didn't ask. He said he seen it when he</p> <p>19 drafted it.</p> <p>20 THE WITNESS: I did not.</p> <p>21 BY MR. LITZENBURG:</p> <p>22 Q. Is that your under oath testimony?</p> <p>23 A. No, it is not.</p> <p>24 Q. Okay. What --</p> <p>25 A. I provided --</p>	<p>1 or regulatory decisions into consideration</p> <p>2 when I prepared my scientifically</p> <p>3 data-based driven report of the scientific</p> <p>4 literature evaluating the role of</p> <p>5 glyphosate and NHL, period.</p> <p>6 BY MR. LITZENBURG:</p> <p>7 Q. Okay. So of these 74 things on this</p> <p>8 list, tell me, what is the supplemental part of</p> <p>9 it? What needed to be add -- what were the three</p> <p>10 things that needed to be added?</p> <p>11 A. To be sure, we would need to go over</p> <p>12 both lists one at a time to see where -- to see</p> <p>13 if there's any differences before reference or</p> <p>14 before number 72.</p> <p>15 Q. You don't know if there's any</p> <p>16 differences?</p> <p>17 MR. JOHNSTON: Objection. He</p> <p>18 just testified to that. He suggested that</p> <p>19 the way to do this would be to go through</p> <p>20 it one at a time. If you would like to do</p> <p>21 that, I'm sure he's willing to do that.</p> <p>22 THE WITNESS: Absolutely.</p> <p>23 (Reviewing document).</p> <p>24 BY MR. LITZENBURG:</p> <p>25 Q. I don't want to use your time like</p>
Page 131	Page 133
<p>1 Q. Okay. What are --</p> <p>2 A. I provided a list of materials I</p> <p>3 considered to Hollingsworth. They put it in</p> <p>4 alphabetical order using the reference format</p> <p>5 that you see in front of you.</p> <p>6 Q. Did they provide any of these to</p> <p>7 you?</p> <p>8 A. Certainly.</p> <p>9 Q. Okay. How do we tell which ones?</p> <p>10 A. Well, we'd have to go through them</p> <p>11 one at a time. I'm happy to.</p> <p>12 Q. Okay. Well, let's go through this</p> <p>13 with this idea.</p> <p>14 How many of these are regulatory</p> <p>15 documents? You said it didn't matter to you what</p> <p>16 regulators thought; right?</p> <p>17 A. I didn't say it didn't matter. I</p> <p>18 said --</p> <p>19 Q. What did you say about regulators --</p> <p>20 regulatory documents?</p> <p>21 MR. JOHNSTON: It's on the</p> <p>22 transcript, counsel. Asked and answered.</p> <p>23 You're being abusive.</p> <p>24 THE WITNESS: I said I did not</p> <p>25 take review articles, think tank reports</p>	<p>1 that.</p> <p>2 MR. JOHNSTON: It's your time</p> <p>3 actually, counsel.</p> <p>4 BY MR. LITZENBURG:</p> <p>5 Q. All right. So give me -- give me a</p> <p>6 more concise statement about what you relied on</p> <p>7 in forming your opinion today.</p> <p>8 A. I relied on studies addressing</p> <p>9 potential links between glyphosate and NHL in</p> <p>10 humans.</p> <p>11 Q. What did you do to identify -- you</p> <p>12 said this was the best way of showing the</p> <p>13 prevalence of glyphosate use geographically over</p> <p>14 the US.</p> <p>15 What did -- what is the second best?</p> <p>16 MR. JOHNSTON: Objection.</p> <p>17 Assumes. It's a hypothetical question.</p> <p>18 THE WITNESS: I am not aware of</p> <p>19 additional databases that are publicly</p> <p>20 available and could be queried.</p> <p>21 BY MR. LITZENBURG:</p> <p>22 Q. And what did you do to make yourself</p> <p>23 aware of them?</p> <p>24 A. I searched the topic to begin with,</p> <p>25 and the current government-funded database that</p>

<p style="text-align: right;">Page 134</p> <p>1 began 25 years ago was right at the top of the 2 search list.</p> <p>3 Q. When was glyphosate first marketed? 4 A. Give me a moment. 5 Q. Do you know offhand what decade it 6 is? 7 A. I believe the year 1974. 8 Q. Okay. And where do we find the data 9 for the geospatial usage of glyphosate from 1974 10 to 1992? 11 MR. JOHNSTON: Objection. Asked 12 and answered. 13 THE WITNESS: The GeoViewer data 14 is a relatively new adaptation to the SEER 15 database and has only been relatively 16 recently available. It is not possible to 17 use geo version for historical purposes. 18 BY MR. LITZENBURG: 19 Q. Okay. 20 A. I used the most up-to-date data 21 which goes to 2014 and begins at 2008. There may 22 be a time period or two behind that, but -- but I 23 wanted to use the most up-to-date data and to 24 look at how that fit with the glyphosate usage 10 25 years before.</p>	<p style="text-align: right;">Page 136</p> <p>1 database statistics, the incidence has changed 2 over time. So you want to take what's the most 3 current time frame and ask your question so it's 4 as current a database as I could use. 5 What database are you talking about 6 there? 7 A. I am talking about the SEER incident 8 rate database cancer by site, all races, both 9 sexes, and I used the initial studies I could 10 going back to 1975 through 2014. This is shown 11 in Figure 3 of my report. 12 However, that -- that tells you that 13 over time the incidence of -- the increasing 14 incidence of NHL in the United States has 15 declined, plateaued and begun, you know, and 16 actually begun to truly decline. Okay? 17 During this same time period, the 18 use of glyphosate has gone from about 1.4 million 19 to a hundred million tons per year. So -- 20 Q. I think -- I think we need to slow 21 down. 22 A. Okay. 23 Q. Yeah. I was asking you about the 24 metrics for the estimated agricultural use for 25 glyphosate, and now you're telling me you've got</p>
<p style="text-align: right;">Page 135</p> <p>1 Q. You only -- you only looked at the 2 data from 2008 to 2014 did you just say? 3 A. Yes. It's the most recent and 4 relevant data and, as shown in my report from NCI 5 SEER database statistics, the incidence has 6 changed over time. So, you know, you want to 7 take what's the most current time frame and 8 ask -- and ask your question. 9 So it's a current -- it's as current 10 a database as I could use. 11 Q. So you're jumping. You're talking 12 about SEER now; is that right? 13 MR. JOHNSTON: Well, objection. 14 The whole line of questioning is vague 15 because of this. 16 THE WITNESS: Right. I mean -- 17 BY MR. LITZENBURG: 18 Q. What is the best database? The 19 superlative that you just used? 20 MR. JOHNSTON: Objection. Vague. 21 THE WITNESS: Yeah. I'm not -- I 22 don't understand your question. 23 BY MR. LITZENBURG: 24 Q. It's the most recent and relevant 25 data. As shown in my report, from NCI SEER</p>	<p style="text-align: right;">Page 137</p> <p>1 Figure 3 and SEER data; is that right? 2 A. The glyphosate data is not SEER. It 3 is not NCI. 4 Q. Oh. 5 A. It is, as we discussed a moment 6 ago -- 7 Q. Okay. 8 A. -- part of the USGS National 9 Water-Quality Assessment Project. 10 Q. When did we start talking about 11 cancer statistics? I hadn't gotten there yet. 12 When did we start talking about that? Because I 13 noticed a transition -- 14 MR. JOHNSTON: Apparently your 15 questions -- 16 BY MR. LITZENBURG: 17 Q. -- in the answers. 18 MR. JOHNSTON: Objection. 19 Apparently your questions were vague, 20 counsel. So perhaps you should try it 21 again. 22 BY MR. LITZENBURG: 23 Q. Okay. When you keep saying these 24 are the best databases, which were you referring 25 to? Are you talking about cancer or pesticide</p>

Page 138

1 uses?  
 2 A. I believe that both of them are the  
 3 most relevant to the scientific question at hand.  
 4 Q. These are --  
 5 A. Both -- both of those databases.  
 6 Q. These are the two most relevant data  
 7 points to the question of causality for you?  
 8 A. I didn't say data points. I said  
 9 databases. That would include more than one data  
 10 point.  
 11 Q. What was the other important data  
 12 points to that question?  
 13 MR. JOHNSTON: Objection. Vague.  
 14 THE WITNESS: You'll have to be  
 15 more specific.  
 16 BY MR. LITZENBURG:  
 17 Q. What are other variables that are  
 18 important to this causality question?  
 19 MR. JOHNSTON: Objection. Vague  
 20 and --  
 21 THE WITNESS: Other -- other  
 22 variations or I'm -- I'm --  
 23 MR. JOHNSTON: Asked and  
 24 answered.  
 25 THE WITNESS: What causality

Page 139

1 question are we -- we have to talk about a  
 2 very defined definite data set and --  
 3 BY MR. LITZENBURG:  
 4 Q. Okay.  
 5 A. -- and hone in on it.  
 6 Q. Okay. So we're talking about  
 7 glyphosate and non-Hodgkin lymphoma.  
 8 A. Uh-huh.  
 9 Q. Are we clear on that?  
 10 A. Yeah.  
 11 Q. Okay. What other variables are  
 12 important to that question of association?  
 13 MR. JOHNSTON: Objection. Asked  
 14 and answered. You asked that answer  
 15 earlier.  
 16 THE WITNESS: I believe I've  
 17 answered that question previously.  
 18 BY MR. LITZENBURG:  
 19 Q. What was it, the answer?  
 20 A. We've discussed it in the context of  
 21 a variety of different questions.  
 22 Q. Okay. Name one. Name the third  
 23 most important variable.  
 24 Are you telling me --  
 25 MR. JOHNSTON: Objection. Calls

Page 140

1 for hypothesis.  
 2 BY MR. LITZENBURG:  
 3 Q. -- the geographical distribution, it  
 4 might be sale or it might be your analysis -- we  
 5 don't know -- of glyphosate use in the US --  
 6 A. Okay.  
 7 Q. -- and SEER --  
 8 A. I can -- I can --  
 9 Q. -- incidence by county are the two  
 10 most important data points?  
 11 MR. JOHNSTON: Objection. Your  
 12 whole line of questioning is vague because  
 13 now you're mixing apples and oranges now.  
 14 THE WITNESS: Right. I -- yeah,  
 15 I need you to restate your question if you  
 16 would.  
 17 BY MR. LITZENBURG:  
 18 Q. Okay. You just said these are the  
 19 most important databases and the most important  
 20 data points.  
 21 To what?  
 22 MR. JOHNSTON: Objection. Vague.  
 23 THE WITNESS: With regard to the  
 24 county level incidence of NHL, these are  
 25 the most recent data points available to

Page 141

1 analyze.  
 2 This makes the conclusions drawn  
 3 from them, you know, recent, not -- not  
 4 historical from 20 to 30 years ago, and  
 5 this is important because I was interested  
 6 in focusing on the current incidence of  
 7 NHL.  
 8 BY MR. LITZENBURG:  
 9 Q. Why?  
 10 A. The most recent one.  
 11 Because it doesn't really matter to  
 12 me the -- the -- I was not able to generate --  
 13 Data that I could not put into a map format  
 14 because it was not available to be done in a map  
 15 format was of no interest to me because I wanted  
 16 to demonstrate a nationwide association between  
 17 these two.  
 18 Q. You wanted to demonstrate a  
 19 nationwide association?  
 20 A. I wanted to look if there was one.  
 21 Absolutely.  
 22 Q. Okay. What -- you were starting to  
 23 say it was not important to you, which historical  
 24 data; is that what you're saying?  
 25 How old --



Page 142	Page 144
<p>1 A. I said --</p> <p>2 Q. How old is data before it becomes</p> <p>3 unimportant to you?</p> <p>4 A. It depends entirely on the context,</p> <p>5 but if I was rendering an opinion on NHL today, I</p> <p>6 would not be particularly interested in</p> <p>7 county-specific data that preceded 1974 as</p> <p>8 glyphosate was not in use whatsoever. So that</p> <p>9 historical data would be of no interest to me in</p> <p>10 this matter.</p> <p>11 Q. Okay. So how --</p> <p>12 A. It could -- it could be of interest</p> <p>13 in answering another scientific question. It</p> <p>14 could be of interest in many other capacities,</p> <p>15 but it would not be of interest to me in this</p> <p>16 setting.</p> <p>17 Q. Okay. Tell me two things -- tell me</p> <p>18 one thing that you did to determine the county</p> <p>19 level usage of glyphosate from 1974 to 1992.</p> <p>20 MR. JOHNSTON: Objection.</p> <p>21 THE WITNESS: This --</p> <p>22 MR. JOHNSTON: Misstates the --</p> <p>23 misstates the testimony and not</p> <p>24 encompassed within the expert report.</p> <p>25 THE WITNESS: The SEER</p>	<p>1 Q. All right. You said this is about</p> <p>2 the geographic distribution or usage of</p> <p>3 glyphosate; right?</p> <p>4 A. Uh-huh.</p> <p>5 Q. And NCI doesn't -- doesn't --</p> <p>6 A. No.</p> <p>7 Q. -- keep such statistics?</p> <p>8 A. No, not at all.</p> <p>9 Q. So what did you do to look at the --</p> <p>10 at the geographical distribution of glyphosate</p> <p>11 from 1974 to 1992?</p> <p>12 A. From 1974 to 1992?</p> <p>13 Q. Yes.</p> <p>14 A. I did -- I did not look at that in</p> <p>15 detail.</p> <p>16 Q. Okay. What -- at what level did you</p> <p>17 look at that data?</p> <p>18 A. For interest sake, I scanned the</p> <p>19 glyphosate data in the database from some point</p> <p>20 in the '90s through to the most recent date,</p> <p>21 probably the last couple of years, and decided</p> <p>22 that if I was going to correlate this with NHL</p> <p>23 incidence, I would need to pick a year.</p> <p>24 Q. What year did you pick?</p> <p>25 A. I picked the year 2000 because the</p>
Page 143	Page 145
<p>1 database --</p> <p>2 BY MR. LITZENBURG:</p> <p>3 Q. Now we're jumping from --</p> <p>4 A. The SEER --</p> <p>5 Q. -- from county usage to cancer</p> <p>6 incidents; right?</p> <p>7 Because I want to make clear that</p> <p>8 transition for the record when we do that.</p> <p>9 I just asked you a question about</p> <p>10 that top chart, which is glyphosate usage, right?</p> <p>11 Has nothing to do with cancer, or am I wrong?</p> <p>12 MR. JOHNSTON: Objection.</p> <p>13 Compound and argumentative.</p> <p>14 THE WITNESS: I thought you were</p> <p>15 referring to the bottom chart. So your</p> <p>16 question is now about the top chart?</p> <p>17 BY MR. LITZENBURG:</p> <p>18 Q. It always has been. I mean, maybe</p> <p>19 we need to demarcate the question a little bit.</p> <p>20 A. Sure.</p> <p>21 MR. JOHNSTON: Yes, that would</p> <p>22 help.</p> <p>23 BY MR. LITZENBURG:</p> <p>24 Q. We're looking at the top.</p> <p>25 A. Uh-huh.</p>	<p>1 year 2000 to me seemed important because we'd had</p> <p>2 over a 70-fold increase in the amount of</p> <p>3 glyphosate since its registration in 1974. So</p> <p>4 there was a tremendous amount of glyphosate in</p> <p>5 the -- in the community.</p> <p>6 Q. Yeah.</p> <p>7 A. And this also, that was the latest</p> <p>8 time point I could choose and still look at</p> <p>9 incidence by county with a 10-year latency</p> <p>10 period, and that's what drove the decision of --</p> <p>11 of looking at these two data sets.</p> <p>12 And I would like to continue to add</p> <p>13 that the top glyphosate data set interestingly</p> <p>14 defined, by my recollection, the agriculturally</p> <p>15 intense areas of the United States pretty much</p> <p>16 since its inception, and it was really only the</p> <p>17 areas that the intensity of the use that changed</p> <p>18 over time rather than the areas for the most</p> <p>19 part.</p> <p>20 So it was actually a very stable</p> <p>21 representation of where the high levels were</p> <p>22 used.</p> <p>23 Q. Okay. You were answering, I</p> <p>24 believe, the question of how you calculated or</p> <p>25 where you pulled the data, the geographical</p>

<p style="text-align: right;">Page 146</p> <p>1 distribution of glyphosate from 1974 to 1992.  2 Could you answer that for me now?  3 MR. JOHNSTON: Objection. Vague.  4 Misstates his testimony.  5 THE WITNESS: I --  6 MR. JOHNSTON: Misstates the  7 question he was answering.  8 THE WITNESS: Yeah. I -- I did  9 not specifically use the years you just  10 mentioned as part of my report.  11 BY MR. LITZENBURG:  12 Q. Do you know anything about the  13 geographical use of glyphosate between '74 and  14 '92?  15 MR. JOHNSTON: Objection. Asked  16 and answered. He just answered that about  17 five minutes ago.  18 BY MR. LITZENBURG:  19 Q. Okay. You told me that there was --  20 well, do you have an answer to that question?  21 MR. JOHNSTON: Yeah. Asked and  22 answered, but you can answer it if you  23 have the -- if you want to repeat your  24 answer.  25 THE WITNESS: I looked at that,</p>	<p style="text-align: right;">Page 148</p> <p>1 A. What I did in Figure 1 of this  2 report was to show the incidence of NHL changing  3 over time from 1975 to 2014, and I mentioned in  4 the text of the report the concomitant increase  5 in the use of glyphosate over that period of  6 time.  7 Q. Okay. You said --  8 A. And I chose the most recent time  9 frame to evaluate further using this -- these --  10 these maps because that was most representative  11 of the current state of the art for current  12 incidence of -- of NHL in the United States at  13 the -- at the county level.  14 And I backed that off by 10 years to  15 look at the glyphosate pattern. It wouldn't have  16 mattered if I backed off four years or six years,  17 the pattern was essentially the same. I chose  18 2000 because it accounted for a potential 10-year  19 latency period.  20 Q. It wouldn't have mattered if you had  21 chosen -- well --  22 A. The pattern would be the same. The  23 pattern would be the same.  24 Q. These maps look the same going  25 back --</p>
<p style="text-align: right;">Page 147</p> <p>1 some of that data, but not all briefly,  2 and then did not include it in my report  3 because I didn't think it was material.  4 BY MR. LITZENBURG:  5 Q. Where can I find that data? Where  6 would I go to to find geographical agricultural  7 use for glyphosate from 1980?  8 A. I would direct you to the USGS  9 National Water Safety Assessment Project. They  10 will have a web page, and I suspect they will  11 have a button that says something along the lines  12 of "Contact Us" and you can ask them that  13 question.  14 Q. That's the only way I get the data?  15 So did you ask them for it? Did you  16 ask them for raw data?  17 A. I was not interested in those in  18 that time frame.  19 Q. So you have no idea what the data is  20 from that time frame?  21 A. I did not find that time frame  22 material in any way to my report.  23 Q. The time frame from 1974 to 1992 is  24 not material in any way to whether glyphosate has  25 an association with -- with NHL?</p>	<p style="text-align: right;">Page 149</p> <p>1 A. They look -- what I was struck by  2 when looking at these maps was how similar they  3 looked over time, and there was a gradient from,  4 you know, very light yellow to very dark brown.  5 You can see those four groups less than 4.5  6 pounds all the way up to more than 88 pounds per  7 acre.  8 The patterns at the earliest maps I  9 looked at basically identified the Central Valley  10 of California. They identified the Northwest.  11 They developed -- they identified the central  12 portion of -- of Florida.  13 What was different somewhat was the  14 intensity of it and over time the areas that had  15 the highest use increased somewhat, but from a  16 pure pattern point of view, pattern recognition  17 point of view, these agricultural areas were  18 definable by glyphosate usage from the earliest  19 time points I recall available in the data set.  20 Q. So you're telling me when you looked  21 at maps -- this is for 2000, But when you looked  22 at the same map for 1990, it looked about the  23 same?  24 A. I don't know if I went back as far  25 as 1990. I went back a couple years and I went</p>

Page 150

1 forward several years, and I was -- I was struck  
 2 by the similarity in pattern and the fact that  
 3 there was a slight progression in the amount  
 4 total used, which fits, of course, with the  
 5 amount of glyphosate -- increasing amounts of  
 6 glyphosate used over time.  
 7 Q. How many years did you use to  
 8 compare it to find this year to be emblematic?  
 9 You said you went forward a few years, back a few  
 10 years and found this fairly representative. Can  
 11 you give us a number?  
 12 A. That was not the --  
 13 MR. JOHNSTON: Objection.  
 14 Misstates his testimony.  
 15 THE WITNESS: Yeah. That -- that  
 16 was not the description I gave to you  
 17 earlier. It is not the description in my  
 18 report.  
 19 BY MR. LITZENBURG:  
 20 Q. Okay.  
 21 A. If you like me to restate what I  
 22 did, I would be happy to.  
 23 Q. How did glyphosate usage patterns  
 24 change from 1990 to 2000?  
 25 A. They would have significantly

Page 151

1 increased, and if you'll give me a moment here,  
 2 I'll see how close my report brackets the years  
 3 that you're questioning.  
 4 (Reviewing document).  
 5 Okay. By 1990, the annual usage of  
 6 glyphosate in the United States had increased  
 7 from 1.4 million pounds in 1974 to 15 million  
 8 pounds. This increased to 40 million pounds by  
 9 1995 and to 98 million pounds by the year 2000.  
 10 In 2014, maximum 2014, a range of  
 11 2008 to 2014, up to 14 years after the annual  
 12 usage of glyphosate reached 98 million pounds,  
 13 the annual incidence of NHL continued to slowly  
 14 decline.  
 15 Q. Did you just tell me anything about  
 16 geography?  
 17 A. This para -- this data does not take  
 18 into account any regional differences in either  
 19 glyphosate usage or incidence. These are  
 20 important variables that will be considered in  
 21 the next section.  
 22 Q. Okay. I'm going to --  
 23 A. The next section is entitled  
 24 "Regional differences in glyphosate use and the  
 25 incidence of NHL."

Page 152

1 Q. And I'm asking you --  
 2 A. I'm happy to read this for you.  
 3 Q. I'm asking you for -- no, it was a  
 4 quick read.  
 5 I'm asking for the 10th or 11th  
 6 time: How did this agricultural usage across the  
 7 country and its distribution, how did that change  
 8 from 1990 to 2000?  
 9 Do you understand what I'm asking,  
 10 first of all?  
 11 MR. JOHNSTON: Objection. He can  
 12 now answer for the 10th or 11th time to  
 13 the question that you've asked 10 or 11  
 14 times.  
 15 Do you know what he's asking you?  
 16 You can give the same answer you gave  
 17 before.  
 18 THE WITNESS: By 1990, the  
 19 estimate was 15 million pounds. By the  
 20 year 2000, the estimate was 98 million  
 21 pounds.  
 22 BY MR. LITZENBURG:  
 23 Q. How are those 15 million pounds  
 24 distributed across -- well, let me take a step  
 25 back.

Page 153

1 You think the geographic  
 2 distribution of the glyphosate is important to  
 3 this causality question.  
 4 You chose that as one factor in  
 5 doing this analysis; right?  
 6 A. I chose two large available data  
 7 sets to test the hypothesis of whether there was  
 8 any association with glyphosate and NHL, and I  
 9 have compared them in two figures in my report  
 10 labeled Figure 5.  
 11 Q. Was geographical distribution one of  
 12 those?  
 13 MR. JOHNSTON: Objection. Vague.  
 14 THE WITNESS: Geographical  
 15 distribution is a key component of both  
 16 the glyphosate data set and the NHL  
 17 incidence by county. They are -- these  
 18 are -- this is demographic data -- I mean,  
 19 regional data.  
 20 BY MR. LITZENBURG:  
 21 Q. Okay.  
 22 MR. JOHNSTON: It's about  
 23 lunchtime, so wrap up soon?  
 24 MR. LITZENBURG: Yeah. We'll  
 25 quit in a couple minutes.

Page 154

1 THE VIDEOGRAPHER: 15 minutes  
 2 left on the tape.  
 3 MR. LITZENBURG: Okay. Sounds  
 4 perfect.  
 5 BY MR. LITZENBURG:  
 6 Q. Geographical distribution is a key  
 7 component of both the glyphosate data set and the  
 8 NHL incidence by county. This is demographic  
 9 data -- I mean --  
 10 A. Right.  
 11 Q. -- regional data.  
 12 You stand by that answer at least?  
 13 MR. JOHNSTON: Objection. Asked  
 14 and answered.  
 15 THE WITNESS: Could you repeat  
 16 the question?  
 17 BY MR. LITZENBURG:  
 18 Q. Yeah. Dr. Fleming, I'll go back to  
 19 my original question, which is: How did the  
 20 distribution patterns --  
 21 A. Uh-huh.  
 22 Q. -- of glyphosate differ between 1990  
 23 and 2000?  
 24 MR. JOHNSTON: Objection. Asked  
 25 and answered.

Page 155

1 THE WITNESS: I did not review  
 2 the distribution pattern for the dates you  
 3 have inquired about.  
 4 BY MR. LITZENBURG:  
 5 Q. Okay. Why not?  
 6 MR. JOHNSTON: Objection. Also  
 7 asked and answered.  
 8 THE WITNESS: I wanted to take  
 9 the most geographically regionally defined  
 10 data on NHL incidence in the United  
 11 States. This is from 2008 to 2012.  
 12 I wanted to back off at least 10  
 13 years to account for certain estimates of  
 14 latency that have been proposed to allow  
 15 sufficient time for any relationship  
 16 between glyphosate exposure and NHL  
 17 incidence to be evident.  
 18 Consequently, I didn't go back  
 19 past 2000 because I did not wish to  
 20 compare 1990 with 2004. I wanted to  
 21 compare current up-to-date glyphosate  
 22 data.  
 23 And I would also tell you that  
 24 the incidence by county is not available  
 25 historically for very long. This is --

Page 156

1 this is -- I'm not -- I'm not sure how  
 2 many other time periods were available to  
 3 analyze.  
 4 I chose the most recent one and I  
 5 worked backwards to say, all right, what  
 6 was the glyphosate usage in this country  
 7 approximately 10 years before or 10, you  
 8 know, at least 10 years before.  
 9 BY MR. LITZENBURG:  
 10 Q. Okay.  
 11 A. Eight to 12.  
 12 Q. I still haven't stopped talking  
 13 about that first map and you're talking about the  
 14 second one; right?  
 15 A. I'm talking about both of them  
 16 because either one in isolation doesn't address  
 17 the question in any way about any potential  
 18 correlation between glyphosate use and -- and NHL  
 19 incidence.  
 20 Q. You told me --  
 21 A. You can't look at either of them in  
 22 isolation and draw any conclusions.  
 23 Q. Did you tell me that the 70-fold  
 24 increase in glyphosate usage from 1974 to 2000  
 25 was important to you in forming your opinion in

Page 157

1 doing this?  
 2 MR. JOHNSTON: Objection.  
 3 Misstates his testimony.  
 4 THE WITNESS: The numbers you  
 5 have quoted represent in my mind a  
 6 significant increase in glyphosate usage  
 7 during that time period. That is not to  
 8 say it was not also significant in 2001,  
 9 in 1999. You know, it's all in the sort  
 10 of eye, you know, eye of the beholder.  
 11 That was not my -- my point.  
 12 My point was to illustrate with  
 13 nationwide data sets any relationship that  
 14 could be discerned between glyphosate  
 15 usage and NHL incidence by county.  
 16 This is, in my opinion, the best  
 17 data sets available to provide this  
 18 information in graphic form, and basically  
 19 anyone can look at this and draw their  
 20 conclusions as to whether there seems to  
 21 be overlap between high levels of  
 22 glyphosate and high levels of NHL.  
 23 It does not take any particular  
 24 epidemiologic expertise to do this,  
 25 medical expertise to do this. A nonexpert

Page 158

1 can actually sit down and look at this  
 2 relationship themselves.  
 3 BY MR. LITZENBURG:  
 4 Q. Okay.  
 5 A. I was asked to prepare this report  
 6 for a Daubert hearing, and I was asked to make  
 7 this report to imagine I was a judge and to make  
 8 this information as accessible as I could to  
 9 people who did not have a strong background in  
 10 lymphoma genesis, lymphoma etiology, NHL  
 11 incidence, glyphosate.  
 12 So I used whatever tools I had at  
 13 hand to -- to provide that. This is nothing more  
 14 than a simple demonstration of what turns out to  
 15 be, when looking at it, an absence of correlation  
 16 between these two variables.  
 17 Q. Dr. Fleming, I'm going to try and  
 18 get an answer out of this to this question before  
 19 you go have lunch with your counsel and come back  
 20 and we'll see what you say afterwards.  
 21 You've told me from 1974 to 2000  
 22 that the usage of glyphosate changed by a 70-fold  
 23 increase; right?  
 24 A. Approximately, yes.  
 25 Q. Okay. How did the geospatial

Page 159

1 distribution of glyphosate -- not cancer --  
 2 change between 1974 and 2000?  
 3 MR. JOHNSTON: Objection. Asked  
 4 and answered. He's already answered that  
 5 question three times.  
 6 BY MR. LITZENBURG:  
 7 Q. Do you know?  
 8 A. I looked at the available data that  
 9 I could in the map format and was immediately  
 10 struck by the fact that the patterns of  
 11 glyphosate, which is what you're looking at in  
 12 that top figure, remain essentially constant  
 13 throughout time. The color code changed as the  
 14 amount of glyphosate use increased.  
 15 To put it another way, the Central  
 16 Valley of California was present as an area of  
 17 high glyphosate use as early on as I looked. It  
 18 remained that way through 2002, and it remained  
 19 that way for subsequent years.  
 20 Q. How early did you look?  
 21 A. I looked as early as the -- as the  
 22 mapping program had data for.  
 23 Q. How far did you go back?  
 24 A. I don't recall that because, again,  
 25 I focused on NHL and the approximately 10-year

Page 160

1 latency period and so I don't -- I don't recall  
 2 the exact date that this data set goes back for  
 3 being able to draw maps.  
 4 Q. Well, ballpark it.  
 5 MR. JOHNSTON: Objection. He  
 6 doesn't know. Asked and answered.  
 7 THE WITNESS: I don't know.  
 8 BY MR. LITZENBURG:  
 9 Q. Okay.  
 10 A. It was not material --  
 11 Q. And --  
 12 A. It was not material to my report.  
 13 Q. The distribution -- the changes in  
 14 distribution geographically between 1974 and 2000  
 15 are not important to your opinion or your report;  
 16 correct?  
 17 MR. JOHNSTON: Objection.  
 18 Misstates his testimony.  
 19 THE WITNESS: They are not  
 20 relevant to the data I present in Figure 5  
 21 of my report and only that.  
 22 BY MR. LITZENBURG:  
 23 Q. And Figure 5 is only relevant if  
 24 there is an eight to 12-year latency period for  
 25 non-Hodgkin lymphoma.

Page 161

1 Do you agree with me there?  
 2 A. Figure 5 is relevant for a -- it is  
 3 actually -- it is not known whether that is the  
 4 case. I do not know for sure.  
 5 MR. LITZENBURG: Break on that.  
 6 THE VIDEOGRAPHER: Time now is  
 7 12:03. We are going off the record. This  
 8 is the end of Disk No. 2.  
 9 (Whereupon, at 12:03 p.m., a  
 10 luncheon recess was taken.)  
 11  
 12  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25



Page 162	Page 164
<p>1 AFTERNOON SESSION 2 (12:53 p.m.) 3 WILLIAM H. FLEMING, MD, PHD 4 called for continued examination and, having been 5 previously duly sworn, was examined and testified 6 further as follows: 7 EXAMINATION (CONTINUED) 8 THE VIDEOGRAPHER: The time now 9 is 12:53. We are back on the record. 10 This is the beginning of Disk No. 3. 11 BY MR. LITZENBURG: 12 Q. Did you get a chance to get lunch, 13 Dr. Fleming? 14 A. Yes, I did. 15 Q. Are you ready to go? 16 A. Absolutely. 17 Q. Okay. You've never done -- have you 18 ever done expert work before for litigation? 19 A. I have provided expert reports in 20 the past, yes. 21 Q. Okay. What was the matter? 22 A. It was a -- it related to 23 bisphosphonates and multiple myeloma. 24 Q. Is somebody sued somebody? It was a 25 court case?</p>	<p>1 Q. Okay. Why is that? 2 A. Because the HIV virus does not get 3 into lymphocytes and cause a clonal expansion of 4 lymphocytes resulting in lymphoma as EBD does. 5 It gets into cells and reduces their number and 6 their efficacy, and this results in 7 virally-induced immunosuppression. It is in that 8 setting of virally-induced immunosuppression 9 specific to the HIV that lymphoma developed. 10 In the late 1800s or late 1980s 11 through about 1993, patients who presented with 12 full-blown AIDS often developed lymphoma. And 13 from 1993 on, when HIV viral load could be easily 14 controlled, it turned out that the incidence of 15 lymphoma in those patients dropped off absolutely 16 dramatically. 17 We actually had a program at our 18 cancer center that was developed in the '90s, the 19 early '90s to evaluate HIV lymphoma. It was a 20 research group, and we closed that research group 21 a number of years ago because HIV lymphoma 22 basically ceased to exist as a clinical entity. 23 Q. And, in fact, if you look at Figure 24 3 in your report on page 4. 25 It's page 4.</p>
Page 163	Page 165
<p>1 A. No. No. I just provided expert 2 medical report, reviewing the history of the, you 3 know, up-to-date at the time treatment of 4 multiple myeloma. I talked about the individual 5 case in some detail and then basically gave my 6 opinion on the utility of bisphosphonates and the 7 treatment. 8 Q. But, I mean, who was it done for? 9 Who asked you to do it? It wasn't a court case 10 is what I'm saying. It wasn't in litigation? 11 A. This was with Hollingsworth in 2010. 12 Q. Okay. Did you give a deposition -- 13 A. No. 14 Q. -- in that case? 15 A. This is the first deposition I've 16 ever given. 17 Q. Have you ever served as an expert -- 18 have you ever been sued before for malpractice? 19 A. No, not that I'm aware of. 20 Q. You gave me an answer about AIDS 21 earlier that I was surprised I didn't understand. 22 Do you agree with me that AIDS 23 increases the risk of non-Hodgkin lymphoma? 24 A. That was historically true. It is 25 no longer true.</p>	<p>1 A. Sure. Yes. 2 Q. That tracks that dip. That's 3 exactly what you're saying; right? 4 It's rising -- in the second chart 5 on the right, it's rising up till about -- 6 A. The second -- 7 Q. -- 1993 and then there's -- then 8 there's a dip; right? 9 A. The chart panel B on Figure 3 shows 10 the SEER data for NHL, all races, all sexes, in 11 the age group of 20 to 49. 12 Q. Do you agree with me that that dip 13 has to do with AIDS just the mechanism that you 14 just explained? 15 MR. JOHNSTON: Age or AIDS? 16 MR. LITZENBURG: AIDS. 17 MR. JOHNSTON: You mean HIV? 18 MR. LITZENBURG: Uh-huh. 19 THE WITNESS: This data does not, 20 you know, give -- this data set we're 21 looking at here does not give any 22 indication as to what the cause of that 23 is. 24 BY MR. LITZENBURG: 25 Q. Dr. Fleming, neither does any of the</p>

<p style="text-align: right;">Page 166</p> <p>1 charts that you've referred to today.  2 I mean, what does Figure 4 and 5  3 give us as to the cause of non-Hodgkin lymphoma?  4 MR. JOHNSTON: Objection.  5 Argumentative.  6 THE WITNESS: Figures 4 and 5 are  7 illustrative of the conclusion I drew from  8 the Agricultural Health Study that there  9 was no positive correlation between  10 glyphosate and NHL.  11 BY MR. LITZENBURG:  12 Q. I thought we were actually finding  13 some common ground here.  14 Does this chart, Figure 3 on the  15 right, does that not describe precisely the trend  16 that you just told us about the AIDS virus and  17 doesn't it, in fact, look at young people as  18 opposed to all people?  19 This is the 20 to 49. That's  20 typically the age range in which you get new  21 cases of AIDS, isn't it, Dr. Fleming?  22 MR. JOHNSTON: Objection.  23 Compound question and narrative, and I'm  24 guessing it's going to be difficult to  25 find common ground given how far out you</p>	<p style="text-align: right;">Page 168</p> <p>1 epidemic --  2 A. Uh-huh.  3 Q. -- and the specific years  4 affected --  5 A. Yeah.  6 Q. -- the incidence of lymphoma, didn't  7 you?  8 MR. JOHNSTON: No. Objection.  9 Misstates his testimony.  10 MR. LITZENBURG: Bob, you can't  11 answer yes or no when I ask a question.  12 MR. JOHNSTON: You can't ask  13 unfair questions.  14 MR. LITZENBURG: Bob, you can't  15 answer no when I ask him a question.  16 MR. JOHNSTON: I'm saying no, you  17 can't answer that question. It's  18 improper. You're -- argumentative.  19 MR. LITZENBURG: You said -- you  20 think that's how you object to form is to  21 say no?  22 MR. JOHNSTON: Well, I object to  23 form.  24 THE WITNESS: The extent to which  25 therapy for HIV plays into this fall in</p>
<p style="text-align: right;">Page 167</p> <p>1 are on the playing field.  2 But go ahead if you can answer  3 his question.  4 THE WITNESS: There are a great  5 many factors that are represented here in  6 Panel B and I --  7 BY MR. LITZENBURG:  8 Q. What?  9 A. -- don't know -- I'm sorry?  10 Q. What factors?  11 MR. JOHNSTON: Can you let him  12 answer his question? You're talking over  13 him, counsel.  14 THE WITNESS: Okay. It's not --  15 it's not possible to tell, looking at  16 this, what is responsible for -- for that  17 drop.  18 What you're saying is that the  19 successful treatment of the AIDS epidemic  20 could follow a similar pattern, but that's  21 a hypothetical to which I cannot give you  22 an answer.  23 BY MR. LITZENBURG:  24 Q. You don't know how that -- you just  25 gave me a very learned answer about AIDS</p>	<p style="text-align: right;">Page 169</p> <p>1 Figure 3B is not a question I have looked  2 into in any detail to provide you with any  3 meaningful statistical answer.  4 BY MR. LITZENBURG:  5 Q. But it follows the years you gave  6 me; right? The trend in the years '80 to '93 and  7 then drop off, right, or does it?  8 A. This --  9 Q. Tell us if it does or it doesn't.  10 MR. JOHNSTON: Objection.  11 Compound. How many questions do you want  12 to ask him at once, counsel? It's a  13 compound question.  14 THE WITNESS: Could you repeat  15 the question, please?  16 BY MR. LITZENBURG:  17 Q. Is the shape of this line described  18 by the trend that you just told me about AIDS  19 between 1980 and 1993?  20 A. I gave --  21 MR. JOHNSTON: Objection. Asked  22 and answered. Go ahead.  23 THE WITNESS: I gave you a  24 generalization. These are hard numbers.  25 Two different things.</p>

<p style="text-align: right;">Page 170</p> <p>1 I told you that after 1993 with 2 the advent of triple therapy, the entity 3 of AIDS lymphoma declined in the -- in the 4 ensuing years. 5 I did not mean to suggest that it 6 dropped as much as it did or as quickly as 7 it did in Figure 5B. I do not know, you 8 know, what other contributing factors are 9 involved. 10 BY MR. LITZENBURG: 11 Q. Has anybody done a study on that? 12 A. Again, beyond the scope of my expert 13 report here today. 14 Q. Do you think if we stuck two maps 15 side by side it would answer the question for us? 16 MR. JOHNSTON: Objection. 17 Geez, counsel, you don't think 18 that's argumentative and disrespectful? 19 Objection to the conduct of this 20 deposition. 21 THE WITNESS: Again, beyond the 22 scope of my report. 23 BY MR. LITZENBURG: 24 Q. You agree with me that those two 25 maps that we were looking at before only is true</p>	<p style="text-align: right;">Page 172</p> <p>1 suggest this covers the entire waterfront 2 in terms of possible latency. 3 BY MR. LITZENBURG: 4 Q. What is your profession or your 5 industry view as a reasonable latency for 6 non-Hodgkin lymphoma? 7 MR. JOHNSTON: Objection. 8 Speculative. 9 THE WITNESS: As noted in my 10 report, outside of the context of chemo 11 and radiation therapy for Hodgkin's 12 disease and outside of the context of 13 developing lymphoma in organ 14 transplantation, very little direct 15 evidence is out there for the latency of 16 NHL. 17 In the vast majority of cases, 18 the latency in a given individual is 19 simply unknown. 20 BY MR. LITZENBURG: 21 Q. Well, how did you pick eight to 12 22 to do this? 23 MR. JOHNSTON: Objection. Asked 24 and answered. We spent a significant 25 amount of time on that this morning,</p>
<p style="text-align: right;">Page 171</p> <p>1 if the latency for non-Hodgkin lymphoma is 2 between eight and 12 years; right? 3 A. Which two maps? 4 Q. Page 8. 5 A. No, I do not agree with that 6 statement. 7 Q. Okay. So if the average latency of 8 non-Hodgkin lymphoma is six months, you're 9 telling me these maps are useful in determining 10 whether glyphosate caused it or not? 11 MR. JOHNSTON: Objection. 12 Misstates the testimony about what these 13 maps show. 14 THE WITNESS: These maps show, as 15 it's titled, the incidence on a 16 county-wide basis from 2008 to 2012. 17 BY MR. LITZENBURG: 18 Q. But you made an assumption that 19 latency is between eight and 12 years in making 20 those two maps; right? 21 MR. JOHNSTON: Objection. Vague. 22 Misstates his testimony. 23 THE WITNESS: I believe eight to 24 12 years is a reasonable time to begin to 25 look at this question. I do not mean to</p>	<p style="text-align: right;">Page 173</p> <p>1 counsel. 2 BY MR. LITZENBURG: 3 Q. Because it's not unreasonable? Is 4 that how you practice medicine or science? 5 MR. JOHNSTON: Objection. 6 Argumentative. And misstates the 7 testimony that you spent a significant 8 amount of time on this morning. 9 THE WITNESS: As I discussed 10 earlier today, the chemotherapy and 11 radiation therapy data, in combination 12 with data in patients that are 13 immunosuppressed, suggests that this is a 14 very reasonable time, and I believe that 15 there are hints in the case literature 16 studies suggesting 10 years is not 17 unreasonable. 18 BY MR. LITZENBURG: 19 Q. I'm asking is that how you practice 20 medicine to do things that are not unreasonable? 21 MR. JOHNSTON: Objection. Vague. 22 THE WITNESS: We practice 23 medicine based on the best available data 24 at the moment we draw conclusions and make 25 decisions about treatment.</p>

Page 174

1 BY MR. LITZENBURG:  
 2 Q. But words are important here in  
 3 litigation at least, and you keep telling me that  
 4 a latency period of eight to 12 years is not  
 5 unreasonable.  
 6 That's different from telling me  
 7 that you have an opinion that the latency period  
 8 of non-Hodgkin lymphoma is approximately eight to  
 9 12 years; right?  
 10 MR. JOHNSTON: Objection. Vague.  
 11 Compound.  
 12 THE WITNESS: My opinion, based  
 13 on the evidence available to me, is that  
 14 this time frame should be sufficient to  
 15 detect NHL.  
 16 BY MR. LITZENBURG:  
 17 Q. And what if the latency is three  
 18 years? The relationship of these two maps to  
 19 each other doesn't tell us anything about  
 20 etiology, would it? You're comparing 2000 to --  
 21 2008 to 2012; right?  
 22 MR. JOHNSTON: Objection.  
 23 Compound. Two questions there, counsel.  
 24 Choose one.  
 25 THE WITNESS: If it were limited

Page 175

1 to three years, that would be true. In  
 2 the case of organ transplantation, there  
 3 are circumstances where the lymphoma can  
 4 develop earlier.  
 5 There is a subset of patients,  
 6 for whom we do not understand the reasons,  
 7 develop lymphoma within a year of  
 8 beginning immunosuppressive therapy. The  
 9 risk for developing it persists until  
 10 about year 10.  
 11 Most patients don't develop it in  
 12 the first year. Most patients do it  
 13 later. It sort of peaks. It tends to  
 14 peak at year 10. That's not to say there  
 15 isn't a patient out at year 17 or 18. I  
 16 just -- I'm just coming up with a  
 17 reasonable time window to -- to look at  
 18 this data in.  
 19 BY MR. LITZENBURG:  
 20 Q. So then one year is not unreasonable  
 21 either?  
 22 A. There are subsets of patients in  
 23 whom we have data that suggests NHL can develop  
 24 within one year, but that would not be  
 25 generalizable to the NHL population as a whole.

Page 176

1 The data -- the data in Figure 5 is basically  
 2 that generalization.  
 3 Q. Would you agree with me that a  
 4 latency of one year is not unreasonable in the  
 5 context of non-Hodgkin lymphoma?  
 6 MR. JOHNSTON: Objection. Vague.  
 7 THE WITNESS: I do not believe a  
 8 latency of one year is in any way typical  
 9 of the average latency for NHL based on  
 10 the data we have. It would be -- it would  
 11 be an outlier, and I have no doubt you  
 12 could find patients in whom that was true,  
 13 but that would not be the general trend.  
 14 BY MR. LITZENBURG:  
 15 Q. No. Is it reasonable or not  
 16 reasonable to use that for a data?  
 17 MR. JOHNSTON: Objection. Asked  
 18 and answered.  
 19 THE WITNESS: I took the best  
 20 available data from the -- on NHL  
 21 incidence by county, the most recent data,  
 22 and correlated it with an average of  
 23 approximately 10 years exposure to  
 24 glyphosate and have presented that data.  
 25 I have not looked at any other

Page 177

1 time frames, and I'm not prepared to  
 2 discuss that.  
 3 MR. LITZENBURG: Okay.  
 4 (Document marked for  
 5 identification purposes as Fleming Exhibit  
 6 20-4.)  
 7 BY MR. LITZENBURG:  
 8 Q. I handed you Exhibit 4. It's a  
 9 document from, I believe, the 9.11 commission.  
 10 The heading is "9.11 Monitoring and Treatment  
 11 Minimum Latency & Types or Categories of Cancer."  
 12 Do you see that?  
 13 A. If you'll give me a moment to read  
 14 it, counselor.  
 15 Yes, I see this.  
 16 Q. Okay. And in those five categories  
 17 below, which category would non-Hodgkin lymphoma  
 18 fit into?  
 19 A. Well, it says that  
 20 lymphoproliferative and hematologic cancers,  
 21 including all types of leukemia and lymphoma.  
 22 Point three.  
 23 Q. Okay. And what does it list as a  
 24 minimum latency?  
 25 A. 0.4 years which they say is



<p style="text-align: right;">Page 178</p> <p>1 equivalent to 146 days.</p> <p>2 Q. Is that unreasonable or not</p> <p>3 unreasonable?</p> <p>4 A. It's based on low estimate use for</p> <p>5 lifetime risk of low level ionizing radiation</p> <p>6 studies, and this represents a change from</p> <p>7 lymphoproliferative cancers from the October 17,</p> <p>8 2012, 9.11 version.</p> <p>9 Q. What was the 2012 version?</p> <p>10 A. Don't know.</p> <p>11 Q. Okay. Do you know if it's gone up</p> <p>12 or down?</p> <p>13 A. Don't know.</p> <p>14 Q. Okay. Is .4 years as a low end</p> <p>15 estimate of latency, is that reasonable, not</p> <p>16 reasonable, or a third?</p> <p>17 A. This is a conclusion drawn by an</p> <p>18 administrative group headed apparently by</p> <p>19 Dr. John Howard. It's a white paper. It's an</p> <p>20 opinion paper, and for the purposes of 9.11, they</p> <p>21 are considering this to be the minimal latency.</p> <p>22 I am not aware of the primary data</p> <p>23 supporting this -- this allegation but -- per</p> <p>24 this conclusion I should say, but at the same</p> <p>25 time, I would not have searched out and reviewed</p>	<p style="text-align: right;">Page 180</p> <p>1 A. I have confidence that the National</p> <p>2 Institutes of Health and the SEER database that</p> <p>3 they oversee through the National Cancer</p> <p>4 Institute has carefully thought through these</p> <p>5 issues and worked to present data that they feel</p> <p>6 is -- is reliable based upon, you know, based</p> <p>7 upon all of these variables.</p> <p>8 Q. Well, nobody is saying that NCI is</p> <p>9 unreliable.</p> <p>10 I'm just asking you if you know --</p> <p>11 if you work, say, in the Central Valley of</p> <p>12 California?</p> <p>13 A. Uh-huh.</p> <p>14 Q. And you get diagnosed in San</p> <p>15 Francisco, where is it going to count that</p> <p>16 diagnosis for NHL in the SEER data?</p> <p>17 A. I have not reviewed the specifics of</p> <p>18 that question.</p> <p>19 Q. Wouldn't that be crucially important</p> <p>20 to our understanding here?</p> <p>21 MR. JOHNSTON: Objection. Calls</p> <p>22 for speculation and hypothetical.</p> <p>23 THE WITNESS: I see patients from</p> <p>24 Washington State on a routine basis. They</p> <p>25 receive their diagnosis at my institution</p>
<p style="text-align: right;">Page 179</p> <p>1 this as this is a, you know, an opinion of a --</p> <p>2 of a think tank, if you will.</p> <p>3 Q. Right. In Figure 5 here, NHL</p> <p>4 Incidence by County, how is that data reported?</p> <p>5 Is it -- well, what does it mean by "county"?</p> <p>6 A. What does it mean?</p> <p>7 Q. Yes.</p> <p>8 A. This would be the incident rate per</p> <p>9 hundred thousand people in that particular</p> <p>10 county.</p> <p>11 Q. Is it where a person -- so if a</p> <p>12 person is living -- does it count where a person</p> <p>13 is living at the time of diagnosis? Does it</p> <p>14 count the actual site they were diagnosed at, the</p> <p>15 site of exposure? Where does that count?</p> <p>16 MR. JOHNSTON: Objection. Vague.</p> <p>17 THE WITNESS: How those variables</p> <p>18 are addressed in the data set I cannot</p> <p>19 tell you.</p> <p>20 BY MR. LITZENBURG:</p> <p>21 Q. You have no idea if the SEER data</p> <p>22 collects where the person was diagnosed or where</p> <p>23 they lived or where they lived at the time of</p> <p>24 exposure? You have no idea what location that's</p> <p>25 using to collect that data?</p>	<p style="text-align: right;">Page 181</p> <p>1 in Oregon. They drive five miles across a</p> <p>2 bridge to another state.</p> <p>3 I would think it very unlikely</p> <p>4 that they would be included in an Oregon</p> <p>5 statistic because they are logged into the</p> <p>6 system as a state of Washington resident,</p> <p>7 and the county that they're residing in is</p> <p>8 also included because all that address</p> <p>9 information is there.</p> <p>10 They're basically all reported</p> <p>11 cases of cancer in the United States or</p> <p>12 all diagnosed cases of cancer in the</p> <p>13 United States are supposed to be reported</p> <p>14 and collated to facilitate our</p> <p>15 understanding of the burden of disease,</p> <p>16 and there is a, you know, well-organized</p> <p>17 group of people who focus on this problem.</p> <p>18 And how they addressed your</p> <p>19 question, how they addressed your question</p> <p>20 with granular detail, I don't know.</p> <p>21 BY MR. LITZENBURG:</p> <p>22 Q. So is your answer that this</p> <p>23 represents the county in which the patient is</p> <p>24 living at the time of diagnosis?</p> <p>25 A. I do not know for certain whether</p>



<p style="text-align: right;">Page 182</p> <p>1 that is correct.</p> <p>2 Q. Okay. So how can you make this</p> <p>3 comparison or draw any conclusions from it if you</p> <p>4 don't know whether this is showing the places</p> <p>5 where people get non-Hodgkin lymphoma, the places</p> <p>6 where they get diagnosed with non-Hodgkin</p> <p>7 lymphoma, or the place where they were living at</p> <p>8 the time the latency period begins?</p> <p>9 A. The people who constructed the SEER</p> <p>10 database would have a clear set of rules because</p> <p>11 the situation is actually more complex than you</p> <p>12 make it out to be. A person could have moved to</p> <p>13 a county within six months, get diagnosed in</p> <p>14 another county, and then returned to that second</p> <p>15 county. And where -- where are you going to</p> <p>16 include that?</p> <p>17 Q. That's what I'm asking.</p> <p>18 A. They'll -- they'll -- they'll have a</p> <p>19 standardized approach to answer your question,</p> <p>20 and that will basically be a wash for all the</p> <p>21 individuals who are recorded in the database.</p> <p>22 Q. No, that's the question that I'm</p> <p>23 asking.</p> <p>24 A. They're certainly not going to</p> <p>25 report the incidence of diagnosis at major</p>	<p style="text-align: right;">Page 184</p> <p>1 NCI, has been suppressed. And the reason it is</p> <p>2 suppressed is that there are some counties in</p> <p>3 this country that have a thousand residences or a</p> <p>4 thousand residents, and there are counties like</p> <p>5 LA County that have 10 million residents.</p> <p>6 So if you've got a thousand people</p> <p>7 in your county, there's -- you're not going to be</p> <p>8 able to say too much about the annual incident</p> <p>9 rate of lymphoma because the population base is</p> <p>10 too small.</p> <p>11 So much of the data here is because</p> <p>12 I think they have to have at least, I think I</p> <p>13 recall, 12 to 16 cases per county. Otherwise the</p> <p>14 information is censored or suppressed.</p> <p>15 Q. Dr. Fleming, do you know how many</p> <p>16 sites SEER draws this data from?</p> <p>17 A. The actual number of physical sites?</p> <p>18 No, I do not.</p> <p>19 Q. Do you know if it's less than 20?</p> <p>20 A. I do not know.</p> <p>21 Q. You don't know if it's more than a</p> <p>22 hundred?</p> <p>23 A. I don't know the reporting system</p> <p>24 for cancer diagnosis in the United States at a</p> <p>25 granular detail.</p>
<p style="text-align: right;">Page 183</p> <p>1 teaching hospitals throughout America because it</p> <p>2 would then appear that essentially all cancer</p> <p>3 diagnosis in America were made in a few hundred</p> <p>4 centers.</p> <p>5 As you can see here, there's very</p> <p>6 small counties throughout this map that are in</p> <p>7 states with low populations and states with no</p> <p>8 medical schools.</p> <p>9 Q. Yeah.</p> <p>10 A. So it's not going to be based on</p> <p>11 where the diagnosis is made.</p> <p>12 Q. Yeah. In fact --</p> <p>13 A. How long -- how long one needs to</p> <p>14 reside in the county before they're considered a</p> <p>15 county resident for purposes of the statistic I</p> <p>16 do not know.</p> <p>17 Q. And, in fact, how many sites does</p> <p>18 SEER collect this data from?</p> <p>19 A. The actual number of sites cannot be</p> <p>20 ascertained from the information that SEER</p> <p>21 provides in this.</p> <p>22 Q. Did you do --</p> <p>23 A. Because -- because the gray boxes in</p> <p>24 here indicate one of two possibilities. The data</p> <p>25 is not available or the data, in the words of the</p>	<p style="text-align: right;">Page 185</p> <p>1 Q. What is your estimate of the number</p> <p>2 of sites that SEER draws this data from?</p> <p>3 A. I have no estimate to give you.</p> <p>4 Q. Okay. If you're a migrant worker</p> <p>5 moving up and down the Central Valley harvesting</p> <p>6 vegetables and you get diagnosed in San Francisco</p> <p>7 with non-Hodgkin lymphoma, where are you recorded</p> <p>8 as getting non-Hodgkin lymphoma?</p> <p>9 A. We would have to check with the</p> <p>10 rules and regulations that -- and guidelines that</p> <p>11 SEER uses to construct the database. I can't --</p> <p>12 I'm not going to speculate on that.</p> <p>13 Q. What proportion of agricultural</p> <p>14 workers in the Central Valley are migratory?</p> <p>15 A. Again, beyond the scope of my</p> <p>16 report.</p> <p>17 Q. Do you think that the etiology of</p> <p>18 non-Hodgkin lymphoma varies by subtype?</p> <p>19 A. Let me think for a minute. That's a</p> <p>20 complex question.</p> <p>21 HTLV, particularly in people of a</p> <p>22 Japanese background, tends to result in T-cell</p> <p>23 malignancies. So that is a subtype of -- of NHL.</p> <p>24 Okay?</p> <p>25 EBV-driven lymphomas tend to be</p>

<p style="text-align: right;">Page 186</p> <p>1 B-cell lymphomas. EBV-driven lymphomas tend not 2 to be follicular low-grade lymphomas. 3 So the answer to your question is, 4 there is some data associating some etiologies 5 with some subtypes of NHL, but our data set and 6 knowledge is incomplete. 7 Q. Does -- do either of your maps 8 account for that? 9 A. It accounts for the overall 10 instance, which would include the common types of 11 NHL and the rare types. 12 Q. Could we adjust to see if it -- do 13 the same thing for T-cell lymphoma versus B-cell 14 lymphoma? 15 A. It cannot be done with the publicly 16 available NCI database, to the best of my 17 knowledge. Whether someone else at the NCI has 18 that data on a -- on an NCI server, you'd have to 19 ask them. 20 Q. Did you look into it? 21 MR. JOHNSTON: Objection. Vague. 22 THE WITNESS: This question 23 looked at the overall NHL diagnosis, as do 24 the great majority of epidemiologic 25 studies.</p>	<p style="text-align: right;">Page 188</p> <p>1 both. 2 Q. Okay. You don't account for 3 subtypes in this? 4 A. Subtype? I can only go with the 5 data I have. Subtype analysis was not available 6 on this. 7 Q. Are you certain? 8 A. It was not easily publicly 9 available. If I petition the NCI to release 10 this, I could go through a review process where 11 they would release any data to me because I'm a, 12 you know, a physician scientist at a US 13 university. 14 I could go through a process to -- 15 to -- to get my hands on any data, but it would 16 probably be in a format that I would not be able 17 to, you know, readily -- readily work with. 18 Q. What format? 19 A. It would -- the format -- 20 MR. JOHNSTON: Objection. Calls 21 for speculation. 22 THE WITNESS: Yeah. This -- this 23 geo version -- this GeoViewer type of data 24 permits this county-by-county assessment. 25 Again, anybody, regardless of</p>
<p style="text-align: right;">Page 187</p> <p>1 Subsequent subset analysis is 2 appropriate when patient populations are 3 large enough. That was not the goal of 4 the NCI in generating this 5 county-by-county data set. 6 BY MR. LITZENBURG: 7 Q. So you agree with me when you look 8 at causality, you look at NHL overall and not on 9 just individual subtype? 10 A. I don't think you're going to get a 11 low-grade lymphoma arising as a cause of 12 immunosuppression in an adult treated with an 13 organ transplant. You will not get a follicular 14 small cleave cell lymphoma, no. 15 So there is an example that I would 16 -- I would be suspicious if someone provided 17 those, you know, put those two together. But in 18 many other cases, in fact in most cases, we don't 19 know. 20 Q. I move to strike that answer, and 21 I'm going to read it to you again. 22 Do you agree with me that when you 23 look at causality, you look at NHL overall and 24 not just by individual subtype? 25 A. I think it's reasonable to look at</p>	<p style="text-align: right;">Page 189</p> <p>1 their background and statistics or 2 epidemiology, can go in there and click 3 and get a statistically valid 4 representation of the incidence by county 5 in those counties for which data is 6 available. 7 BY MR. LITZENBURG: 8 Q. And you -- 9 A. You don't have to make any 10 decisions. The decisions have been made for you. 11 You can choose from a modest menu, and that's 12 basically the limitation of it. 13 I, again, cannot query this data set 14 and ask how many people with NHL -- how many 15 people with blue eyes got NHL in Florida. That 16 is not something I could query and present to you 17 today because that is not on the menu. Age and 18 sex and other variables are. 19 Q. And you have no idea, again, what 20 "NHL Instance by County" means? You don't know 21 if that means the county of residence, the county 22 of residence of diagnosis, the county of 23 exposure? You have no idea, and it makes no 24 difference to your opinion, does it? 25 MR. JOHNSTON: Objection.</p>

Page 190

1 Absolutely compound and asked and  
 2 answered.  
 3 Choose a question. Which one do  
 4 you want him to answer?  
 5 BY MR. LITZENBURG:  
 6 Q. You have no idea what "NHL Instance  
 7 by County" means do you?  
 8 A. It means that residents of the  
 9 United States have been assigned a county  
 10 following the diagnosis of NHL.  
 11 Q. How is that defined?  
 12 A. The details of that are determined  
 13 by the NCI and the SEER database.  
 14 Q. Would that affect your --  
 15 A. I can only speculate on this.  
 16 Q. Would that affect your opinion?  
 17 A. No, not at all.  
 18 Q. It wouldn't affect your opinion if  
 19 this county is the place where the person was  
 20 exposed, the place where they lived at the time  
 21 of diagnosis, or the place where the site of  
 22 diagnosis is? That wouldn't make any difference  
 23 to your opinion?  
 24 MR. JOHNSTON: Objection.  
 25 Compound and calls for speculation.

Page 191

1 THE WITNESS: Figure 5 -- my  
 2 opinion in this case is not dependent on  
 3 Figure 4 and Figure 5 at all.  
 4 Figure 4 and Figure 5, as I said  
 5 earlier, are illustrative of the data that  
 6 we have in the epidemiology literature  
 7 looking at a large cohort-based study.  
 8 I simply said, are there other  
 9 data sets that would illustrate to us  
 10 whether that was a, you know, you know, a  
 11 reasonable conclusion. Would they have a  
 12 different finding? Who knows?  
 13 So I went and plotted up the  
 14 data. You got it in front of you. It is  
 15 not epidemiologic data. It is not the  
 16 data I based my opinion.  
 17 If I did not have any of the data  
 18 in Figures 1 through 5, this would not  
 19 change my opinion.  
 20 BY MR. LITZENBURG:  
 21 Q. Is this an accepted method of  
 22 epidemiology?  
 23 A. No. It's not epidemiology at all.  
 24 Q. Okay. And what does -- well, are  
 25 you aware of published epidemiology on this

Page 192

1 subject that shows a dose-response?  
 2 A. I am not aware of any dose-response  
 3 in the literature that I have seen that I would  
 4 consider to be scientifically credible because it  
 5 has not been adjusted for the use of other  
 6 pesticides or it simply does not meet statistical  
 7 significance after multivaried analysis that only  
 8 includes a relatively short list of variables.  
 9 Q. What paper finds a dose-response but  
 10 then fails one of the criteria that you just  
 11 mentioned?  
 12 MR. JOHNSTON: Objection.  
 13 THE WITNESS: Again, I did not --  
 14 I did not memorize the content of -- of  
 15 these case studies reports. If there's  
 16 one you'd like to discuss, please provide  
 17 it and I'll be happy to point out my  
 18 thinking.  
 19 BY MR. LITZENBURG:  
 20 Q. Has any study concluded there's a  
 21 dose-response or not between glyphosate and NHL?  
 22 MR. JOHNSTON: Objection. Vague.  
 23 THE WITNESS: There are -- I  
 24 recall abstracts of papers that have  
 25 suggested that that's what they conclude.

Page 193

1 I have looked at their primary data, and I  
 2 do not agree that it provides -- that it  
 3 provides convincing evidence of a  
 4 relationship between glyphosate and NHL in  
 5 a dose-dependent or non-dose-dependent  
 6 manner.  
 7 BY MR. LITZENBURG:  
 8 Q. You looked at the primary data for  
 9 all these papers?  
 10 A. I looked at -- I looked in the  
 11 case-control studies at some primary data.  
 12 Q. Where did you get your answer?  
 13 A. Primary data -- if you put numbers  
 14 in a table and those are the numbers and they,  
 15 you know, they haven't been adjusted, that's  
 16 primary data. If you take it a step further and  
 17 say we did multivaried analysis on these things,  
 18 that's still primary data.  
 19 Q. So for each of these papers, you  
 20 read the abstract and then you went and looked at  
 21 the primary data; is that --  
 22 A. I looked at -- I looked at the  
 23 primary data. There was -- there was a paper or  
 24 two that said there was potentially a  
 25 dose-response, and I looked at it. And I was

<p style="text-align: right;">Page 194</p> <p>1 underwhelmed by the number of patients in the 2 study, I was underwhelmed by the dose-response 3 differences and, most importantly, I didn't rely 4 on them because they didn't adjust for other 5 pesticides. 6 Q. What papers? 7 MR. JOHNSTON: Objection. Asked 8 and answered. 9 BY MR. LITZENBURG: 10 Q. Were you underwhelmed? I mean, you 11 just told me that you were underwhelmed by 12 patient numbers of one of papers that showed a 13 dose-response. Which one? 14 A. We could -- 15 MR. JOHNSTON: Objection. 16 Misstates his testimony. That's highly -- 17 THE WITNESS: Let's go to my MCL. 18 I'll point them out for you. That's fine. 19 It's not a problem. 20 BY MR. LITZENBURG: 21 Q. Do you remember the question pending 22 is: Which of these shows a dose-response for 23 which you don't like the patient population 24 numbers? 25 MR. JOHNSTON: I'm going to</p>	<p style="text-align: right;">Page 196</p> <p>1 mentions dose-response in this regard. 2 BY MR. LITZENBURG: 3 Q. Is dose-response one of the Bradford 4 Hill criteria? 5 A. Yes. 6 Q. Do you believe that latency varies 7 by subtype? 8 A. Latency varies by a number of 9 different factors, and subtype would be one of 10 them. 11 Q. Okay. Do you disagree with IARC 12 that this is a probable human carcinogen? 13 MR. JOHNSTON: Objection. Beyond 14 the scope of his report. 15 THE WITNESS: I did not consider 16 IARC's opinion in detail. I considered 17 the IARC monograph exactly as I would any 18 other review article as a review of 19 published data with which I used to 20 double-check that. There was no studies I 21 had excluded from my analysis. 22 BY MR. LITZENBURG: 23 Q. What other disagreements do you have 24 with IARC in terms of carcinogens? 25 MR. JOHNSTON: Objection. No</p>
<p style="text-align: right;">Page 195</p> <p>1 object on the grounds that that's compound 2 and also argumentative and also 3 disrespectful. 4 THE WITNESS: (Reviewing 5 document). 6 The Eriksson 2008 paper, to the 7 best of my recollection, mentions a 8 potential dose-response. 9 BY MR. LITZENBURG: 10 Q. Any others? 11 A. To discuss this any further, I 12 suggest we just take it out and look at it to 13 refresh both our memories and we can -- I will be 14 happy to tell you what concerns me about their 15 analysis. 16 Q. Well, you must have weighed two 17 lists. Tell me if there's anything that meets 18 that criteria. 19 MR. JOHNSTON: Objection to the 20 extent he recalls. 21 THE WITNESS: Yeah. 22 MR. JOHNSTON: Because this is 23 not a memory test, counsel. 24 THE WITNESS: Yeah. There was at 25 least one other case-control study that</p>	<p style="text-align: right;">Page 197</p> <p>1 foundation. Misstates the record. 2 THE WITNESS: Yeah. I -- I am 3 not here to give an opinion today on 4 IARC's decision of the classification of 5 glyphosate. That's beyond the scope of my 6 report. 7 I am looking at the primary 8 scientific data. 9 BY MR. LITZENBURG: 10 Q. Is there a more authoritative source 11 than IARC on what causes cancer and what doesn't? 12 A. Yes. 13 Q. What? 14 A. The primary data in the world's 15 literature is the authoritative source on the 16 scientific significance of correlating any 17 exposure with -- with any disease. 18 IARC is an international 19 organization that reviews potential carcinogens, 20 and it is also an organization that brings 21 together, you know, large data sets from around 22 the world for investigators to query. 23 So they have a panel of 24 epidemiologists who sort of continually review 25 compounds that they may think are potentially</p>



Page 198	Page 200
<p>1 carcinogens, yes.</p> <p>2 Q. Do you agree with the EPA's</p> <p>3 classification of glyphosate or Roundup?</p> <p>4 A. Again, I did not take the EPA's</p> <p>5 classification into consideration when I wrote</p> <p>6 this report.</p> <p>7 Q. Why did you put it in your Materials</p> <p>8 Considered List?</p> <p>9 A. I -- pardon me.</p> <p>10 Q. You just said you didn't consider</p> <p>11 it, but it's on your Materials Considered List?</p> <p>12 A. I misspoke. I did not rely upon it.</p> <p>13 Again, because it is -- IARC is also</p> <p>14 on my list. I did not rely on IARC. I did not</p> <p>15 rely on any review articles. I did not rely on</p> <p>16 any opinion pieces. I did not rely on any -- the</p> <p>17 results for any regulatory agencies.</p> <p>18 Q. Was it all peer reviewed, everything</p> <p>19 you relied on?</p> <p>20 MR. JOHNSTON: Objection. Vague.</p> <p>21 THE WITNESS: Yes, at some</p> <p>22 juncture all the -- all the data that --</p> <p>23 that -- all the data I really relied on,</p> <p>24 truly relied on, yes, was peer reviewed.</p> <p>25 There was other aspects of it that were</p>	<p>1 "favorable" and that he knows what</p> <p>2 Monsanto's case is.</p> <p>3 THE WITNESS: And I do not -- I</p> <p>4 do not know of any unpublished data from</p> <p>5 this project.</p> <p>6 BY MR. LITZENBURG:</p> <p>7 Q. So you were only given one set of</p> <p>8 unpublished data?</p> <p>9 A. I was given the 2013 draft</p> <p>10 manuscript by Alavanja, et al. updating</p> <p>11 glyphosate in the AHS data set through 2008.</p> <p>12 Q. Do you think that this methodology</p> <p>13 in Figures 4 and 5 is better than that of</p> <p>14 Eriksson?</p> <p>15 A. Apples and oranges. My -- I think</p> <p>16 Figure 4 and 5 are illustrative of the</p> <p>17 relationship described in the AHS study. They</p> <p>18 are completely congruent with it and do not show</p> <p>19 the expected changes one would see if that</p> <p>20 hypothesis or if that -- if that result was</p> <p>21 different in fact.</p> <p>22 Q. Do you think that working in</p> <p>23 agriculture places you at increased risk of</p> <p>24 non-Hodgkin lymphoma?</p> <p>25 A. I think there's a very long,</p>
<p>Page 199</p> <p>1 sort of updates of peer-reviewed data.</p> <p>2 So while the final followup on</p> <p>3 certain patients from AHS studies had not</p> <p>4 yet been published, it was collected in</p> <p>5 the same peer-reviewed format as the other</p> <p>6 studies had, so...</p> <p>7 BY MR. LITZENBURG:</p> <p>8 Q. So you relied on unpublished data?</p> <p>9 A. I didn't rely on it. It was</p> <p>10 congruent with the opinion of the De Roos 2005</p> <p>11 article, of which it was a longitudinal</p> <p>12 follow-up. It strengthened the conclusions of</p> <p>13 the 2005 article, but did not -- but did not</p> <p>14 materially contribute to my opinion.</p> <p>15 Q. Do you know what the North American</p> <p>16 Pooled Project is?</p> <p>17 A. Yes, I've heard of it.</p> <p>18 Q. Did you look at that unpublished</p> <p>19 data?</p> <p>20 A. No, I did not.</p> <p>21 Q. Do you know if it's favorable to</p> <p>22 Monsanto's case or unfavorable?</p> <p>23 A. I do not.</p> <p>24 MR. JOHNSTON: Objection. Calls</p> <p>25 for speculation and vague as to</p>	<p>Page 201</p> <p>1 well-established set of data indicating that</p> <p>2 farmers have a small but real increase in NHL</p> <p>3 compared to the general population, yes.</p> <p>4 Q. Well, did you account for that in</p> <p>5 Figures 4 and 5?</p> <p>6 A. The very highest levels of</p> <p>7 glyphosate in Figure 4 essentially define the</p> <p>8 major agricultural areas in the United States.</p> <p>9 So they are accounted for very, very clearly in</p> <p>10 Figure 4.</p> <p>11 Q. What are you measuring that by?</p> <p>12 A. I'm measuring that by -- It's the</p> <p>13 use on a per square mile basis essentially</p> <p>14 highlights the major agricultural areas of the</p> <p>15 United States.</p> <p>16 Q. What are you --</p> <p>17 A. From the Central Valley from eastern</p> <p>18 Oregon and eastern Washington to the Central</p> <p>19 Valley of California to Northwest Texas to</p> <p>20 Florida and all up the Southeastern seaboard and</p> <p>21 into the West Coast. These are -- these are all</p> <p>22 highlighted.</p> <p>23 I would -- I do not see what I would</p> <p>24 consider a major agricultural area that -- that</p> <p>25 has no estimate of usage.</p>



Page 202

1 Q. What have you done to study measures  
 2 of major agricultural areas? I mean, what are  
 3 you comparing this to to say that they match up?  
 4 It's circular logic, isn't it?  
 5 MR. JOHNSTON: Objection.  
 6 Compound.  
 7 BY MR. LITZENBURG:  
 8 Q. You're telling me that because they  
 9 use glyphosate, it's an agricultural area; is  
 10 that right?  
 11 A. Uses of glyphosate certainly in the  
 12 range, the higher range, which I would say is of  
 13 greater than 88 pounds per square mile as  
 14 compared to less than 4 pounds -- so we're seeing  
 15 20-fold differences, round numbers -- aggregate  
 16 as expected within the major agricultural areas  
 17 of the United States.  
 18 Q. Have --  
 19 A. Full -- full stop. I don't need --  
 20 I don't need any additional data to convince me  
 21 that the Central Valley of California has a high  
 22 glyphosate usage, and this is also true of, you  
 23 know, of the Midwest and Florida.  
 24 As I pointed out, it's -- it's very  
 25 clear. We're not drawing fine lines and borders.

Page 203

1 We're looking at with -- we're looking at broad  
 2 areas of known agricultural activity that have  
 3 high uses of glyphosate.  
 4 That's -- they're essentially --  
 5 you're right. They're essentially defined by  
 6 glyphosate use themselves.  
 7 Q. So you would define a major  
 8 agricultural area by the amount of glyphosate it  
 9 uses?  
 10 A. I think there would be a very strong  
 11 correlation, but I'd be happy to entertain any  
 12 data you have to the -- in the contrary.  
 13 Q. Do you know how the AHS study  
 14 controlled for the elevated risk of agricultural  
 15 workers?  
 16 A. Controlled for it?  
 17 Q. Yeah.  
 18 A. Yeah. I'm not sure I understand  
 19 your question.  
 20 Q. Did it?  
 21 A. What they did was, they did what was  
 22 not possible in the case-control study where you  
 23 take a population of patients with a disease or  
 24 an outcome and then look down that list to find  
 25 affected individuals.

Page 204

1 They focused on pesticide  
 2 applicators. They went where the assumed problem  
 3 was. There was a lot of preliminary evidence  
 4 suggesting that might be a fruitful place to look  
 5 for the increased incidence of NHL in farmers.  
 6 And they said, all right, let's get  
 7 a cohort, a large cohort. 57,000 pesticide  
 8 users. 75 percent of whom had glyphosate  
 9 exposure. So the tyranny of small numbers that  
 10 you get in case reports disappears when you have  
 11 a robust prospective cohort study.  
 12 Q. You put in here that children are at  
 13 50 percent increased risk of non-Hodgkin lymphoma  
 14 if they grew up on a farm; is that right?  
 15 A. Not as children. That's not what it  
 16 says.  
 17 Q. People who grew up on a farm through  
 18 18 years of age?  
 19 A. Which is a very different statement.  
 20 Q. Okay.  
 21 A. That means if you grew up on a farm  
 22 through 18 years of life, your risk of developing  
 23 NHL subsequently was higher. It does not say you  
 24 develop NHL as a child.  
 25 Q. What is that excess risk from?

Page 205

1 A. We do not know.  
 2 Q. Okay. You just know it can't be  
 3 glyphosate?  
 4 MR. JOHNSTON: Objection.  
 5 Misstates his opinion.  
 6 THE WITNESS: What I do know is  
 7 that the Agricultural Health Study  
 8 enrollees in 1993 were median age of 47,  
 9 and this means that all of them, I mean,  
 10 the median in that group would have been  
 11 older than 18 years of age in about 1961,  
 12 a full -- a full 13 years before  
 13 glyphosate became available.  
 14 So those individuals who were,  
 15 you know, in this study who have higher  
 16 incidences if, in fact, they were raised  
 17 on a farm, it had to be -- I have no idea  
 18 what the exposure was, but I know with  
 19 confidence that the vast majority of them  
 20 absolutely would not have been young  
 21 enough to have had any glyphosate exposure  
 22 in the first 18 years of their life.  
 23 BY MR. LITZENBURG:  
 24 Q. Well, which is it? You have no idea  
 25 what the exposure is or you have absolute

Page 206

1 confidence?  
 2 MR. JOHNSTON: Objection.  
 3 Argumentative.  
 4 THE WITNESS: I have absolute  
 5 confidence that the -- when you subtract  
 6 the median age of enrollees in 1993 and  
 7 then ask when they turned 18 years of age,  
 8 this would be approximately a decade  
 9 before glyphosate was ever used in the  
 10 United States. Therefore, the -- one  
 11 cannot correlate.  
 12 One can conclusively, I think,  
 13 state that glyphosate in those individuals  
 14 who turned 18 before 1974, they simply  
 15 cannot have their disease, their NHL or  
 16 anything else, attributed to glyphosate in  
 17 childhood.  
 18 BY MR. LITZENBURG:  
 19 Q. So the fact that NHL existed before  
 20 Roundup pushes you toward the conclusion that  
 21 Roundup can't cause NHL?  
 22 MR. JOHNSTON: Objection.  
 23 Misstates his testimony.  
 24 THE WITNESS: No. In this very  
 25 well-defined group of patients who became

Page 207

1 adults long before glyphosate was  
 2 approved, we cannot attribute their NHL to  
 3 glyphosate, period.  
 4 BY MR. LITZENBURG:  
 5 Q. Okay. Did the incidence of  
 6 non-Hodgkin lymphoma increase, decrease, or stay  
 7 the same from '74 to present?  
 8 MR. JOHNSTON: Objection.  
 9 Compound.  
 10 THE WITNESS: That data is in  
 11 Figure 1 or -- pard me -- Figure 3 of my  
 12 report.  
 13 Individuals over 50 years of age  
 14 are shown in Panel A on the left.  
 15 Individuals between 20 and 49 years of age  
 16 are shown on the right.  
 17 BY MR. LITZENBURG:  
 18 Q. And overall did it increase,  
 19 decrease, or stay the same?  
 20 MR. JOHNSTON: Objection. Vague  
 21 as to time frame.  
 22 THE WITNESS: It exactly depends  
 23 on the time frame, and I will run through  
 24 that with you.  
 25 It's -- it increased quite

Page 208

1 substantively between 1975 and about 1990.  
 2 At that point, the curve began to flatten  
 3 out until 2004, and from 2004 on it has  
 4 actually begun to decrease.  
 5 So the pattern is an increase for  
 6 the first 10 or so years, a decrease in  
 7 the rate, followed by a fall.  
 8 BY MR. LITZENBURG:  
 9 Q. The truth is from '74 to 2009, the  
 10 incidence of NHL has gone up in every single  
 11 subgroup that SEER measures; right?  
 12 MR. JOHNSTON: Objection. Vague.  
 13 BY MR. LITZENBURG:  
 14 Q. Every race, every age group?  
 15 MR. JOHNSTON: Objection. Vague.  
 16 THE WITNESS: Every single  
 17 subgroup? The subgroups that SEER looked  
 18 at are actually, this is, you know, this  
 19 is SEER data. It's there in the figure.  
 20 And this Panel A is adults age  
 21 50, all races, both sexes, 1975 to 2014,  
 22 and you can, you know, look at any -- any  
 23 time interval you wish there to -- to talk  
 24 about rate.  
 25 And the rate initially increased

Page 209

1 for unknown reasons, began to decrease,  
 2 plateaued, and fell. In other words, the  
 3 more glyphosate was used over time in the  
 4 United States, the lower the incidence and  
 5 rate of incidence of NHL became until it  
 6 began to actually fully decline.  
 7 BY MR. LITZENBURG:  
 8 Q. I'm sorry. Do you know of a single  
 9 subgroup for which the rate -- the incidence of  
 10 NHL is lower today than it was in 1974,  
 11 Dr. Fleming?  
 12 A. I did not do subset analysis in  
 13 conjunction with the SEER data I presented in  
 14 Figure 3.  
 15 Q. Is that a no?  
 16 A. I can't answer that off the top of  
 17 my head. It's beyond the scope of my expert  
 18 report.  
 19 Q. Do you know -- do you know if --  
 20 what was the long -- well, let's talk about AHS  
 21 unpublished data.  
 22 What's the loss to follow up there  
 23 approximately?  
 24 A. I would have to refresh my memory.  
 25 Q. You have no idea? Was there a loss

<p style="text-align: right;">Page 210</p> <p>1 to follow up?</p> <p>2 A. I can't -- there's a loss to follow</p> <p>3 up in every study. And, in fact, a well-designed</p> <p>4 study will take into account the expected loss</p> <p>5 over time in order to have sufficient numbers.</p> <p>6 Q. How do you do that? How do you make</p> <p>7 up for the loss over time?</p> <p>8 A. You talk to well-qualified</p> <p>9 statisticians and epidemiologists before setting</p> <p>10 up a comprehensive cohort study, as the AHS is,</p> <p>11 and you say what would we anticipate, you know,</p> <p>12 loss to follow up be over time and how should</p> <p>13 we -- how many people should we enroll in the</p> <p>14 study to compensate for this difference.</p> <p>15 Q. Uh-huh. And how did they compensate</p> <p>16 for the loss to follow up? Did they make that?</p> <p>17 A. You can't compensate for the loss to</p> <p>18 follow up. Most --</p> <p>19 Q. What did they do to adjust it?</p> <p>20 MR. JOHNSTON: Objection. Quit</p> <p>21 interrupting him and let him answer your</p> <p>22 question before you ask another one, which</p> <p>23 renders your question a compound question.</p> <p>24 THE WITNESS: Could you repeat</p> <p>25 your question, please?</p>	<p style="text-align: right;">Page 212</p> <p>1 you know, there -- there can't be a meaningful</p> <p>2 result derived from studying that premise.</p> <p>3 Q. No. I asked if it was one of the</p> <p>4 Bradford Hill criteria.</p> <p>5 A. I don't know if it's -- if it's one</p> <p>6 of the nine Bradford. I suspect it's</p> <p>7 incorporated in it, but I have, you know, not</p> <p>8 memorized all -- all nine criteria.</p> <p>9 Q. Do you know if Roundup has been</p> <p>10 shown in some studies to cause DNA damage?</p> <p>11 A. I'm sorry. I didn't hear your</p> <p>12 question.</p> <p>13 Q. Do you know if Roundup has been</p> <p>14 shown in any studies to cause DNA damage?</p> <p>15 A. Again, of -- I was -- I was retained</p> <p>16 in this matter to look at human etiology and</p> <p>17 epidemiology. I was not retained as a DNA damage</p> <p>18 expert.</p> <p>19 Q. What are the mechanisms of action</p> <p>20 that you considered in looking at this potential</p> <p>21 association?</p> <p>22 A. In my mind, before you have --</p> <p>23 scientifically before you have a mechanism of</p> <p>24 action, you first have to have a, you know, a</p> <p>25 solid relationship, and I know of no credible</p>
<p style="text-align: right;">Page 211</p> <p>1 BY MR. LITZENBURG:</p> <p>2 Q. Yeah. In the Agricultural Health</p> <p>3 Study, what did they do to adjust for the loss to</p> <p>4 follow?</p> <p>5 A. I am not sure of the details of</p> <p>6 the -- in how the loss -- how the loss to follow</p> <p>7 up impacted the calculations that the</p> <p>8 epidemiologists did in their analysis. I cannot</p> <p>9 give you a granular answer on that.</p> <p>10 I just know it's -- obviously by the</p> <p>11 fact it's published data by a well-respected</p> <p>12 group, I would -- I would expect the data to have</p> <p>13 been handled in a way that most epidemiologists</p> <p>14 would think was appropriate.</p> <p>15 Q. What does plausibility mean to you?</p> <p>16 A. As an English definition, I consider</p> <p>17 the word plausible as possible.</p> <p>18 Q. Well, as a cancer doctor.</p> <p>19 A. I guess plausible is not, you know,</p> <p>20 you know, a term that we, you know, we frequently</p> <p>21 use.</p> <p>22 Q. It's one of the Bradford Hill</p> <p>23 criteria; right?</p> <p>24 A. If something is implausible, it is</p> <p>25 certainly -- if the premise is implausible, then,</p>	<p style="text-align: right;">Page 213</p> <p>1 scientific data that would suggest that there is</p> <p>2 an association between glyphosate and NHL. So</p> <p>3 there would be no mechanism to study.</p> <p>4 Q. Do you know if there are any studies</p> <p>5 concluding that glyphosate exposure causes</p> <p>6 oxidative stress?</p> <p>7 A. I have not reviewed any such -- I</p> <p>8 have not considered -- I have not relied</p> <p>9 certainly on any of them. Whether there's a</p> <p>10 paper or two on my MCL, we could certainly check.</p> <p>11 Q. You don't know whether you looked at</p> <p>12 one or more or not; is that right?</p> <p>13 A. If it's on my MCL, I looked at it.</p> <p>14 I certainly did not use that study in any way to</p> <p>15 arrive at my -- my opinion.</p> <p>16 Q. Okay. A 20 percent increase in</p> <p>17 cancer risk is clinically significant to a cancer</p> <p>18 doctor, isn't it?</p> <p>19 A. All depends.</p> <p>20 Q. If a population that you're treating</p> <p>21 the risk of, if their incidence of lymphoma goes</p> <p>22 up by 20 percent because of exposure to</p> <p>23 something, that's important to you as a doctor,</p> <p>24 isn't it?</p> <p>25 MR. JOHNSTON: Objection.</p>

Page 214

1 Incomplete hypothetical. Calls for  
2 speculation.

3 THE WITNESS: Anything we can do  
4 to meaningfully reduce the cancer burden  
5 in the United States makes sense. Where  
6 you put a cutoff, many factors determine  
7 this.

8 BY MR. LITZENBURG:

9 Q. And as those efforts to reduce the  
10 cancer burden on the US, or whatever, you would  
11 not tell a current patient to stop using Roundup?

12 A. I would have no reason --

13 MR. JOHNSTON: Objection. Asked  
14 and answered.

15 THE WITNESS: I would have no  
16 reason to because I have no credible data  
17 leading me to that conclusion.

18 BY MR. LITZENBURG:

19 Q. Do you agree that NHL can be  
20 secondary to prior cancer treatment?

21 MR. JOHNSTON: Objection. Asked  
22 and answered.

23 THE WITNESS: In a very narrowly  
24 defined group of patients, namely those  
25 who have been previously diagnosed with

Page 215

1 non-Hodgkin's lymphoma, NHL can occur.  
2 How much of that is due to the underlying  
3 NHL genetics and the chemotherapy and  
4 radiation therapy and those interactions  
5 are not well understood.

6 BY MR. LITZENBURG:

7 Q. What is the latency period for that  
8 association?

9 A. Again, probably in the six-year  
10 range. Six to 10-year range.

11 Q. You've actually studied it, haven't  
12 you? You've published on it. Do you remember?

13 A. I have -- I have not published  
14 anything on cancer latency.

15 Q. Okay. You don't remember publishing  
16 a paper saying kids that get secondary NHL after  
17 primary cancer treatment have a mean of 3.7 years  
18 between the two?

19 A. I'm sorry. Whose? May I see this  
20 manuscript?

21 Q. Do you remember participating,  
22 having your name on a paper that said that?

23 A. No, absolutely not.

24 Q. Okay. So that paper would be wrong,  
25 and this would be correct?

Page 216

1 MR. JOHNSTON: Objection. Calls  
2 for speculation.

3 You haven't shown him the paper,  
4 counsel. You're asking him to speculate  
5 about what the paper says and take your  
6 word for it, that's not proper. You're  
7 testifying.

8 THE WITNESS: To the best of my  
9 knowledge, I am not a coauthor on any  
10 paper where the latency of -- of NHL is --  
11 is the primary topic at all.

12 BY MR. LITZENBURG:

13 Q. Okay. Do you agree it's not proper  
14 for scientists to develop an opinion and then  
15 work backwards to get your data methodology to  
16 fit it?

17 A. I disagree with your  
18 characterization.

19 Q. No. I asked you whether it was  
20 appropriate or not.

21 MR. JOHNSTON: Objection. Vague.

22 THE WITNESS: Yeah. I -- your  
23 example does not fit the real scientific  
24 world in which I live and operate.

25 BY MR. LITZENBURG:

Page 217

1 Q. Do you know what our intake of  
2 glyphosate is, our biological load from just  
3 eating everyday foods?

4 MR. JOHNSTON: Objection. Calls  
5 for speculation and hypothetical  
6 incomplete.

7 THE WITNESS: Again, I was not  
8 retained to provide any opinion on the  
9 exposure of individuals to -- to  
10 glyphosate.

11 BY MR. LITZENBURG:

12 Q. Do you have an opinion on exposure  
13 of people to glyphosate?

14 MR. JOHNSTON: Objection. Asked  
15 and -- Objection. Misstates his  
16 testimony.

17 THE WITNESS: Not individuals in  
18 terms of their daily exposure and, you  
19 know, through -- through food products,  
20 crops, air, water, whatever. I was --  
21 that's not part of my expert report.

22 BY MR. LITZENBURG:

23 Q. Did you look into it?

24 A. No.

25 Q. You don't know whether people get



Page 218

1 exposed to glyphosate through the diet?  
 2 A. It doesn't really matter how they're  
 3 exposed to glyphosate when we have clear,  
 4 compelling, reliable cohort data showing no  
 5 association between glyphosate exposure and NHL,  
 6 and that was the focus of my report.  
 7 Q. Is dietary glyphosate taken into  
 8 account in these Figures 4 and 5?  
 9 A. That in Figure 4, that's glyphosate  
 10 usage, I believe, per acre in these agricultural  
 11 districts. End of story. But what --  
 12 Q. And, again, you don't know if that's  
 13 based on sales figures. You don't know where  
 14 that comes from; right?  
 15 A. I know it is what the US government  
 16 consists -- considers the most reliable map of  
 17 glyphosate usage per acre in the United States.  
 18 That's all that data says.  
 19 You're inferring that it can be  
 20 looked at in greater detail, and I am -- I am not  
 21 aware that it can and I have not done so.  
 22 Q. Is most of food grown in the Central  
 23 Valley of California consumed in the Central  
 24 Valley of California?  
 25 MR. JOHNSTON: Objection. Calls

Page 219

1 for speculation and a hypothetical.  
 2 THE WITNESS: Right. I really  
 3 don't understand the full economics of the  
 4 food production in the United States.  
 5 Can't comment.  
 6 BY MR. LITZENBURG:  
 7 Q. You did agree with me that  
 8 correlation is not the same as causation; right?  
 9 A. Yes.  
 10 Q. Okay. And that's why we have  
 11 epidemiology and controls; right?  
 12 MR. JOHNSTON: Objection. Vague.  
 13 THE WITNESS: It's -- you don't  
 14 always need to have epidemiology to  
 15 demonstrate a causal relationship and it's  
 16 not absolutely necessary, but  
 17 epidemiologic tools are often very helpful  
 18 in making these determinations.  
 19 BY MR. LITZENBURG:  
 20 Q. And the bulk of the published  
 21 epidemiology in this case has a positive  
 22 association?  
 23 MR. JOHNSTON: Objection. Vague.  
 24 THE WITNESS: I am not aware of  
 25 any statistically significant association

Page 220

1 between glyphosate and NHL in the  
 2 published epidemiologic literature that  
 3 accounts and is adjusted for pesticide  
 4 exposure.  
 5 BY MR. LITZENBURG:  
 6 Q. Do you believe bladder cancer is  
 7 associated with smoking?  
 8 A. I'm sorry. I didn't hear.  
 9 MR. JOHNSTON: Excuse me.  
 10 BY MR. LITZENBURG:  
 11 Q. Do you believe bladder cancer to be  
 12 associated with smoking?  
 13 A. The --  
 14 MR. JOHNSTON: Objection. Beyond  
 15 the scope of the opinion.  
 16 THE WITNESS: Again, not the --  
 17 not the subject of my expert report today  
 18 here.  
 19 BY MR. LITZENBURG:  
 20 Q. Do you know?  
 21 A. I am not prepared to provide expert  
 22 testimony as to that question today.  
 23 Q. You don't know if you're qualified  
 24 to provide an answer?  
 25 A. I am not prepared to provide expert

Page 221

1 testimony outside of the question I've been asked  
 2 to address here.  
 3 MR. ESFANDIARY: Doctor, where in  
 4 time --  
 5 MR. JOHNSTON: Excuse me. You're  
 6 not -- you're not allowed to speak on the  
 7 record counsel. The deposition --  
 8 MR. ESFANDIARY: Right. I want  
 9 to make clear of whether his best answer  
 10 is his best answer, and he can't give  
 11 us --  
 12 MR. JOHNSTON: You're not allowed  
 13 to speak on the record, counsel. You're  
 14 not allowed to speak.  
 15 MR. ESFANDIARY: Don't tell me  
 16 what I'm not allowed to do.  
 17 MR. JOHNSTON: Oh, I will. I  
 18 absolutely will tell you.  
 19 You're -- under the agreement and  
 20 the order entered by Judge Chhabria, only  
 21 one attorney is permitted to ask  
 22 questions. You're in violation of the  
 23 court order, counsel. Go ahead.  
 24 BY MR. LITZENBURG:  
 25 Q. Do you know if smoking causes lung



Page 222

1 cancer?  
 2 A. There is a very strong association  
 3 between smoking and lung cancer, yes.  
 4 Q. Okay. And so you would -- you would  
 5 say it causes it?  
 6 A. In some but not all people. The  
 7 full 10 percent of lung cancer cases occur in  
 8 nonsmokers.  
 9 Q. And you don't know whether it causes  
 10 bladder cancer or not?  
 11 A. There is a literature that would  
 12 support an increased instance of bladder cancer  
 13 in certain individuals who harbor certain  
 14 mutations, two minor mutations.  
 15 Q. Just two data points.  
 16 The rate of cigarette smoking in  
 17 Egypt has gone up steadily in the last 30 years  
 18 and the incidence or the percentage of diagnosed  
 19 cancers that are bladder cancers has gone down.  
 20 Those are two maps you could put up next to each  
 21 other.  
 22 Would that show us -- would that  
 23 disprove the theorem that smoking is associated  
 24 with bladder cancer?  
 25 MR. JOHNSTON: Objection.

Page 223

1 Incomplete hypothetical. Calls for  
 2 speculation.  
 3 THE WITNESS: Right.  
 4 MR. JOHNSTON: Misstates his  
 5 testimony.  
 6 THE WITNESS: Yeah. You'd have  
 7 to provide that data to me and -- and ask  
 8 me to formally analyze it.  
 9 BY MR. LITZENBURG:  
 10 Q. You'd have to look at a whole lot  
 11 more variables, wouldn't you?  
 12 MR. JOHNSTON: Objection. Calls  
 13 for speculation.  
 14 Counsel, can you -- he can answer  
 15 the question, but can we take a break in a  
 16 few minutes?  
 17 MR. LITZENBURG: Yeah. Give  
 18 me --  
 19 MR. JOHNSTON: I think there's a  
 20 question pending. Go ahead and answer the  
 21 question.  
 22 THE WITNESS: I'm sorry?  
 23 BY MR. LITZENBURG:  
 24 Q. You would need to know a lot more  
 25 variables, wouldn't you?

Page 224

1 MR. JOHNSTON: Objection. Calls  
 2 for speculation. Go ahead.  
 3 THE WITNESS: To conclusively  
 4 formulate a scientific opinion, yes, you  
 5 would. Absolutely.  
 6 MR. LITZENBURG: Okay. Time to  
 7 break.  
 8 THE VIDEOGRAPHER: Time now is  
 9 1:59. We are going off the record.  
 10 (A brief recess was taken.)  
 11 THE VIDEOGRAPHER: Time now is  
 12 2:13. We are back on the record.  
 13 BY MR. LITZENBURG:  
 14 Q. Dr. Fleming, do you hold an opinion  
 15 that any extrinsic factor is responsible --  
 16 partially responsible for the rise in lymphoma  
 17 over the last 20 years?  
 18 MR. JOHNSTON: Objection. Vague.  
 19 Calls for speculation.  
 20 THE WITNESS: Hepatitis C is an  
 21 extrinsic factor. It affects a fair  
 22 number of the world's population and,  
 23 amongst other things, it increases the  
 24 risk for NHL.  
 25 BY MR. LITZENBURG:

Page 225

1 Q. Any chemicals?  
 2 MR. JOHNSTON: Objection. Vague.  
 3 THE WITNESS: There are recent  
 4 reports from the Agricultural Health Study  
 5 looking at a variety of pesticides, and  
 6 they are finding statistically significant  
 7 associations.  
 8 I can tell you that this has been  
 9 found for lindane, permethrin, diazinon,  
 10 and Tribufos, in addition to DDT.  
 11 BY MR. LITZENBURG:  
 12 Q. And glyphosate; right?  
 13 A. Glyphosate is not on this list.  
 14 Q. Conveniently, but it's been found in  
 15 multiple publications to have statistically  
 16 significant increase; right?  
 17 A. This is the updated study focusing  
 18 on NHL on the AHS cohort that does not talk about  
 19 glyphosate in any way, shape, or form.  
 20 Q. So what information does it give us?  
 21 A. Your --  
 22 MR. JOHNSTON: Objection. Vague.  
 23 THE WITNESS: Your question was,  
 24 did I believe anything caused NHL. My  
 25 answer -- extrinsic factors. My answer

Page 226	Page 228
<p>1 was, yes, I do. Hepatitis C.  2 Your next question was, do you  3 know of any chemicals? My answer was yes,  4 and I have listed five of them.  5 BY MR. LITZENBURG:  6 Q. You --  7 A. I'm sorry. I don't understand your  8 question.  9 Q. Before you -- so hepatitis C and  10 those five pesticides are the only extrinsic  11 factors you know of that are responsible for the  12 rise?  13 MR. JOHNSTON: Objection.  14 Misstates his testimony.  15 BY MR. LITZENBURG:  16 Q. Anything else?  17 A. No. Those are the only ones that --  18 that we've discussed so far. Those are the only  19 ones that I've put in my report.  20 Q. Okay. Well, what else is there?  21 MR. JOHNSTON: Objection. Asked  22 and answered.  23 THE WITNESS: Well, there's --  24 there's --  25 MR. JOHNSTON: Go ahead.</p>	<p>1 rhinitis is cancer protective essentially; is  2 that right?  3 A. I'm sorry. I misunderstood your  4 question. I thought you said that modulated NHL,  5 that influenced NHL. I did not -- I did not -- I  6 misunderstood your question.  7 Q. Before you read that one 2014  8 article listing those other pesticides, were you  9 aware of any chemicals that caused non-Hodgkin  10 lymphoma?  11 A. That were definitively studied in a  12 large cohort, no.  13 Q. Okay. But you only need that one  14 paper to convince you that those four pesticides  15 cause non-Hodgkin lymphoma?  16 MR. JOHNSTON: Objection.  17 Misstates his testimony.  18 THE WITNESS: When you have a  19 prospective cohort study that's of  20 sufficient size to control for a variety  21 of different exposures, as we see in  22 agriculture, this type of data is vastly  23 superior to the hypothesis-generating  24 ideas that may come out of smaller  25 case-control studies.</p>
Page 227	Page 229
<p>1 THE WITNESS: There's other  2 factors in the case. We discussed the  3 manuscript. We discussed earlier looking  4 at the incidence of NHL in patients with  5 allergic rhinitis. This would be Hofmann,  6 et al. 2005.  7 Again, this is the cohort study  8 where they found a significant reduction  9 in NHL in farmers and spouses with  10 allergic rhinitis. Almost a 40 percent  11 reduction.  12 The hazard ratio was 0.63 and the  13 confidence limits were 0.51 through 0.79,  14 and this is very interesting and shows the  15 complexity of this problem because we  16 typically associate inflammation and  17 increased immunity with increased cell  18 turnover in cancer.  19 And here we've got increased  20 immune function in the setting of allergic  21 rhinitis and a decrease risk of lymphoma.  22 BY MR. LITZENBURG:  23 Q. I just asked you to identify more  24 extrinsic factors that increased the incidence of  25 non-Hodgkin lymphoma, and you tell me that</p>	<p>1 BY MR. LITZENBURG:  2 Q. And that data hasn't been published  3 on glyphosate; right? That article that you're  4 referencing over and over again doesn't mention  5 glyphosate?  6 A. It --  7 Q. It may gather data on glyphosate,  8 right, but it hasn't been published?  9 MR. JOHNSTON: Objection.  10 THE WITNESS: It --  11 MR. JOHNSTON: Compound and  12 vague. Go ahead.  13 THE WITNESS: The original data  14 was published. It showed no increased  15 risk. The manuscript is De Roos 2005.  16 Follow-up on that has not been published  17 and -- to the best of my knowledge.  18 BY MR. LITZENBURG:  19 Q. Your testimony is De Roos 2005 did  20 not find increased risk associated with  21 glyphosate?  22 A. Correct.  23 Q. Okay. Well, how do you define  24 "statistical significance"?  25 A. In science in general, it is defined</p>

<p style="text-align: right;">Page 230</p> <p>1 typically by the 95 percent confidence limit.</p> <p>2 Q. Okay. So one year ago you were not</p> <p>3 aware of any chemicals that could cause</p> <p>4 non-Hodgkin lymphoma. Today you're aware of</p> <p>5 four, and they all come from the same article; is</p> <p>6 that accurate?</p> <p>7 A. They --</p> <p>8 MR. JOHNSTON: Objection.</p> <p>9 THE WITNESS: -- do not come from</p> <p>10 the same article. No. I mean, the</p> <p>11 glyphosate conclusions come from one</p> <p>12 article, the allergic rhinitis comes from</p> <p>13 a second article, and the pesticides come</p> <p>14 from yet a third article.</p> <p>15 BY MR. LITZENBURG:</p> <p>16 Q. Allergic rhinitis doesn't cause</p> <p>17 non-Hodgkin lymphoma. I thought you just told me</p> <p>18 that.</p> <p>19 A. It modulates the risk for</p> <p>20 non-Hodgkin lymphoma.</p> <p>21 Q. I don't know how to use the word</p> <p>22 "modulate." You think I said that? You think</p> <p>23 you're answering my question?</p> <p>24 MR. JOHNSTON: Maybe your</p> <p>25 questions are bad, counsel.</p>	<p style="text-align: right;">Page 232</p> <p>1 A. Sorry. Just a second here.</p> <p>2 Yes.</p> <p>3 Q. Okay. It says "The observed plateau</p> <p>4 in NHL instance." That's the sentence I'm</p> <p>5 concerning myself with.</p> <p>6 Have you found that?</p> <p>7 A. Yes, I have.</p> <p>8 Q. Okay. Now, I'm interested in you</p> <p>9 explaining the subparts to me.</p> <p>10 You proffer possible decrease in the</p> <p>11 presence of extrinsic factors that previously</p> <p>12 increased the risk of NHL.</p> <p>13 A. That is one possibility, yes.</p> <p>14 Q. Well, then we'll parse it out.</p> <p>15 What are extrinsic factors that</p> <p>16 previously increased the risk of NHL that</p> <p>17 decreased over this period?</p> <p>18 A. This paragraph and this hypothetical</p> <p>19 construct in this paragraph are not dependent on</p> <p>20 any specifically identified. This is -- this is</p> <p>21 a theoretical analysis for the plateau and</p> <p>22 decline in 2004, and it may be caused -- this is</p> <p>23 -- this is just looking at the possibilities in a</p> <p>24 general sense and does not refer to any</p> <p>25 particular extrinsic factor.</p>
<p style="text-align: right;">Page 231</p> <p>1 THE WITNESS: I'd be happy to</p> <p>2 have you restate your question.</p> <p>3 BY MR. LITZENBURG:</p> <p>4 Q. A year ago today you were not aware</p> <p>5 of any chemicals that caused non-Hodgkin</p> <p>6 lymphoma; is that correct?</p> <p>7 A. I can't say with precision when I</p> <p>8 became aware of this other manuscript.</p> <p>9 Q. Did you read that manuscript in the</p> <p>10 abstract, or did you read it as part of your work</p> <p>11 for this case?</p> <p>12 A. I certainly reviewed it as part of</p> <p>13 my work for this case, but the first time it came</p> <p>14 to my attention, I can't put a time and date on</p> <p>15 that. So your assertion that one year ago I did</p> <p>16 not know is not something I can -- I can agree to</p> <p>17 or refute.</p> <p>18 Q. All --</p> <p>19 A. I simply don't know.</p> <p>20 Q. All four chemicals that you know to</p> <p>21 cause non-Hodgkin lymphoma are in that one</p> <p>22 article; right?</p> <p>23 A. That is a fair assessment, yes.</p> <p>24 Q. All right. Page 4 of your report,</p> <p>25 look at the bottom paragraph, please.</p>	<p style="text-align: right;">Page 233</p> <p>1 Q. Do you know of any extrinsic factors</p> <p>2 that previously increased the risk of NHL that</p> <p>3 decreased over this period?</p> <p>4 A. Prior to the publication of the AHS</p> <p>5 data looking at the pesticides, no.</p> <p>6 Q. All right. And it postulates it's</p> <p>7 possible the introduction of new external factors</p> <p>8 in some way protect against the development of</p> <p>9 NHL.</p> <p>10 Do you know any such examples?</p> <p>11 A. Again, this wasn't a summary of</p> <p>12 identified external factors. This was -- this</p> <p>13 was a theoretical construct that a decrease in</p> <p>14 the presence of extrinsic factors and</p> <p>15 introduction of some new factor that in some way</p> <p>16 protects hypothetically or a combination of both</p> <p>17 because both could be happening at the same time.</p> <p>18 Q. That wasn't my question.</p> <p>19 Can you provide one example of</p> <p>20 external factors that protect against the</p> <p>21 development of NHL that decreased over this time</p> <p>22 period?</p> <p>23 MR. JOHNSTON: Objection. Asked</p> <p>24 and answered.</p> <p>25 THE WITNESS: Allergic rhinitis.</p>

<p style="text-align: right;">Page 234</p> <p>1 BY MR. LITZENBURG:  2 Q. That -- that decreased from '74 to  3 2014?  4 MR. JOHNSTON: Objection. Vague.  5 I think you --  6 THE WITNESS: I miss -- sorry. I  7 misunderstood your question.  8 That answer would not be  9 applicable to the time frame you  10 mentioned.  11 BY MR. LITZENBURG:  12 Q. Okay. Can you list any examples?  13 MR. JOHNSTON: Objection. Vague  14 and misstates his testimony. He's already  15 answered that question.  16 THE WITNESS: This is a  17 hypothetic -- this is a hypothetical  18 construct about potential explanations for  19 why this may have occurred.  20 There is no data to support any  21 of those three possibilities, and it was  22 not meant in reference to any particular  23 factor or factors. That's why it was  24 posed in the hypothetical.  25 BY MR. LITZENBURG:</p>	<p style="text-align: right;">Page 236</p> <p>1 MR. JOHNSTON: Objection. Vague.  2 THE WITNESS: Yeah. You've  3 actually -- I have -- the combination is  4 the two together.  5 BY MR. LITZENBURG:  6 Q. Uh-huh. How would this work in  7 combination to decrease the incidence of  8 non-Hodgkin lymphoma?  9 A. Chemical Factor A hypothetically  10 causes NHL. Chemical Factor B gets introduced  11 and in some way mitigates the pathways  12 responsible for lymphoma development, and  13 lymphoma development declines. This is a  14 hypothetical.  15 Another hypothetical would be that  16 Factor A is an external factor causing NHL and  17 Factor B comes along and the use of Factor B  18 leads to the diminished use of Factor A and,  19 consequently, NHL incidence fall.  20 The third possibility is that both  21 of those things happen.  22 The fourth possibility that nothing  23 happens would be, you know, not worth postulating  24 because something is causing the incidence to go  25 down.</p>
<p style="text-align: right;">Page 235</p> <p>1 Q. Can you list any examples?  2 MR. JOHNSTON: Objection. Asked  3 and answered.  4 BY MR. LITZENBURG:  5 Q. Is that a yes or no?  6 A. For the years --  7 Q. Yeah.  8 A. -- that this is referring to, 1975  9 through 2003, where there was a significant  10 increase, I was not aware of any data that  11 identifies any specific extrinsic factors, as I  12 have told you --  13 Q. Okay.  14 A. -- for the initial rise or the  15 subsequent decline in the incidence.  16 Q. You can't name a single possibility?  17 A. It's unknown.  18 MR. JOHNSTON: Objection. Asked  19 and answered.  20 BY MR. LITZENBURG:  21 Q. What about these three points? The  22 decrease in extrinsic factors, the introduction  23 of new external factors that protect work in  24 combination with each other to lead to a decline  25 in NHL?</p>	<p style="text-align: right;">Page 237</p> <p>1 Q. And you can't give me one example of  2 a cancer protective chemical in the context of  3 NHL?  4 MR. JOHNSTON: Objection.  5 Misstates his testimony. Calls for  6 speculation.  7 THE WITNESS: Again, beyond the  8 focus of my report.  9 BY MR. LITZENBURG:  10 Q. Okay. On page 5 at the bottom, it  11 says:  12 "Although NHL does not run in  13 families, if any first degree relative has any  14 form of blood cancer, this increases overall risk  15 of NHL by about twofold."  16 Can you explain that sentence to me?  17 A. The sentence, I think, is  18 self-explanatory. If you have a -- if you ask  19 the question statistically, you know, does NHL  20 run in families? Is there a certain percent  21 elevated risk if a parent or an aunt or an uncle  22 has it? The answer is no if you ask that  23 question that way in the epidemiologic  24 literature. Okay?  25 However, if you ask the question,</p>



<p style="text-align: right;">Page 238</p> <p>1 have you any first degree relative with any type 2 of blood cancer? A different question. This 3 will increase the risk of NHL by twofold. 4 Q. Do you believe that to demonstrate 5 causality? 6 A. This merely demonstrates an 7 association. It doesn't -- it doesn't 8 necessarily. There could be -- it could be 9 genetic susceptibility with multiple causes that 10 could be different from individual to individual. 11 This does not address any of those questions. 12 Q. Is there an association between 13 glyphosate use and non-Hodgkin lymphoma? 14 A. To my reading of the literature, 15 there is no credible scientific evidence that 16 establishes a relationship between glyphosate use 17 and NHL. 18 Q. Why do we -- why are meta-analysis 19 done? 20 A. I can't give you an expert opinion 21 as to the answer to that question. I suggest you 22 speak with an epidemiologist. 23 Q. Do you even know what the numerical 24 results of any of the meta-analyses in this case 25 are?</p>	<p style="text-align: right;">Page 240</p> <p>1 basis of my opinion. They are not 2 epidemiologic studies, so they cannot be 3 compared to any epidemiologic study. 4 BY MR. LITZENBURG: 5 Q. Do you know if there's a published 6 meta risk that reaches statistical significance? 7 A. I -- 8 MR. JOHNSTON: Objection. Vague. 9 THE WITNESS: Again, 10 meta-analyses by definition involve 11 analyzing retrospective studies. With the 12 existence of a prospective study, 13 retrospective studies are certainly still 14 useful to generate further hypotheses, but 15 they -- they can't really, you know, 16 address the association question. 17 BY MR. LITZENBURG: 18 Q. Do you -- is it your opinion that 19 two-thirds of cancers have no external 20 contributing factor? 21 A. There's a very interesting article 22 published in Nature by Tomasetti, et al., and he 23 is an expert -- he and Burt Vogelstein, the 24 senior author -- are experts in colon cancer and 25 colon stem cells. They have looked at this stem</p>
<p style="text-align: right;">Page 239</p> <p>1 A. I really did not review and look at 2 meta-analysis in any detail. 3 I will say that the reason 4 historically in medicine people have done it is 5 they've had very small numbers of patients to 6 deal with, and they wonder if by combining the 7 number of patients and trying to analyze it as a 8 group, as challenging as that is statistically, 9 whether that will shed any new light on the 10 problem. 11 My own personal experience with 12 meta-analysis in clinical medicine, not 13 epidemiology, is that it is, you know, not 14 typically not very useful, but if it is, it's 15 hypothesis-generating and you need to have a 16 prospective trial to address your question and 17 get your data. 18 Q. Is it -- is a meta-analysis less 19 useful than Figures 4 and 5? 20 MR. JOHNSTON: Objection. Calls 21 for speculation. 22 THE WITNESS: Apples and oranges. 23 Figures 4 and 5 are illustrative of the 24 results and consistent with the results 25 from the prospective study that forms the</p>	<p style="text-align: right;">Page 241</p> <p>1 cell question using hundreds of databases 2 throughout the country, trying to make sense of 3 what we actually know happens with exposures in 4 cancer. 5 In other words, there's genetic 6 factors, there's environmental factors, and then 7 there's unknown, and the unknown we have always 8 known in cancer medicine is the largest group. 9 Q. Is it your opinion that two-thirds 10 of cancers have no external contributing factor? 11 That was the question. Are you able 12 to answer it? 13 A. Random mutations are likely to be 14 the main reason for the development of about 15 two-thirds of cancers rather than well-defined 16 genetics and exposures, yes. 17 Q. So -- 18 A. That's the current state of the art. 19 Q. -- two-thirds of cancers you believe 20 to be unifactorial and just be genetic bad luck? 21 A. I don't believe any cancers to be 22 unifactorial. 23 Q. Okay. So are you telling me those 24 two-thirds that what? The gene mutation was the 25 primary factor, the most important factor, the</p>



Page 242	Page 244
<p>1 initiator? What are -- what are you trying to 2 tell me?</p> <p>3 A. I am saying --</p> <p>4 MR. JOHNSTON: Objection. 5 Compound. Calls for speculation. 6 THE WITNESS: Right. 7 MR. JOHNSTON: Vague. 8 THE WITNESS: Could you unpack 9 your question?</p> <p>10 BY MR. LITZENBURG:</p> <p>11 Q. Yeah. In 66 percent of cancer 12 cases, you're saying that external factors played 13 no role or are you saying --</p> <p>14 A. No.</p> <p>15 Q. You're saying --</p> <p>16 A. No, that's not correct.</p> <p>17 Q. -- that genetic mutation would be 18 initiating?</p> <p>19 A. No, that's not correct.</p> <p>20 Q. What are you saying?</p> <p>21 A. I am referring to a paper by 22 Tomasetti, et al., that's in my Materials 23 Considered List that indicates of the mutations 24 that are involved in cancer, about two-thirds of 25 them have nothing to do with the initial</p>	<p>1 were provided to them by IARC to actually 2 interrogate, you know, stem cell turnover data in 3 order to -- to draw their conclusions.</p> <p>4 Q. And you have no idea how that 5 proportion translates to NHL?</p> <p>6 A. No, I wouldn't be able to say in the 7 case of NHL at all how that. That's -- that's 8 sort of -- that's a global number.</p> <p>9 Q. Okay. Can we agree these five 10 factors on page 5 -- inherited genetic disorders, 11 autoimmune disease, immunosuppressive drugs -- 12 well, that's three factors --</p> <p>13 A. Uh-huh.</p> <p>14 Q. -- are not accounted for in your 15 Figure 5 in any way?</p> <p>16 A. They -- I disagree with that 17 statement.</p> <p>18 They are obviously included in this 19 global data set because the data set is 20 all-comers with NHL, and if there's a transplant 21 recipient that's immunosuppressed, there's 22 somebody with hepatitis C that gets NHL, they 23 would all be part of that general population.</p> <p>24 Q. What's the difference between a 25 cohort study and a case-control?</p>
Page 243	Page 245
<p>1 underlying predisposition. In other words, 2 mutations that you were born with, mutations that 3 you carried over from your parents. So that's 4 the family genetics history.</p> <p>5 The other piece is exposure, whether 6 it be cigarette smoke or alcohol in combination 7 with cigarette smoke or whatever you like, you 8 know, together really only represent about a 9 third of it.</p> <p>10 The other mutations in cancer 11 randomly occur and that's because stem cells 12 continually divide to replenish our skin, 13 replenish our gut, replenish our lung epithelial, 14 and errors occur randomly, and as we get older, 15 our ability to repair those errors decreases.</p> <p>16 This random accumulation of 17 mutations is probably what's responsible about 18 for about two-thirds of cancer. Approximately a 19 third is primarily due to a combination of 20 genetics and environment.</p> <p>21 Q. You're talking about cancer overall?</p> <p>22 A. Yes, in the broadest sense. This is 23 not applicable to any particular subset, but if 24 you'll look at that -- that manuscript, you'll 25 see they have looked at -- at registries that</p>	<p>1 A. Well, in my view, the single most 2 important aspect -- there are several 3 differences. The most important aspect is one is 4 a retrospective study which looks back at 5 historical events, which bring in all sorts of 6 limitations and biases, and the other one 7 identifies a group of individuals who are 8 potentially at risk for a certain outcome.</p> <p>9 It could be heart disease. It could 10 be cancer. It could be NHL. And, in fact, the 11 prospective study only enrolls people who have 12 not yet developed the disease in question so they 13 can look at the development of disease over time 14 in that cohort.</p> <p>15 There are four components they 16 analyze in a cohort study. Exposed individuals 17 who develop the disease, exposed individuals who 18 do not develop the disease, unexposed individuals 19 who develop the disease, and unexposed 20 individuals who do not develop the disease. This 21 gives a great deal of information into the 22 natural history of that disease and that 23 well-defined patient population.</p> <p>24 In contrast, case-control study is 25 retrospective. A case-control study by one form</p>

<p style="text-align: right;">Page 246</p> <p>1 or another identifies individuals with a  2 particular disease, such as NHL, and then says  3 we're going to get age matched, sex matched,  4 contemporary controls, and we're going to now  5 question them as to their exposure to a drug,  6 their exposure to a chemical, what have you.  7 So they're in this situation where  8 they need to, in the case-control for NHL,  9 identify NHL, individuals with NHL and ask them  10 to participate in survey. The basis of which is  11 we're trying to collect information on what  12 caused your cancer. What can you tell us from  13 your memory?  14 In contrast, the prospective studies  15 essentially start with everybody in the same  16 place and say, okay, we need to keep very close  17 track of what's happening in the future. We're  18 going to be asking you questions realtime, you  19 know, over -- over a period of time and ask you  20 to, you know, update your answers as life and  21 circumstances change.  22 So those are the fundamental  23 differences.  24 Q. Okay. What are the biases that each  25 are susceptible to?</p>	<p style="text-align: right;">Page 248</p> <p>1 Q. And --  2 A. But it's the retrospective nature  3 that in my mind is the -- is the critical  4 component. In clinical medicine, we do  5 retrospective studies all the time to generate  6 hypotheses, and then we test them prospectively.  7 The FDA will never give you a drug approval  8 without a prospective trial. It simply isn't  9 done.  10 Q. Doctor, would it be ethical to do a  11 prospective clinical trial on whether Roundup  12 gives you cancer?  13 MR. JOHNSTON: Objection.  14 Misstates his testimony.  15 THE WITNESS: Yes. There --  16 there is no need to do a prospective trial  17 where patients are assigned various  18 groups. You simply design a cohort in  19 which there are different individuals with  20 different exposures and then analyze the  21 data.  22 BY MR. LITZENBURG:  23 Q. Would you agree a randomized  24 clinical trial is the gold standard and that's  25 what you're referring to when you say a new drug</p>
<p style="text-align: right;">Page 247</p> <p>1 MR. JOHNSTON: Objection.  2 Compound.  3 BY MR. LITZENBURG:  4 Q. What is the biases that case-control  5 studies are susceptible to?  6 A. Case-control studies are susceptible  7 primarily to recall bias.  8 Q. Anything else?  9 A. Meaning you're being asked to  10 estimate an exposure to something in the past,  11 and this is a challenging thing to do.  12 Q. Anything else?  13 A. Well, that, you know, that is --  14 that is one of the, you know, main important one  15 -- that's one of the most important biases in it.  16 And you've also -- you've also  17 selected people who are, you know, now have the  18 disease and are wanting to participate in such a  19 study, and this may be a different cross-section  20 of the population than if you study people  21 prospectively who didn't have the disease.  22 So people's motivation for being in  23 the study, for staying in the study, for  24 participating in it, and the same thing can be  25 said of control participants in a study.</p>	<p style="text-align: right;">Page 249</p> <p>1 gets approved?  2 A. You cannot do randomized studies in  3 a -- in a population of individuals unless  4 there's a clear potential benefit to that study,  5 and there would be no potential benefit to a  6 study that looked at extrinsic factors that  7 caused cancer. Whereas, with treatment there is.  8 Q. You pretty much just considered  9 temporality when you were deciding whether  10 there's a causality fit between this agent and  11 this disease; is that fair?  12 MR. JOHNSTON: Objection. Vague.  13 BY MR. LITZENBURG:  14 Q. In terms of the Bradford Hill  15 criteria, you find that it found temporality; is  16 that fair?  17 A. In terms of the Bradford Hill  18 criteria, I was able to say pretty definitively  19 that the marked steep increase in the incidence  20 of NHL between 1975 and 1985, the etiologic agent  21 for this has not been identified. But I said  22 assuming approximately a 10-year latency period  23 and an introduction of glyphosate in 1974, it's  24 reasonable to conclude most, if not all, of the  25 cases in that 10-year period were not due to</p>

Page 250

1 glyphosate.  
 2 Q. It all depends on that presumption  
 3 of a 10-year latency period; right?  
 4 MR. JOHNSTON: Objection. Vague  
 5 as to "all."  
 6 THE WITNESS: Yes. It -- it  
 7 doesn't exclude the possibility of a given  
 8 individual having a shorter latency  
 9 period, no. But there is a general  
 10 feeling, I believe, that 10 years is a --  
 11 is a reasonable time period.  
 12 There's exceptions on both ends  
 13 of that, but if you're looking at the US  
 14 population over a 10-year period, the bulk  
 15 of the patients are not going to be  
 16 exceptions. They're going to cluster at  
 17 the averages.  
 18 BY MR. LITZENBURG:  
 19 Q. What are the other Bradford Hill  
 20 criteria that you considered in this report?  
 21 A. The presence of, you know, a  
 22 dose-response.  
 23 Q. Anything else?  
 24 A. Biological gradient. Those were the  
 25 two I particularly decided to, you know, hone in

Page 251

1 on because those were relationships that I felt  
 2 hadn't previously been fully addressed.  
 3 Q. Other than the two you particularly  
 4 chose to hone in on, did you consider any of the  
 5 other Bradford Hill criteria?  
 6 A. I'd like to take a look at the list  
 7 to refresh my memory in order to discuss that  
 8 further.  
 9 Q. Can you name two other Bradford Hill  
 10 criteria?  
 11 A. I'm aware of the concepts. I'm not  
 12 aware of the verbiage.  
 13 Q. Can you name one that you considered  
 14 in this case in addition to what you've already  
 15 told us?  
 16 A. My goal was not to consider Bradford  
 17 Hill criteria. My goal was to consider the  
 18 temporal aspect and the dose-response aspect, as  
 19 I have in the -- in the data I showed you in this  
 20 report, and I linked those two particular ones to  
 21 Bradford Hill. I did not set out with a goal of  
 22 trying to meet all nine Bradford Hill criteria --  
 23 Q. What's a --  
 24 A. -- as part of this.  
 25 Q. What's a confounding factor?

Page 252

1 A. A confounding factor is one that, if  
 2 taken into consideration, would no longer --  
 3 would actually be the real explanation for a  
 4 relationship between two things. So if you've  
 5 got, you know, if A and B look to be closely  
 6 associated, but C is a confounding factor which  
 7 could give a similar result, the result may be  
 8 due to C.  
 9 So as Bradford Hill himself said,  
 10 the important aspect of this is to make sure that  
 11 when you're comparing A to B, that some  
 12 confounding factor is not responsible for any  
 13 observed difference. That's basically his  
 14 central tenet.  
 15 Q. How did you do that in Figure 5?  
 16 MR. JOHNSTON: Objection.  
 17 Misrepresents what Figure 5 is.  
 18 THE WITNESS: Yeah. Figure 5 is  
 19 not an epidemiologic study. Figure 5 is  
 20 not a statistical study. Figure 5 is a  
 21 snapshot over time of glyphosate usage and  
 22 NHL incidence.  
 23 This was constructed to address  
 24 the question of whether glyphosate usage  
 25 on a regional basis was in any way

Page 253

1 associated with NHL incidence. Full stop.  
 2 No other -- no other conclusions can be,  
 3 you know, addressed from this, and this  
 4 was basically, you know, demonstrated that  
 5 the results of the AHS study were, in  
 6 fact, correct.  
 7 I had no idea of what Figure 5  
 8 was going to look like until I plotted  
 9 them and looked at them. It could have  
 10 easily been the other way around.  
 11 I'm showing it to you, you know,  
 12 because I chose to analyze that data and,  
 13 in fact, I chose to analyze this data in  
 14 this way, and you were not seeing expected  
 15 patterns you would if there was a clear  
 16 association of glyphosate and NHL at the  
 17 county level when correlated with usage --  
 18 BY MR. LITZENBURG:  
 19 Q. Do --  
 20 A. -- in very basic ways.  
 21 And the confounding factors that may  
 22 exist are certainly there, but they would be  
 23 somewhat mitigated that this is a country-wide  
 24 survey. So if there was a confounding factor in  
 25 the Northeast in 10 percent of the patients and

Page 254

1 in the Southwest in 2 percent of the patients, at  
 2 the end of the day, the patterns wouldn't really  
 3 change very much.  
 4 Q. Confounding factors are all  
 5 geographic?  
 6 MR. JOHNSTON: Objection.  
 7 Misstates his testimony.  
 8 THE WITNESS: I did not make that  
 9 statement.  
 10 BY MR. LITZENBURG:  
 11 Q. Okay. Did you run this model for  
 12 any latency assumption other than this 10-year,  
 13 eight to 12-year?  
 14 A. There is relatively little hard data  
 15 on the latency of NHL, as we discussed at length  
 16 earlier today. I used the best available data to  
 17 look at what people seem to think is a reasonable  
 18 time period. This has been suggested in the  
 19 literature in many studies.  
 20 Q. That's not what I asked.  
 21 You said you didn't know what this  
 22 would look like before you ran it.  
 23 Did you also run it for a five-year  
 24 assumption and a 15-year assumption? Anything  
 25 other than your 10-year assumption?

Page 255

1 A. It's not a 10-year assumption. If  
 2 you look at it, you'll see it's an eight to  
 3 12-year assumption.  
 4 Q. Did you run it with any other  
 5 assumption?  
 6 A. I looked at a couple of different  
 7 dates, dates for glyphosate exposure. And as I  
 8 told you earlier, the intensity of the pattern  
 9 varied somewhat, but the distribution did not.  
 10 So I looked at that quite extensively.  
 11 I don't believe there were more than  
 12 perhaps one more choice of time frame in the --  
 13 in the GeoViewer data for the NCI.  
 14 Q. Okay.  
 15 A. So I chose the most up-to-date one.  
 16 I was, you know, just very simple  
 17 straightforward. What's our most recent data on  
 18 NHL incidence by county? Assume 10-year latency.  
 19 Okay. What's glyphosate look like? Fine.  
 20 I was interested to see how  
 21 glyphosate, you know, changed over time, and I  
 22 clicked on a great number of those years. And as  
 23 I've discussed earlier, the data was essentially  
 24 the same in terms of distribution, although the  
 25 dose did change over time.

Page 256

1 So I was, therefore, you know,  
 2 pretty confident that if there was going to be an  
 3 association, the geographic association would not  
 4 dramatically change because the glyphosate data  
 5 didn't.  
 6 Q. What other years did you look at for  
 7 this statement?  
 8 A. For which one now?  
 9 Q. What other years did you look at  
 10 before you picked 2000?  
 11 MR. JOHNSTON: Objection. Asked  
 12 and answered. Misstates his testimony.  
 13 THE WITNESS: I looked several  
 14 years past 2000 and then I looked several  
 15 years below 2000, including the earliest  
 16 point in which the data was available. I  
 17 can't recall that now.  
 18 If you'd like to bring up the web  
 19 page, we can answer your question.  
 20 BY MR. LITZENBURG:  
 21 Q. Do you know if it was the '40s or  
 22 the '90s? Do you have a ballpark?  
 23 MR. JOHNSTON: Objection. Asked  
 24 and answered.  
 25 THE WITNESS: I would say it was

Page 257

1 the 1990s.  
 2 BY MR. LITZENBURG:  
 3 Q. Do you know if it went back to the  
 4 use -- did you look at the decade following the  
 5 introduction of Roundup to the market?  
 6 A. This mapping data that we're talking  
 7 about here certainly does not go back to -- to  
 8 the 1970s.  
 9 Q. Did you look for any data that did?  
 10 A. I looked at data that was in a  
 11 well-organized data set that was publicly  
 12 available so that anybody could independently  
 13 bring up the data I'm showing you in Figure 4 and  
 14 Figure 5 on these websites, create it themselves  
 15 and print it out, print it out on as large a  
 16 piece of paper as they liked, project it on a  
 17 wall or a screen, and spend as much time as they  
 18 liked looking at it in as much of a granular  
 19 detail as they would like. So this data is  
 20 available to you, and you can go back and have a  
 21 look at that.  
 22 I represent once again -- and I hope  
 23 for the final time -- that I looked at the most  
 24 recent data for incidents by county. It is 2008  
 25 to 2012. I'm interested in contemporary NHL



Page 258	Page 260
<p>1 incidents, and then I backed off by, you know, 2 till -- till the year 2000.</p> <p>3 Q. Is it your opinion that glyphosate 4 doesn't cause non-Hodgkin lymphoma?</p> <p>5 A. My --</p> <p>6 MR. JOHNSTON: Objection. Asked 7 and answered.</p> <p>8 THE WITNESS: My opinion is there 9 is no credible scientific evidence that 10 shows an association between glyphosate 11 and NHL.</p> <p>12 BY MR. LITZENBURG:</p> <p>13 Q. So it's better characterized as you 14 simply don't know?</p> <p>15 A. There is --</p> <p>16 MR. JOHNSTON: Objection. 17 Misstates his testimony.</p> <p>18 THE WITNESS: Yeah. There is no 19 scientific evidence today that supports an 20 association between glyphosate and NHL in 21 what I consider to be a scientifically 22 credible manner.</p> <p>23 BY MR. LITZENBURG:</p> <p>24 Q. Do you have an opinion as to whether 25 it increases or decreases the risk of non-Hodgkin</p>	<p>1 (The reporter read the record on 2 page 259 lines 10-12.)</p> <p>3 MR. JOHNSTON: Same objections. 4 THE WITNESS: Which population? 5 That is a very vague statement. I can't 6 address that.</p> <p>7 BY MR. LITZENBURG:</p> <p>8 Q. Do you have an opinion that 9 glyphosate or Roundup exposure increases the risk 10 of non-Hodgkin lymphoma in any population?</p> <p>11 A. I am not aware of any credible 12 scientific evidence linking glyphosate use to the 13 development of NHL.</p> <p>14 Q. Why did you ask me which population? 15 A. Your first question sound like -- 16 sounded like you were specifying something and 17 didn't complete it. Your second one was an 18 overall comment on global NHL.</p> <p>19 Q. Okay.</p> <p>20 A. So I did not understand what the 21 term "population" referred to. Was that a 22 population in California? A population in Iowa? 23 The population of the cohort study? I asked you 24 to clarify that question. Thank you.</p> <p>25 Q. And you agree that you would be</p>
Page 259	Page 261
<p>1 lymphoma?</p> <p>2 MR. JOHNSTON: Objection. Vague 3 as to "it." His opinion is stated in his 4 report. Asked and answered. You're 5 harassing the witness at this point.</p> <p>6 Go ahead. You can answer it.</p> <p>7 THE WITNESS: Would you like to 8 rephrase the question?</p> <p>9 BY MR. LITZENBURG:</p> <p>10 Q. No. Do you have an opinion whether 11 Roundup or glyphosate exposure increases the risk 12 in a population for non-Hodgkin lymphoma?</p> <p>13 MR. JOHNSTON: His opinion is 14 stated in his report. Vague. Asked and 15 answered. Argumentative and harassing. 16 Go ahead.</p> <p>17 THE WITNESS: Again, I'd like you 18 to restate the question one more time 19 because there were a couple of words in it 20 that were different from the last time you 21 asked it, and I want to be sure I can 22 address every component of it.</p> <p>23 BY MR. LITZENBURG:</p> <p>24 Q. Would the court reporter read it? 25 A. Sorry?</p>	<p>1 comfortable at a meeting with other oncologists 2 discussing your recommendation for parents to go 3 ahead and continue using glyphosate around 4 children with NHL?</p> <p>5 MR. JOHNSTON: I'm going to 6 object. You've asked that argumentative 7 and abusive and disrespectful question 8 three times today. I don't know why you 9 need to ask it a third time, but it's very 10 disrespectful and argumentative and 11 demonstrates how you intend to conduct 12 these depositions.</p> <p>13 You can answer it again if you'd 14 like to.</p> <p>15 THE WITNESS: I have no opinion 16 as to whether any individual should 17 continue or discontinue their use of 18 glyphosate. I have no opinion on that 19 matter.</p> <p>20 BY MR. LITZENBURG:</p> <p>21 Q. The only thing you have an opinion 22 on whether they should expose themselves or not 23 expose themselves to are four chemicals in that 24 one article about pesticides?</p> <p>25 MR. JOHNSTON: Objection. Goes</p>



Page 262

1 beyond the scope of his opinion in this  
 2 case.  
 3 THE WITNESS: I am not in a  
 4 position here to provide expert testimony  
 5 on the details of insecticide exposure and  
 6 when it should and shouldn't be  
 7 recommended.  
 8 BY MR. LITZENBURG:  
 9 Q. There are four things that you would  
 10 tell patients to modify their exposure to your  
 11 NHL patients, and they are those four pesticides  
 12 in that article; is that true?  
 13 MR. JOHNSTON: Objection.  
 14 Misstates his testimony. Speculative.  
 15 Incomplete hypothetical.  
 16 THE WITNESS: I would explain to  
 17 patients that there was literature  
 18 implicating five pesticides that had been  
 19 recently published as part of a robust  
 20 prospective cohort study, and if they were  
 21 interested in more information about  
 22 these, you know, compounds, I would -- I  
 23 would discuss it further but...  
 24 BY MR. LITZENBURG:  
 25 Q. Have you had that discussion before?

Page 263

1 A. I have not actually been -- I have  
 2 mentioned to people that there may be  
 3 agricultural-related products. I mean, this has  
 4 been known, as we discussed earlier, since at  
 5 least the 1970s it increased the risk in farmers.  
 6 And so if I'm talking to a farmer  
 7 and he says, hey, doc, what do you know now? I  
 8 will absolutely tell him that.  
 9 If I am talking to someone who lives  
 10 in an urban environment and has no questions  
 11 about exposures, I am not going to list these and  
 12 tell them that they must at all costs rethink  
 13 their exposure to these compounds and their  
 14 family must as well. Because I don't believe the  
 15 association, while there, is strong enough to  
 16 make that kind of recommendation.  
 17 Q. You wouldn't tell those same  
 18 patients or that farmer about any of the  
 19 literature on Roundup?  
 20 MR. JOHNSTON: Objection. Asked  
 21 and answered.  
 22 THE WITNESS: I would tell a  
 23 patient in my office the same thing I'm  
 24 telling you today.  
 25 That in terms of glyphosate

Page 264

1 exposure and NHL, I do not believe there  
 2 is any credible scientific evidence  
 3 linking the two.  
 4 BY MR. LITZENBURG:  
 5 Q. What else do you do -- all right.  
 6 Take a five-minute break.  
 7 THE VIDEOGRAPHER: Time now is  
 8 2:54. We are going off the record.  
 9 (A brief recess was taken.)  
 10 THE VIDEOGRAPHER: The time now  
 11 is 3:06. We are back on the record.  
 12 BY MR. LITZENBURG:  
 13 Q. Dr. Fleming, one more question.  
 14 Is there anything that IARC has  
 15 classified one way or another that you've told  
 16 any of your patients about?  
 17 A. Absolutely. IARC classifies tobacco  
 18 as a Class 1 carcinogen. It also classifies  
 19 alcohol as that, and certainly I counsel my  
 20 patients who both smoke and consume alcohol to  
 21 the added effects of doing that.  
 22 Q. Okay. So --  
 23 A. It also -- if I may continue?  
 24 Q. Yeah.  
 25 A. There are a variety of

Page 265

1 chemotherapeutic agents -- cytotoxin,  
 2 Vincristine, etoposide, Busulfan, just to name a  
 3 few -- that are all classified as Level 1 human  
 4 carcinogens by IARC. And I discuss these --  
 5 these when applicable when treating patients with  
 6 great regularity.  
 7 Q. Okay. Alcohol, tobacco, chemo.  
 8 Anything else?  
 9 A. Those are the ones that absolutely  
 10 would be the great majority of things.  
 11 Occasionally a patient will, you know, make an  
 12 inquiry about something, a particular compound,  
 13 and if I don't readily know the answer, I'll say  
 14 I'll need to, you know, get back to you on that.  
 15 But, you know, my current answer is, it's not  
 16 ringing a bell, but next time we meet I'll have a  
 17 better answer for you. And I'll go look it up.  
 18 Q. Have any of those things been in  
 19 response to the IARC classification?  
 20 My guess is you don't tell your  
 21 patients about tobacco being a carcinogen because  
 22 IARC classified it as such; is that right?  
 23 That's a really poorly worded logic  
 24 question.  
 25 Have you begun telling any patients

Page 266

1 about anything you believe is carcinogenic as a  
 2 result of IARC making that classification?  
 3 A. Not recently that I can recall, no.  
 4 Q. Okay. And, again, what would you  
 5 need to know to determine whether glyphosate was  
 6 a contributing factor to the development of  
 7 somebody's non-Hodgkin lymphoma? What would you  
 8 need to know about that person?  
 9 A. I wouldn't need to know anything  
 10 about the person because you're asking a  
 11 case-specific causality question, and the general  
 12 causation question I should say the evidence is  
 13 very clear that there is no credible evidence  
 14 implicating glyphosate and NHL.  
 15 So discussing it in a granular form  
 16 with an individual patient at any length doesn't  
 17 seem to be productive.  
 18 MR. LITZENBURG: All right.  
 19 That's all I got.  
 20 MR. JOHNSTON: All right. Give  
 21 us a second. We may be done --  
 22 MR. LITZENBURG: Okay.  
 23 MR. JOHNSTON: -- but I want to  
 24 make sure.  
 25 THE VIDEOGRAPHER: Time now is

Page 267

1 3:09. We are going off the record.  
 2 (A brief recess was taken.)  
 3 THE VIDEOGRAPHER: Time now is  
 4 3:12. We are back on the record.  
 5 MR. JOHNSTON: We don't have any  
 6 questions on behalf of Monsanto. So I  
 7 assume this deposition is considered  
 8 closed at this point.  
 9 MR. LITZENBURG: We agree.  
 10 THE VIDEOGRAPHER: Time now is  
 11 3:12. This deposition has concluded.  
 12  
 13 (Deposition concluded at 3:12 p.m.)  
 14  
 15 \* \* \*  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

Page 268

1 - - - - -  
 2 E R R A T A  
 3 - - - - -  
 4 PAGE LINE CHANGE  
 5 \_\_\_\_\_  
 6 REASON: \_\_\_\_\_  
 7 \_\_\_\_\_  
 8 REASON: \_\_\_\_\_  
 9 \_\_\_\_\_  
 10 REASON: \_\_\_\_\_  
 11 \_\_\_\_\_  
 12 REASON: \_\_\_\_\_  
 13 \_\_\_\_\_  
 14 REASON: \_\_\_\_\_  
 15 \_\_\_\_\_  
 16 REASON: \_\_\_\_\_  
 17 \_\_\_\_\_  
 18 REASON: \_\_\_\_\_  
 19 \_\_\_\_\_  
 20 REASON: \_\_\_\_\_  
 21 \_\_\_\_\_  
 22 REASON: \_\_\_\_\_  
 23 \_\_\_\_\_  
 24 REASON: \_\_\_\_\_  
 25 \_\_\_\_\_

Page 269

1  
 2 ACKNOWLEDGMENT OF DEPONENT  
 3  
 4 I, \_\_\_\_\_, do  
 5 hereby certify that I have read the  
 6 foregoing pages, and that the same is  
 7 a correct transcription of the answers  
 8 given by me to the questions therein  
 9 propounded, except for the corrections or  
 10 changes in form or substance, if any,  
 11 noted in the attached Errata Sheet.  
 12  
 13  
 14 \_\_\_\_\_  
 15 WILLIAM H. FLEMING, MD, PHD DATE  
 16  
 17  
 18 Subscribed and sworn  
 19 to before me this  
 20 \_\_\_\_\_ day of \_\_\_\_\_, 20\_\_\_\_.  
 21 My commission expires: \_\_\_\_\_  
 22  
 23 \_\_\_\_\_  
 24 Notary Public  
 25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE OF REPORTER  
DISTRICT OF COLUMBIA )  
I, DENISE D. VICKERY, CRR/RMR and  
Notary Public, hereby certify the witness was by  
me first duly sworn to testify to the truth; that  
the foregoing deposition was taken at the time  
and place stated herein; and that the said  
deposition was recorded stenographically by me  
and thereafter reduced to printing under my  
direction; that said deposition is a true record  
of the testimony given by said witness.

I certify the inspection, reading and  
signing of said deposition were NOT waived by  
counsel for the respective parties and by the  
witness; and that I am not a relative or employee  
of any of the parties, or a relative or employee  
of either counsel, and I am in no way interested  
directly or indirectly in this action.

Denise D. Vickery, CRR/RMR  
My Commission expires February 14, 2018