

1 **IN THE UNITED STATES DISTRICT COURT**
2 **FOR THE EASTERN DISTRICT OF MISSOURI**
 SOUTHEASTERN DIVISION

3 BADER FARMS, INC.,)
)
4 Plaintiff,)
)
5 vs.) No. 1:16-cv-299-SNLJ
)
6 MONSANTO CO. and BASF)
CORPORATION,)
7) February 10, 2020
)
8 Defendants.)

9 **TRANSCRIPT OF TRIAL DAY #11 - VOLUME 11B**
10 **BEFORE THE HONORABLE STEPHEN N. LIMBAUGH, JR.**
 UNITED STATES DISTRICT COURT JUDGE

11 **APPEARANCES**

12 **FOR PLAINTIFF:** Billy R. Randles, Esq.
 Beverly T. Randles, Esq.
13 Angela M. Splittgerber, Esq.
 Randles and Splittgerber, LLP
14 5823 N. Cypress Ave.
 Kansas City, MO 64119
15
 Tracey F. George, Esq.
16 L. Benjamin Mook, Esq.
 Brett A. Davis, Esq.
17 Davis George Mook, LLC
 1600 Genessee St., Suite 328
18 Kansas City, MO 64102
19
20 **FOR DEFENDANT:** Jan P. Miller, Esq.
MONSANTO COMPANY Sharon B. Rosenberg, Esq.
 Thompson Coburn LLP
21 One US Bank Plaza
 505 N. 7th St., Suite 2700
22 St. Louis, MO 63101
23
 David E. Dukes, Esq.
24 Nelson Mullins
 1320 Main Street
 Columbia, SC 29201
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

FOR DEFENDANT:
BASF CORPORATION

John P. Mandler, Esq.
Anthony Finnell, Jr., Esq.
Tarifa Laddon, Esq.
Shane Anderson, Esq.
Faegre and Baker LLP
90 S. 7th Street, suite 2200
Minneapolis, MN 55402

Troy A. Bozarth, Esq.
Hepler Broom LLC
130 North Main St.
Edwardsville, IL 62025

REPORTED BY:

Laura A. Esposito, RPR, CRR, CRC
U.S. District Court
111 South 10th Street
St. Louis, MO 63102
(314) 244-7739
Laura_Esposito@moed.uscourts.gov

Proceedings recorded by mechanical stenography;
transcript produced by computer-aided transcription.

* * * *

INDEX OF WITNESS EXAMINATION

	<u>DX</u>	<u>CX</u>	<u>R-DX</u>	<u>R-CX</u>	<u>FR-DX</u>
Wayne Mitchem		1804			
Dr. Phillip Brannen	1832	1905			

1 *(Proceedings convened in open court at 1:19 p.m.)*

2 *(Following conducted outside presence of jury:)*

3 **MR. SHAW:** Good afternoon, Judge.

4 Before we proceed I'd like to perhaps withdraw a
5 couple of things from the Court's consideration. Number one
6 would be our motion to strike plaintiffs' opposition to our
7 motion for JMOL. And number two would be our Guenther
8 motion.

9 Of course, we would like to retain all the objections
10 we have previously made, and reserve the right to refile the
11 Guenther motion at the conclusion of all of the evidence.
12 But we have more important matters, I think, to consider
13 this afternoon. We have instructions before us and we'd
14 like to just focus on that for now.

15 **THE COURT:** Okay. Good idea. Thanks.

16 **MR. SHAW:** Thanks, Judge.

17 **MR. HOHN:** Your Honor, just -- we'll just need five
18 minutes before the next witness. We have a discreet issue
19 we have to address before the next witness on some exhibits
20 that the plaintiffs objected to.

21 **THE COURT:** Oh, you mean with me?

22 **MR. HOHN:** Yes.

23 **THE COURT:** Okay.

24 **MR. HOHN:** We could do it now or we could --

25 **THE COURT:** Do it now then.

1 **MR. HOHN:** What's that?

2 **THE COURT:** Maybe we should do it now.

3 **MR. HOHN:** Judge, our next witness is
4 Dr. Phil Brannen, and he's a pathologist, plant pathologist.
5 And we have a group of exhibits that we are intending to
6 show the witness for demonstrative purposes as learned
7 treatises, and we'd like to be able to show them to the jury
8 pursuant to Rule 803.18 of the Federal Rules of Evidence.

9 We don't intend to offer them into evidence as
10 exhibits, as the rule specifically provides that they
11 would -- they can be shown but they can't be admitted into
12 evidence. And, so, as I understand the plaintiffs' concern
13 is they don't think we should be allowed to show them to the
14 jury. And, so, under Rule 803.18, if there's a statement
15 contained in a treatise, periodical, or pamphlet --

16 **THE COURT:** What do you mean by showing them to the
17 jury? You don't want to pass them around, do you?

18 **MR. HOHN:** No; just put them on the screen, that's it.
19 And, so, under 803.18, once we establish the foundation
20 under subparagraphs (a) and (b) that it's relied on by the
21 expert on direct and that it's a reliable authority under
22 (b), then they should be able to be shown to the jury.

23 **THE COURT:** It says the publication is established as
24 a reliable authority by the expert's admission or testimony,
25 by another expert's testimony, or by judicial notice.

1 You're not going to ask judicial notice of me, are you?

2 **MR. HOHN:** No.

3 **THE COURT:** Thank you. So, okay. What's the
4 objection then?

5 **MR. RANGLES:** Well, the objection is, the Court has
6 consistently maintained its position that the jury can only
7 be shown items that are put into evidence, and that is how
8 we have proceeded through our entire case. When we wanted
9 to offer the Bradley slides, for example, we had to
10 establish that both companies had received them, as well as
11 some other things the Court required of us.

12 I believe the appropriate use of a learned treatise,
13 if you want to show it to a jury, is on cross-examination.
14 I do not believe you can simply show things that the expert
15 relies on and says that are articles and that sort of thing
16 in general to the jury. What I said to defense counsel is,
17 if you have a true demonstrative you wish to show the
18 jury -- charts, pictures, other things -- then that's
19 entirely appropriate, and we wouldn't object to a true
20 demonstrative, but to just put up an eight-page article, a
21 20-page article, I think that's improper bolstering, and
22 that's not appropriate in your case, in your own case.

23 **THE COURT:** So, this rule just pertains to the hearsay
24 rule, exception to the hearsay rule. Doesn't talk about how
25 you present it, I guess, does it? So --

1 **MR. HOHN:** It does at the very end, Your Honor. It
2 says, "If admitted, the statement may be read into evidence
3 but not received as an exhibit." And I do have a case from
4 the Eastern District of Missouri, Your Honor, where that
5 very same process was done. If you'd like it, I can hand it
6 right up.

7 Basically, once the foundation's laid, then the
8 statement can be read into evidence but not received as an
9 exhibit. This is the rule for learned treatises.

10 **THE COURT:** So you want the statement to be read into
11 evidence, is that it?

12 **MR HOHN:** Rather than it being read, I think it's more
13 convenient just to show it on the screen as opposed to just
14 having them read it verbatim. I don't think we need to
15 waste the jury's time doing that. But I do think we are
16 entitled under -- this, you know, would come in. Once that
17 foundation is laid, it can be shown to the jury, and the
18 rule's clear it just doesn't come in as an exhibit.

19 **THE COURT:** The only concern you have is whether it is
20 read versus shown? Okay. I think I'm going to overrule
21 that objection because we've had all sorts of testimony that
22 has not necessarily been admitted. But anything that's read
23 to the jury can be shown on the screen, so it's still not
24 received as an exhibit, so how is the jury supposed to
25 understand what it's received for?

1 **MR. HOHN:** Well, obviously, the witness is going to
2 lay that foundation to say that it's something that he
3 relied on and it's something that's reliable in his field.

4 **THE COURT:** Okay. I'll overrule the objection then.

5 **MR. HOHN:** Your Honor, just a housekeeping thing, we
6 do have two labels that I discussed with counsel ahead of
7 time that we'd like to move into evidence, and that is
8 Exhibit M542 and 543.

9 **MS. GEORGE:** No objection.

10 **THE COURT:** Okay. They're admitted then.

11 *(Defendant's Exhibit Nos. M542-543 admitted)*

12 **THE COURT:** Anything else?

13 Okay. You can bring the jury in.

14 *(Jury in)*

15 **THE COURT:** You may proceed.

16 **CROSS-EXAMINATION**

17 **QUESTIONS BY MS. RANGLES:**

18 Q. Good afternoon, Mr. Mitchem.

19 A. Good afternoon.

20 **MS. RANGLES:** Your Honor, I would like to start with
21 Plaintiffs' Exhibit -- I'm just going to read all four of
22 them. They're already admitted into evidence. Plaintiffs'
23 2007, 2008, 2009, and 2010. We'll start with 2007.

24 Q. *(By Ms. Randles)* Mr. Mitchem, as I appreciate your
25 testimony, you believe that, even though there was people

1 planting Xtend seed all around Bader Farms and they were
2 spraying dicamba, there was no way that Bader Farms had
3 suffered any dicamba injury. Is that your testimony? Is
4 that correct?

5 A. Restate the question.

6 Q. I appreciate your testimony, you believe that there is
7 no way that -- with all the spraying that was going on over
8 Xtend seed, that there was no way Bader Farms was exposed to
9 dicamba, correct?

10 A. I've seen new symptomology on peach trees as a result
11 of exposure to dicamba.

12 Q. Okay. So I want you to look at Plaintiffs' Exhibit
13 2007. Were you in the courtroom when things were introduced
14 into evidence?

15 A. Yes. Well, let me know what they are for
16 clarification.

17 Q. So these are seed sale maps. They are maps that show
18 within a 15-mile radius of Bader Farms. Bader Farms is this
19 little green dot here. See that, that I just circled in
20 red? And then all of the yellow or orange, whatever color
21 that is, dots, those are dots that represent people who
22 purchased Xtend seed within a 15-mile radius of Bader Farms,
23 okay?

24 A. Okay.

25 Q. All right. So, Dr. Baldwin printed these and used

1 these in his testimony. And, so, this is for 2015. In
2 2015, these are the Xtend seed sales and around Bader Farms.
3 And, as Dr. Baldwin testified in his testimony, he said that
4 each dot represents only one purchaser. So, in other words,
5 there could be -- and for some of these there may be
6 thousands of acres worth of seed purchased, but each one
7 represents just one dot.

8 Is it your testimony that, with all of this spraying
9 in 2015, Bill Bader's farm still could not have been hit
10 with dicamba?

11 A. I have no opinion about the seed sales.

12 Q. Okay. So you just don't know one way or the other?

13 A. My expertise is in peach tree response to herbicides
14 and that's what I'm here to testify to, ma'am.

15 Q. What I'm asking you is: If you look at the map and
16 see all of the seed sales and the spraying that would have
17 been going on around there, is it still your testimony
18 there's no way Bader Farms could have been exposed to
19 dicamba?

20 A. Ma'am, my testimony is that I see no symptomology in
21 the peach trees related to exposure to dicamba.

22 Q. So is that, absolutely not, you think that there's no
23 way Bader Farms would have been exposed in 2015?

24 A. I see no symptomology related to exposure. I wasn't
25 there in 2015. All my opinions are based on after I was --

1 had the opportunity to visit, which was in 2017, '18 and
2 '19. Anything related to 2015 and 2016, I have no firsthand
3 knowledge of.

4 Q. Okay. So you don't have an opinion about those years,
5 correct?

6 A. No, ma'am.

7 Q. Let's go to 2008. These are the seed, Xtend seed sale
8 purchases in 2016, and that's Plaintiffs' Exhibit 2008.

9 Again, this green dot represents Bader Farms and all of
10 these other dots around it are Xtend seed purchasers. And I
11 understand your testimony is, you have no opinion about
12 2016, correct?

13 A. Yes.

14 Q. Let's move on to 2009, Plaintiffs' Exhibit 2009. This
15 is 2017. That green dot represents Bader Farms, and all of
16 these dots are either Xtend cotton or Xtend soybean seed
17 that was purchased around Bader Farms in 2017. And, again,
18 each dot represents only one shipment of seed. So there are
19 farmers who have enough seed for thousands of acres planted
20 around here based on the documents. Is it your contention
21 that Bader Farms could not have been exposed to dicamba
22 sprayed over the top of Xtend seed in 2017, looking at this
23 map?

24 A. I have not seen any symptomology on peach trees
25 related to exposure to dicamba at Bader Farms in 2017, '18,

1 or '19.

2 Q. Okay. So I understand your talking point. What I'm
3 asking you is: When you look at this map are you really
4 telling this jury that you think there's -- Bader Farms
5 somehow escaped being exposed to dicamba in 2017?

6 A. I've seen no symptomology on peach trees that indicate
7 they were exposed is to dicamba in 2017, '18, or '19.

8 Q. Do you know about how many acres Bader Farms expands
9 to, just the orchards? Do you know about how wide the
10 orchards are?

11 A. Restate your question.

12 Q. Bader Farms' orchards are approximately four,
13 four-and-a-half miles. That's how expansive they are,
14 correct?

15 A. I didn't measure that but I'll take your word for
16 that.

17 Q. Your experience is, it stretches out quite a bit,
18 correct?

19 A. Yes, ma'am, they're spread out a little bit.

20 Q. Okay. So with all of that spraying going on, over
21 that large of an acreage, how could Bader Farms possibly
22 have escaped it? Are you saying to the jury that there was
23 a tarp over the orchards and that's how it would have
24 escaped it?

25 A. Ma'am, I went into the orchards and I looked at them,

1 and I spent a lot of time there. I've seen no symptomology
2 on any trees related to exposure to dicamba in 2017, '18, or
3 '19.

4 Q. I understand that's your talking point. My question
5 is: Do you think Bader Farms then just escaped it, and, if
6 so, how would that have been possible?

7 A. Ma'am, my opinion is, they've not had any
8 symptomology. There's not been any damage to those peach
9 trees in 2017 or '18. And that's not a talking point;
10 that's my full belief and that's my professional opinion to
11 a degree of scientific certainty.

12 Q. But you'll forgive me if it sounds like a talking
13 point since you're saying the same thing no matter what
14 question I ask.

15 A. That's because it's factual.

16 Q. It may be, but if I ask you if the sun rises in the
17 east, and you give me the same thing, it's a talking point
18 to me. Do you understand that?

19 A. This doesn't have to do with the sun rising; has to do
20 with the fact that there was no symptomology in the peach
21 trees related to exposure to dicamba, 2017, '18, '19. It's
22 not a talking point; it's just a fact.

23 Q. You're basing that on your, what, two or three
24 experiences with dicamba sprayed -- direct spray
25 applications to Prostko, Kevin Bradley's young trees, and

1 whatever you did in your back yard, correct?

2 A. Ma'am, I didn't do anything in my back yard. That
3 would be incorrect to say I did anything in my back yard. I
4 don't have peach trees in my back yard.

5 Q. Well, wherever you did it. After -- like immediately
6 after I deposed you, you said you went and you did some
7 research, so is that what you're basing your testimony on?
8 It's a simple question.

9 A. Restate your question.

10 Q. We'll move on. Let's go to 2010, Plaintiffs' Exhibit
11 2010. Again, that's Bader Farms. Now, I don't think we
12 need to go through the line of questioning again because I'm
13 pretty clear what your answer's going to be.

14 I have a separate question for you. Do you think
15 Bill Bader knows what his peach trees look like?

16 A. Yes.

17 Q. Do you think Bill Bader has always known what his
18 peach trees look like?

19 A. Yes.

20 Q. So do you think that perhaps Bill Bader, when he says
21 that his peach trees, from 2015 forward, started to look
22 different in a way that he never seen them look before, you
23 think he knows what he's talking about since he's the one
24 who's seeing them day after day, year after year?

25 A. I know Mr. Bader testified that the crinkling and

1 curling, which I recognize, he agrees with me in his
2 testimony that that's the normal appearance of the peach
3 tree during the day.

4 Q. Mr. Bader also testified that his peach trees looked
5 different. The crinkling and the curling, I think he said
6 cupping of the leaves, that that was different. Did you
7 hear that testimony?

8 A. He said it was different in the morning.

9 Q. He said it was different always.

10 A. I did not hear that testimony.

11 Q. Okay. Well, I will submit to you that that was
12 Mr. Bader's testimony when he testified in this courtroom to
13 this jury.

14 A. What I heard Mr. Bader say was that the crinkling and
15 curling was a normal appearance for the peach tree during
16 the day. That's what I heard.

17 Q. Well, what he said actually is that in the morning,
18 that that is not typical, and then as the -- as you get to
19 the heat of the day, that is more normal.

20 But, Mr. Bader testified before this jury that the
21 trees, from 2015 forward, with the introduction of
22 defendant's Xtend system, looked completely different, so my
23 question to you is: Don't you think Bill Bader is better
24 suited to look at his trees and say, *well, this looks*
25 *completely different than is normal* than you, somebody who

1 didn't step foot on his farm until 2015 -- or 2017?

2 A. Ma'am, the experience I had looking at dicamba
3 symptomology, if you take in the fact that the claim says
4 there's been a 40 percent reduction in yield. Trees have
5 died as a result of exposure to dicamba. If you're going to
6 have that level of impact and you're going to have tree
7 death, you're going to see terminal dieback, dead terminals,
8 and that's what you're going to see.

9 Q. Mr. Mitchem, when you talk about "always going to" --
10 always going to see dieback, always going to see this and
11 that -- you have no basis for saying that, correct?

12 A. To have a 40 percent reduction in yield, that's a
13 pretty substantial impact on a tree. You're going to have
14 to see dieback in the terminals to get that kind of impact
15 on yield.

16 Q. Your experience with dicamba is direct spray
17 applications, correct?

18 A. Yes.

19 Q. So the limited experience that you're talking about is
20 with someone, you, someone else walking up to a peach tree
21 with something and then just squirting dicamba right on that
22 peach tree, correct?

23 A. That's all the information that's available is out
24 there, yes.

25 Q. Okay. And so what I'm talking about is not someone

1 walking up to a peach tree and spraying dicamba directly on
2 the peach tree. If a higher concentration of dicamba is
3 sprayed on peach tree, a very high rate of dicamba sprayed
4 on a peach tree, you're going to have dieback, correct?

5 A. If you're going to have a level of exposure result in
6 a 40 percent reduction in yield and greater and cause tree
7 death, you're going to have to have dieback because the very
8 fact that the tree dies is indication that there's going to
9 be dieback. That dieback is going to begin in those
10 terminals. That's where the death is going to start.

11 Q. Do you remember my question?

12 A. I think I answered it, ma'am.

13 Q. You didn't answer my question. Do you remember my
14 question?

15 A. You can restate it, ma'am.

16 Q. I will restate it. I'm not confident I'm going to get
17 a different response or any answer to the question.

18 If you walk up to a peach tree and spray it directly
19 with dicamba, that's not the same as the way dicamba affects
20 a tree in the field, correct? I mean you're going to have
21 dieback if you walk up to it and spray it directly. A high
22 concentration, that was my question.

23 A. A high concentration, you're going to have dieback.

24 Q. So when we're talking about a one X-rate or half
25 X-rate, that's what we're talking about with dieback,

1 correct?

2 A. No. You're going to have dieback at much lower rates
3 than that. If you're going to have exposure to cold, that
4 kind of injury, you're going to have dieback. Symptomology
5 is going to be symptomology. If you get enough dicamba on
6 it to cause harm, you're going to have death on the
7 terminals and dieback.

8 Q. Again, have you done any research on volatilized
9 dicamba or drift rates of exposure of dicamba?

10 A. Dr. Baldwin testified --

11 Q. Mr. Mitchem, I would like for you to answer my
12 question this time, sir. I am not interested in you waxing
13 poetic. I am asking: Have you, Mr. Mitchem, conducted any
14 research on volatilized dicamba or direct drift dicamba on
15 peach trees?

16 A. I have applied dicamba directly underneath peach trees
17 in a peach orchard.

18 Q. So the answer to my question is, no, you have not.
19 Thank you.

20 Now I want to deal just a little bit with -- and I
21 know we haven't talked about this one very much. We've been
22 talking about the Prostko study and we've at least mentioned
23 Dr. Bradley's study on the peach and other trees. You're
24 familiar with that, aren't you?

25 A. You talking about Dr. Bradley's study that Dillman

1 did?

2 Q. Yes. Dentelman, Bradley, a few others at the
3 University of Missouri. You familiar with that?

4 A. I'm familiar with the document you're talking about,
5 yes, ma'am.

6 Q. And in that study they concluded that dicamba --
7 symptomology for driftable fractions of dicamba to peach
8 tree was extremely -- peach trees were extremely sensitive
9 to it, correct?

10 A. Restate the question.

11 Q. That was a bad question. I apologize. Let me
12 rephrase it.

13 In Dr. Bradley's study, they concluded that peach
14 trees were extremely sensitive to dicamba, correct?

15 A. That was the subjective evaluation or opinion of
16 Dr. Bradley. He described it as extremely.

17 Q. Wait a minute. It was subjective? Dr. Bradley is a
18 scientist. This study is a scientific study. How would it
19 be subjective?

20 **MR. ANDERSON:** Object to characterization, Your Honor.

21 **THE COURT:** Overruled.

22 **THE WITNESS:** What one person -- you know, he put
23 definitions on that. That's his opinion that it was
24 extremely. That's his opinion. That's what he expressed.

25 Q. (By Ms. Randles) Your testimony to the jury is that

1 Dr. Kevin Bradley at the University of Missouri, having
2 conducted a two-year study on driftable fractions of dicamba
3 over peach and other trees, his assessment was subjective?

4 A. I'm saying his evaluation, it was extremely
5 susceptible. It was a subjective opinion. And that
6 research was done on newly planted trees straight out of the
7 nursery in a controlled environment. They weren't done in
8 the field.

9 Q. Since you obviously place no stock in Dr. Bradley's
10 study, did you pick up the phone and call him and find out
11 why he used this so-called subjective analysis in this
12 study?

13 A. That's Dr. Bradley's opinion. That's what he
14 published.

15 Q. Okay. So, but --

16 A. I did not call him.

17 Q. But your opinion is that it's subjective because you
18 don't like the way the results were rendered, correct?

19 A. I don't have a problem with Dr. Bradley's results.

20 Q. Well, there's nothing -- I've looked at this study,
21 Mr. Mitchem. Dr. Ford Baldwin has looked at the study. He
22 did not see anything about Dr. Bradley's conclusions as
23 being subjective. So it bears to reason that this study
24 would be conducted the way Dr. Bradley would do any other
25 study, correct?

1 A. I'm not saying the study was subjective; I'm just
2 saying that the label of "extremely" is subjective.

3 Q. Well, don't scientists rate things as extreme or
4 moderate or light sensitivity to herbicides all the time?
5 That's not something new to Dr. Bradley, is it?

6 A. We have a rating system usually presented as percent
7 injury.

8 Q. This is not something that's new to Dr. Bradley,
9 correct?

10 A. I don't have a problem with what Dr. Bradley wrote.

11 Q. This is not something new to Dr. Bradley, correct?

12 A. No.

13 Q. So when scientists do that, then they have a mechanism
14 and a procedure that they put in place for assessing things
15 in a particular category, correct?

16 A. Dr. Bradley's study is what it is. He presented the
17 data as published.

18 Q. That's correct, his is. Dr. Prostko's study found no
19 dieback at the lowest rate sprayed, correct?

20 A. Dr. Prostko's study, they took those observations in
21 December. I was there and looked at the trial in August and
22 there was dieback at the low rate.

23 Q. When you look at the study, there is no statistical
24 difference between the nontreated controlled tree and the
25 lowest level spray, which says, that indicates that there

1 was no dieback, correct?

2 A. The statistical difference was no different.

3 Q. And, likewise, Dr. Prostko's study also showed a loss
4 of tree vigor, correct?

5 A. We talked about the loss of tree vigor earlier. After
6 they went through the winter with the lack of chill, that
7 confounded the vigor, and that had an impact there. In
8 subsequent years after that they discontinued taking
9 observations in because they saw no impact on vigor the
10 following year.

11 Q. So the tree had a year to recover, and then there
12 wasn't any more loss of vigor after it had a year to
13 recover, correct?

14 A. If they put it out the first year, the following
15 winter they discontinued taking any more observations on
16 vigor because they didn't see any differences.

17 Q. So the tree had a year to recover, and then once it
18 had a year to recover from the herbicide injury, there was
19 no difference in the vigor, correct?

20 A. There was no observations made the year after that,
21 no.

22 Q. And Dr. Prostko's study also showed yield loss at the
23 lowest level tested, correct?

24 A. It showed a 15 percent reduction relative to the
25 untreated check, and then at the -- that was at the

1 preliminary one percent. Then there's like a 16 at the
2 .1 percent.

3 Q. So, Dr. Prostko's study -- I don't think this has ever
4 been stated yet -- he only sprayed half the tree, correct?

5 A. Yeah. He went down the side of the road and turned a
6 boom perpendicular to the tree and applied the material into
7 the tree canopy.

8 Q. Right. So he sprayed one-half of the tree and left
9 the other half of the tree unsprayed, correct?

10 A. Yes, ma'am.

11 Q. So if he had sprayed the entire tree, the yield loss
12 would have been even higher, correct, even at though low
13 level?

14 A. I don't know what the total yield loss would have been
15 if he sprayed the entire tree.

16 Q. One other point I want to make just with respect to
17 dieback, because you might find this interesting to know,
18 Dr. Schnabel, BASF's expert witness, he said when he visited
19 Bader Farms and inspected it he saw dieback. Were you aware
20 of that?

21 A. No.

22 Q. Mr. Mitchem, are you aware that there's no safe
23 tolerance that's been developed or established for dicamba
24 on sensitive crop?

25 A. Restate your question.

1 Q. Are you aware that there is no safe level that has
2 been established for dicamba on sensitive crop?

3 A. When I hear the word "tolerance," I'm assuming you're
4 talking about tolerance relative to the consumption of fruit
5 with dicamba residue in them.

6 Q. I'm just asking you a question. Are you aware that
7 there is no safe level? And if you want me to break it down
8 to peaches: Are you aware that there's no safe level that's
9 been established for peaches?

10 A. I'm aware there's no tolerance established for dicamba
11 use on peaches.

12 Q. Now, I ought to know this, but could you remind the
13 jury, how many times did you say you were -- what years were
14 you at Bader Farms again?

15 A. 2017, 2018, and 2019, ma'am.

16 Q. And when you were there -- just a moment.

17 And when you were there, you said you saw crinkling
18 and curled leaves, correct?

19 A. On the peach trees, yes, ma'am.

20 Q. And you also saw crinkling and malformed leaves on a
21 non-dicamba tolerant soybean field that was either near or
22 at Bader Farms, correct?

23 A. At one time -- I did go in the soybean field one time,
24 yes.

25 Q. Okay. So that was in 2017, correct?

1 A. Yes.

2 Q. And you've said repeatedly that you do not believe
3 that Dr. Baldwin, with his Ph.D. in weed science and 40
4 some-odd -- 46 years or so of walking fields and diagnosing
5 herbicide injury, is capable of diagnosing dicamba injury on
6 Mr. Bader's peach trees, correct?

7 A. He spent 46 years walking fields, ma'am. He's not
8 spent any time walking orchards.

9 Q. Your testimony is that Dr. Baldwin is incapable of
10 doing this?

11 A. I never said that.

12 Q. Can we pull up -- I'd like to pull up an admitted
13 document, Your Honor. It's B980. And if we could go -- I'm
14 sorry.

15 Mr. Mitchem, are you familiar with this document?

16 A. No, ma'am.

17 Q. This document is -- you see the subject was, U.S. EPA.
18 It is the part of the reregistration materials for the newer
19 dicamba herbicide formulations, and this document stated --
20 the cover page is November 1st, 2018, but the document
21 itself is October 31st, 2018. Okay?

22 I'd like to go to page 7 of the document.

23 So if you go to -- Mr. Mitchem, if you go to about the
24 middle of the page where it says, "additional uncertainties
25 include." See that? So go down to the third bullet point.

1 And it says, "While dicamba damage can easily be determined
2 by the investigator because of the unique symptomology to
3 sensitive, nontarget plants, in many incidents state
4 investigators are not able to determine the precise source
5 causing the dicamba damage."

6 Okay. Did I read that correctly?

7 A. Yes, ma'am.

8 Q. And then the next bullet point: "Visual symptomology
9 for dicamba, regardless of formulation, is distinctly
10 identifiable" -- it says "cupping on newly emerged
11 leaves" -- "in sensitive plants."

12 Did I read that correctly?

13 A. Yes, ma'am.

14 Q. So, clearly, the EPA doesn't agree with your
15 assessment that some someone like Dr. Baldwin is incapable
16 of diagnosing and identifying dicamba injury on peach trees,
17 correct?

18 A. I didn't see the EPA say anything about peach trees in
19 this document, ma'am.

20 Q. They also aren't saying that in order to diagnose
21 dicamba injury on a sensitive plant, you have to have -- it
22 can only be that plant that you have worked with. There's
23 nothing here specific about any plant. "While dicamba
24 damage can easily be determined by the investigator because
25 of the unique symptomology to sensitive, nontarget plants."

1 That's what it says. It doesn't make any distinction. And
2 someone like Dr. Baldwin is a trained investigator, correct?

3 A. Dr. Baldwin's credentials were presented. I have no
4 reason to dispute them.

5 Q. I'd also like to show, Your Honor, admitted already,
6 Exhibit B979.

7 Mr. Mitchem, are you familiar with this document?

8 A. I am not.

9 Q. This is -- I will tell you this is part of the same
10 sort of packet of materials that EPA released when it re--
11 approved an additional conditional two-year label for the
12 new dicamba herbicides, okay? So, you see at the top, it
13 says, "EPA and Registration Decision for Continuation of Use
14 of Dicamba on Non-Dicamba Tolerant Cotton and Soybean."

15 I would like to go to page 23 of the document.

16 Mr. Mitchem, I am at -- do you see where it says
17 "confirmatory data"? I'm going to started one sentence
18 above that.

19 A. Yes, ma'am.

20 Q. The sentence below says, "The agency's new data and
21 monitoring requirements for dicamba are listed below." Then
22 it has confirmatory data:

23 (1) Field studies examining off-site
24 movement of dicamba.

25 (2) Studies to investigate temperature

1 effects on volatility of dicamba.

2 (3) Ecological effects data on nontarget
3 plants related to survival, growth, and
4 reproduction for select sensitive tree shrub,
5 woody perennial species.

6 Okay. Then if you go down to the very last paragraph
7 there on the page, sort of at the middle of it, where it
8 says "studying the impact." Do you see that?

9 A. Yes, ma'am.

10 Q. So it says, "Studying the impact of off-target
11 movement from dicamba OTT" -- meaning over the top --
12 "applications on high-value specialty crops as well as
13 privately owned gardens, landscaping, and orchards is of
14 particular interest to the agency because, unlike cotton and
15 soybean growers, managers of those types of vegetation do
16 not have the option to purchase protective dicamba tolerant
17 seed."

18 Did I read that correctly?

19 A. Yes, ma'am.

20 Q. Mr. Mitchem, were you at all aware that EPA was
21 requiring the defendants to conduct additional research in
22 these specific areas?

23 A. Not specifically, ma'am.

24 Q. And so I guess it's fair to say, if you didn't know
25 that they were required to do that, you've not seen any data

1 from the defendants about these particular issues, correct?

2 A. No, ma'am.

3 Q. Were you aware that in July, late July of 2018,
4 Reuben Baris, who was acting director of pesticide division
5 for EPA, visited Bader Farms, along with Dr. Ford Baldwin
6 and Dr. Norsworthy and others?

7 A. No, ma'am.

8 Q. So you were not in the courtroom when Dr. Baldwin gave
9 that portion of his testimony?

10 A. I remember him talking about Jason Norsworthy and
11 Dr. -- Dr. Norsworthy and Dr. Bradley, and I remember the
12 name you mentioned, but I didn't know he was with the EPA.

13 Q. Okay. So, yes, Mr. Baris with the EPA. And so he
14 visited Bader Farms in late July of 2018 to observe the
15 damage. That's been the testimony in this case, okay?

16 A. Yes, ma'am.

17 Q. So, and then roughly three months later, EPA, in their
18 decision to give another two-year conditional label, are
19 requiring the companies to do research specifically on
20 orchards. Do you think that's just a coincidence?

21 A. Ma'am, I have no opinion what the EPA's reasoning was
22 for doing that.

23 Q. Mr. Mitchem, you don't know the precise -- wait a
24 minute. I apologize. Bad question.

25 Are you a member of the Weed Science Society of

1 America?

2 A. Yes, ma'am.

3 Q. Are you aware that, in 2017, EPA -- or WSSA put out a
4 writing that said a mere whiff of dicamba will cause injury
5 in sensitive plants?

6 A. I don't recall that document specifically.

7 Q. If you will give me a moment, I could show it to you.

8 I know I have it. I'll find it in just a moment here.

9 But while I'm looking for that, I'll move on.

10 You said -- oh, here it is. It's --

11 **MS. RANGLES:** Your Honor, permission to show the
12 Court, the witness, and counsel Plaintiffs' Exhibit 366.

13 Q. (By Ms. Randles) All right. Mr. Mitchem, are you
14 familiar with this document?

15 A. Not right off, ma'am.

16 Q. At the top it says, "Weed Science Society of America,
17 WSSA," of which you say you are a member, correct?

18 A. Yes, ma'am.

19 Q. I would like to go down to the section toward the
20 bottom of the page where it says "plant sensitivity." Do
21 you see that?

22 A. Yes, ma'am.

23 Q. So, there, if we can --

24 **MR. MILLER:** Your Honor, objection, if we're going to
25 be reading in parts of an exhibit that's not in evidence and

1 that learned treatise is nothing.

2 **THE COURT:** He wasn't familiar with the exhibit.

3 **MS. RANGLES:** All right. Move on.

4 Q. (By Ms. Randles) Mr. Mitchem, I'd like to talk to you a
5 little bit about some of your specific statements on your
6 direct examination with Monsanto's lawyer.

7 I believe you stated that Dr. Baldwin -- you were
8 talking about Dr. Baldwin pointing to the tops of the trees.
9 Did I understand your testimony to mean that you believe
10 that Dr. Baldwin is saying that you only look at what's
11 going on at the top of the trees?

12 A. That's what he testified to, yes, ma'am.

13 Q. Mr. Mitchem, I can tell you, after having listened to
14 Dr. Baldwin, I do not interpret his testimony to mean that
15 you only look at the tops. The growing points, as you
16 testified, are all over the tree, correct?

17 A. They are all over the tree, yes, ma'am.

18 Q. And, so, but if there is volatilized dicamba that's
19 coming over Crowley's Ridge and settling on Bader Farms,
20 where is it going to hit first? Or even a drift, where is
21 it going to hit first?

22 A. Ma'am, I don't know exactly where it's going to hit
23 first.

24 Q. Might be the problem. If we could pull up --
25 actually, you know what? I don't need to pull it up.

1 You also testified, again with Monsanto's lawyer --
2 and I apologize. I'm paraphrasing a little bit. But
3 basically your point was, if there was dicamba exposure to
4 the peach tree, then the weeds underneath the tree would
5 also be showing dicamba exposure, correct?

6 A. One example was with weeds underneath the tree.

7 Q. You know what "dose response" means?

8 A. Yes, ma'am.

9 Q. Would you tell the jury, explain to the jury what
10 "dose response" is.

11 A. If you have a dose response with increasing exposure
12 of a certain material to a plant, say a herbicide, for
13 example -- let's be specific. The more herbicides you put
14 on it, you would expect the response to increase over time
15 with increasing rates.

16 Q. And, so, you've heard the expression "the dose makes
17 the poison," correct?

18 A. I heard it at our deposition, ma'am.

19 Q. Okay. So you also are aware that any herbicide, the
20 way that it works is, if there's a genetically modified
21 seed, it's going to be modified so that the -- it will kill
22 the weeds at a certain rate and not kill the plant, correct?

23 A. You're talking about herbicide selectivity.

24 Q. Yes.

25 A. I'm aware of that.

1 Q. So then, for instance, with the Xtend soybean seed,
2 you can spray up to a one-half X-rate twice a year, correct?

3 A. I'm not a soybean expert, but --

4 Q. Okay. And, so -- but you have to have a certain
5 amount in order to kill those super weeds and those pig
6 weeds, correct?

7 A. You have to -- if you're going to kill them, you have
8 to have a certain amount of herbicide.

9 Q. So, Mr. Mitchem, it's not surprising that there would
10 be weeds underneath the canopy of the tree that are still
11 alive and kicking and not showing any symptomology even if
12 the tree is being exposed, correct?

13 A. If you're going to have exposure of dicamba in these
14 orchards -- another example is the picture that we showed
15 with the honey milkweed up over the top of the tree, which
16 the tree was underneath it. There wasn't any symptomology
17 on it.

18 Q. Are you talking about the picture that Mr. Miller
19 showed you earlier?

20 A. Yes, ma'am.

21 Q. You're correct, you were -- well, never mind. Don't
22 worry about it.

23 **MS. RANGLES:** Your Honor, permission to show the
24 witness and the Court and counsel Plaintiffs' Exhibit 2320.
25 That's okay. I'll move on. They're having trouble

1 locating. It's no problem. We'll move on to the next one.

2 Q. (By Ms. Randles) But, Mr. Mitchem, my point is, with a
3 pig weed or whatever grass you want to think of underneath
4 that tree, it has to have a certain amount of dicamba on it
5 in order for it to affect that weed. You would agree with
6 that, wouldn't you?

7 A. Dicamba doesn't affect grass, ma'am.

8 Q. No. I'm talking about the pig weed and all the things
9 that it's directed to impact.

10 A. If there would have been dicamba in the orchard, I
11 would expect to have seen symptomology on the weeds.

12 Q. That's not an answer to my question at all, but I've
13 so gotten used to this, I'm just going to move on.

14 Mr. Mitchem, you were looking at a picture that
15 Monsanto's lawyer showed you earlier this morning. It was a
16 picture of the catalpa tree in one of the Baders' orchards.
17 Do you remember that?

18 A. Yes, ma'am.

19 Q. And I believe you characterized it as having some
20 cupping, which I found difficult to see, but that was your
21 testimony, correct?

22 A. Yes, ma'am.

23 Q. Could we pull up Plaintiffs' 2121, which has already
24 been admitted, Your Honor.

25 Mr. Mitchem, this is that same -- this has been

1 admitted. This is the same catalpa tree from the Baders'
2 farm, okay?

3 A. It's not the same catalpa tree.

4 Q. So you think this is a different catalpa tree?

5 A. I think so, ma'am, yes.

6 Q. How many catalpa trees have you seen at Bader Farms?

7 A. I don't know, ma'am.

8 Q. So I'm aware of the one. This is a picture that has
9 been admitted into evidence of a catalpa tree. Do you see
10 how cupped those leaves are? Do you see that, that
11 extensive cupping?

12 A. Yes, ma'am.

13 Q. You could -- if you walk up to that tree and pour a
14 glass of water in it, you could drink out of it, couldn't
15 you? Or beer or whatever you want to drink.

16 A. I don't know if that would hold water or beer, no,
17 ma'am.

18 Q. You see this extensive cupping here?

19 A. Yes, ma'am.

20 Q. And this was taken -- this photo was taken,
21 Dr. Baldwin testified, later in the summer. During the
22 spring that occurred. And you're saying that this tree
23 could exhibit this symptomology and it have absolutely no
24 effect on Bader Farms' peach trees?

25 A. Ma'am, I don't know for sure what that symptomology's

1 from.

2 Q. Well, it's not from weed pressure, is it?

3 A. I wouldn't think so, ma'am.

4 Q. Or any of the other things that you've named, disease?

5 A. I don't know, ma'am.

6 Q. Is that classic auxin herbicide symptomology on that
7 tree, Mr. Mitchem?

8 A. It could be auxin symptomology.

9 **MS. RANGLES:** Okay. Thank you. I have no more
10 questions, Your Honor.

11 **THE COURT:** Mr. Miller?

12 **MR. MILLER:** No further questions, Your Honor. Thank
13 you.

14 **MR. ANDERSON:** Nothing, Your Honor. Thank you.

15 **THE COURT:** You may step down.

16 **THE WITNESS:** Thank you.

17 **THE COURT:** Call your next witness.

18 **MR. DUKES:** Your Honor, Monsanto calls
19 Dr. Phillip Brannen. And I have some notebooks to pass out
20 because I think it would be more efficient.

21 **DR. PHILLIP BRANNEN, DEFENDANT'S WITNESS, SWORN**

22 **DIRECT EXAMINATION**

23 **QUESTIONS BY MR. DUKES:**

24 **THE COURT:** You may proceed.

25 Q. (By Mr. Dukes) Good afternoon.

1 A. Good afternoon.

2 Q. Introduce yourself to the jury and tell us what you
3 do.

4 A. My name's Phillip Michael Brannen. I go by Phil. I
5 am a plant pathologist for The University of Georgia. I'm
6 also a professor. My work relates to helping farmers solve
7 their problems. I do a lot of extension work, and so I'll
8 give more details in a minute.

9 Q. What is a plant pathologist?

10 A. Well, a plant pathologist is someone who studies plant
11 diseases basically. So, I study plant diseases, I learn
12 about the plant diseases, and I develop ways to solve those
13 problems associated with plant diseases.

14 Q. If we could call up Slide 1 just for the Court,
15 counsel, and the witness, please.

16 Is this a slide that you prepared just to help us move
17 quickly through your background?

18 A. Yes, sir.

19 **MR. DUKES:** Your Honor, I would offer this as a
20 demonstrative.

21 **THE COURT:** Objection?

22 **MS. RANGLES:** No objection.

23 **THE COURT:** You may use it.

24 Q. (By Ms. Randles) Would you --

25 **MR. DUKES:** May we publish this to the jury, please?

1 **THE COURT:** Yes.

2 **MR. DUKES:** Thank you, Your Honor.

3 Q. *(By Mr. Dukes)* Dr. Brannen, would you go through and
4 just explain what your academic background is.

5 A. Yes. I had a -- my undergraduate degree was in plant
6 protection and pest management. That was actually through
7 the plant pathology department. That's actually a degree
8 that covers basically a little bit of everything associated
9 with integrated pest management. Plant pathology mainly but
10 a little bit of weed science, a little bit of entomology.
11 And so that was my undergraduate degree.

12 I then had a Master's, also in plant pathology, from
13 The University of Georgia. And then eventually, after
14 working for a while, I got a Ph.D. at Auburn University,
15 again in plant pathology.

16 Q. Okay. Let's take you back a little bit. Where did
17 you grow up?

18 A. I grew up on a small farm outside of Statesboro,
19 Georgia. That's near Savannah, if you know where that is,
20 so it's about 50 miles from Savannah.

21 Q. While you were in college did you work?

22 A. I did.

23 Q. What did you do?

24 A. I did anything I could to eat at one point. But, no.
25 I did a lot of work. I worked in Minute Marts. I worked in

1 warehouses. I worked in tobacco warehouses for a couple of
2 summers. I actually worked for a year with professors in
3 plant pathology. I was in ROTC and National Guard. So I
4 did a lot of different things in that four-year period.

5 Q. Why did you choose plant pathology as your focus?

6 A. Well, as I said, I grew up on a small farm, so
7 agriculture's always been a place that's dear to me, I
8 guess. But I also enjoyed the sciences, and I enjoyed
9 microbiology. And plant pathology is basically applied
10 microbiology, so it's a way of actually using the sciences
11 to help solve problems for people in the real world,
12 farmers, for example.

13 Q. Did you have any jobs in agriculture between getting
14 your Master's degree and getting your Ph.D.?

15 A. I did. After I finished my Master's, I worked as a
16 county extension agent. You all heard people talking about
17 county extension agents. It's basically for land grant
18 universities. University of Missouri here would be a land
19 grant. University of Georgia is a land grant, and Auburn
20 University's a landgrant. I worked in Alabama for Auburn
21 University, prior to getting my Ph.D., as a county agent, so
22 I would be the first line of contact if a farmer had a
23 question. If there's any kind of issue that came up, that I
24 would be able to help them with that.

25 Q. And what's the next thing that you did after you

1 received your Ph.D. from Auburn University?

2 A. I went to work for industry for a period of time. So
3 I worked for six years outside of Dallas, Texas for a
4 company called Gustafson. Gustafson was a seed treatment
5 company. At the time I worked for them they were the
6 predominant seed treatment company the NAFTA region. But we
7 treated all the seed, cottonseed, peanut seed, any kind of
8 seed you could think of that was growing in the
9 United States was treated with our products. And we worked
10 on fungicides, insecticide, various things. In my job I
11 actually led a lab that was a plant pathology lab, and we
12 developed seed treatments. That was part of what I would do
13 as a plant pathologist there.

14 Q. We can take Slide 1 down, please. Is The University
15 of Georgia the land grant university for Georgia?

16 A. Yes. It is, in fact, another land grant university.

17 Q. At some point were you a named a full professor in
18 plant pathology at The University of Georgia?

19 A. Yes, sir.

20 Q. When was that?

21 A. 2012.

22 Q. Tell us what you do as a professor in plant pathology.

23 A. Well, again, I mentioned there's a three-prong, or a
24 three-legged stool if you look at a land grant university.
25 There's a research, teaching, and extension, and I do a

1 little bit of all of that.

2 My predominant assignment is with extension or
3 outreach, and that's where I'm helping real people, real
4 farmers in the real world solve real problems. But I also
5 do -- actually do research, and that's field-applied
6 research where that's how I help them solve their problems.
7 If they got a problem, I have to figure out how to solve
8 that, and I'll do research to address that.

9 And I do teach undergraduate classes. I teach
10 graduate level classes as well. And right now I've got five
11 graduate students. I got Ph.D. students and Master students
12 under my direction.

13 Q. Now, are you what I've heard called a mud-on-the-boots
14 professor or do you normally walk around looking like you do
15 today?

16 A. This is very unusual for me. I don't even dress like
17 this for church. So, no, I'm definitely most of the time in
18 bluejeans and a pair of boots or something like that.
19 That's my standard.

20 Q. And that's a good point. Have you ever testified as
21 an expert in court before?

22 A. I've not testified as an expert in court, no.

23 Q. All right. Now, you've been involved in several cases
24 as an expert but just never testified in court?

25 A. That's correct. I've been involved in a few, very,

1 very limited number.

2 Q. You talked about the three legs of the stool. One was
3 extension work. Tell us what you do from an extension work
4 standpoint.

5 A. Well, again, I do farm visits. I work with producers
6 if they have a problem, but also do educational meetings. A
7 lot of it's training and developing training materials to
8 help producers know how to do things. And so I work, again,
9 directly with the county agents, helping them be trained
10 also in how to help the producers. It's definitely a
11 boots-on-the-ground type job.

12 Q. When you show up to help a farmer, do you start your
13 clock like lawyers do and start charging, or do you not
14 charge farmers for extension work?

15 A. No. Extension work is gratis, so we're there to help,
16 so we don't charge anything at all. It doesn't matter if
17 you're a millionaire or a poor farmer. We're going to work
18 with you and we're going to give you the same service.

19 Q. What type crops do you work with in your extension
20 work?

21 A. I work with fruit crops. When I first moved to
22 Georgia, I worked with all the fruit commodities in Georgia,
23 so I did work with blueberries and blackberries and peaches
24 and apples and a litany of other things. About three years
25 ago they were about to work me to death, and somebody

1 finally realized that, so I hired somebody down in South
2 Georgia to take some of the responsibility there, so they
3 have blueberries and blackberries now, but I still handle
4 peaches and wine grapes and apples and strawberry and a few
5 other commodities.

6 Q. How long you been working with farmers to diagnose
7 diseases in peaches?

8 A. I've been there for 20 years, and from the day I got
9 on the job I've been working on peaches, so that's one of my
10 major responsibilities.

11 Q. And as part of your job, do you have to be
12 knowledgeable about how to diagnose diseases in peaches?

13 A. Absolutely. It goes with the trade. You have to know
14 what your diseases are in order to do any good.

15 Q. Do you have some knowledge in herbicide science, weed
16 science?

17 A. I do have some. And when I say that, I mentioned my
18 undergraduate degree, in which it was an integrated pest
19 management type program, so I did get some coursework in
20 weed science. When I finished my undergraduate degree I did
21 an internship with Eli Lilly, and we did a lot of herbicide
22 testing that summer I was there. But that's really the
23 extent of my weed science background. But I'm definitely
24 not an expert when it comes to weed science.

25 Q. All right. Are you here as an expert in dicamba?

1 A. I am not.

2 Q. Are you here as an expert in herbicide injury to peach
3 trees?

4 A. No, I am not.

5 Q. What field of study are you an expert in?

6 A. I am a plant pathologist, plain and simple, and I do
7 diagnostics in the field.

8 Q. That's going to be the focus of your testimony this
9 afternoon, correct?

10 A. Yes, sir.

11 Q. All right. Throughout your career how much of your
12 work is focused on peaches?

13 A. Well, in the last 20 years since I went to The
14 University of Georgia, I'd say about 50 percent of my effort
15 and time has been put on peaches, and it still is.

16 Q. Where has most of that work on peaches taken place?

17 A. Most of it has taken place in Middle Georgia. That's
18 our predominant peach production area. That's where the
19 vast majority of peaches are and our big operations are. We
20 do have some production in South Georgia, there's a pocket
21 down there. And then we have some peach production
22 scattered throughout the mountain regions in North Georgia
23 also. So it's all over the state, but the biggest part of
24 it's Middle Georgia.

25 Q. You've done some work on the ridge in South Carolina

1 where I worked on a peach farm?

2 A. I did. I actually spent -- when I first went to
3 Georgia, I spent a good bit of time over South Carolina for
4 the first few years. We had some research projects that we
5 were doing the same type of research in South Carolina, so I
6 was traveling over there a good bit, too. So I'm familiar
7 with a lot of those farmers, too.

8 Q. Despite being the peach state, does Georgia produce
9 more peaches or does South Carolina?

10 A. You would bring that up. Nonetheless, yes,
11 South Carolina does produce more peaches than Georgia, but
12 Georgia produces better peaches. Let you know that.

13 Q. I was told not to ask questions I did not know the
14 answer to unless it was proven.

15 Do you use the same approach when you're diagnosing
16 diseases in peach trees for your day job, you know, when
17 you're not around a bunch of lawyers, that you used in this
18 case?

19 A. Yes, I did. Essentially, I approached this case in
20 exactly the same way I would approach diagnostics in the
21 field, wherever I would land. So it's the same technique.
22 Everything I've done here in Missouri is the same as I would
23 have done in Georgia. Wherever I would land to diagnose
24 something, that's what I would do.

25 Q. You also mentioned that you've done research in

1 peaches. How many years have you done research on peaches
2 and peach diseases?

3 A. Again, I've been doing research for 20 years on
4 peaches.

5 Q. Have you provided lectures both in the classroom and
6 to professional organizations about diseases in peaches?

7 A. I have.

8 Q. Did you help prepare a slide showing some of the
9 presentations that you've made relating to peaches and
10 diagnosis of peach tree disease?

11 A. Yes, sir, I did.

12 **MR. DUKES:** If we could pull up Slide 2 just for the
13 witness, the Court, and counsel.

14 Your Honor, I ask to publish this to the jury, please.

15 **THE COURT:** Any objection?

16 **MS. RANGLES:** No objection, Your Honor.

17 Q. (By Mr. Dukes) All right. In total, just estimate how
18 many presentations you think you've made on -- professional
19 presentations about peaches and fruit trees and management
20 and diagnosis of diseases.

21 A. I have somewhere around 150 total presentations.
22 About half of those are extension farmer type presentations
23 and about half of those are academic presentations.

24 Q. And could you just give the jury some examples of the
25 type of forums in which you've made those presentations.

1 A. Some of these on the slide here, on a yearly basis I
2 go out to the Southeastern Regional Peach Conference in
3 Savannah, Georgia, so I talk to producers there from
4 throughout the southeast. I've been throughout multiple
5 states. It's got Arkansas listed here, Michigan, Florida.
6 Been to Canada also and talked at peach meetings there. On
7 a yearly basis I go to Southeastern Professional Free
8 Workers Conference and have given presentations there as
9 well. That's a good representation of the types of meetings
10 that I participate in relative to peaches.

11 Q. Okay. We could take Slide 2 down.

12 Now, do you see Armillaria and other types of peach
13 diseases in your work as a professor?

14 A. I do.

15 Q. Are you familiar with how the symptoms of these
16 diseases present themselves in peach trees?

17 A. I am very familiar, yes.

18 Q. And what process do you follow in diagnosing diseases
19 in peach trees?

20 A. Well, in general, if I go into a field, I'm always
21 going to give it an overview. So, basically you eyeball the
22 orchard to see what you can see immediately. I'll look for
23 things like white weeds or things like that, obvious things
24 that would be out there. And then I will go into the actual
25 tree itself and start looking, especially if there's

1 anything symptomatic about a tree, and I will take notes on
2 anything that I see that I might know or might not know
3 about the tree itself.

4 And I'll usually do testing. And, by that, one of the
5 standard type things we'll do is take soil tests, look for
6 nutrient issues. So I'll take soil tests at two different
7 depths. I'll always take tissue samples, look for nutrient
8 analysis; sometimes take virus samples, nematode samples.
9 Nematodes are worms that live in the ground that will eat
10 the roots of the peach trees, so we'll sample for that.

11 If I see any type of root rot or any type of rot type
12 disease, I'll try to get some kind of sample for that, too.
13 That usually requires digging in the ground to get at the
14 roots or the crown of the plant. I'll also look for things
15 like insect damage. There are a lot of things that look
16 like a disease at first but they're actually caused by
17 things like an insect or something. Borer type damage is an
18 example. But I'll do all those types of things.

19 Q. Did you follow that process in your work you did in
20 this case?

21 A. I did.

22 Q. Do you keep up with the scientific literature and
23 research as it relates to peach tree diseases?

24 A. Yes, sir.

25 Q. Have you published any scientific literature that

1 relates to peach trees?

2 A. Yes, sir.

3 Q. Approximately how many articles about peach trees and
4 peach diseases have you published?

5 A. In total, specifically related to peaches, there's
6 probably about a hundred different articles. Again, some of
7 those are extension articles, some of those are academic
8 articles, some of those are reports, but all total, about a
9 hundred.

10 Q. Have any of those articles focused exclusively or just
11 specifically on Armillaria?

12 A. I'm not aware of any article where I've exclusively
13 talked about Armillaria, no.

14 Q. Do you have personal experience in diagnosing
15 Armillaria in peach trees?

16 A. I do. I do it every year. I do it with farmers, so
17 I've done it in that way. I train graduate students every
18 year on how to identify -- I usually take about 20 graduates
19 students, or 25 every year, and we tour the state. I take
20 them to peach orchards and we actually get to look at
21 Armillaria, so it's something I train people on how to do.

22 Q. Are you being paid for your time working on this case?

23 A. I am.

24 Q. How much per hour?

25 A. 250 an hour.

1 **MR. DUKES:** Your Honor, at this time Monsanto offers
2 Dr. Brannen as an expert in plant pathology and in peach
3 disease diagnosis and management.

4 **MS. RANGLES:** No objection.

5 **MR. DUKES:** Thank you, Your Honor. I'm prepared to go
6 forward. I don't know if you want to take a break.

7 **THE COURT:** Keep going?

8 **MR. DUKES:** Thank you, Your Honor.

9 Q. *(By Mr. Dukes)* Let's talk about opinions. Dr. Brannen,
10 in preparing your opinions in this case, what types of
11 materials did you review?

12 A. Well, obviously, I reviewed my notes I took in the
13 field and all the stuff that I had. Also looked at the
14 things that were provided by the plaintiffs. I looked at
15 information that was in the literature, so articles and
16 things of that nature that I needed to look at, and so a
17 litany of different things. I reviewed all the depositions
18 from all the experts, from Mr. Bader, from everybody I think
19 that's been involved in this. I've at least read through
20 their depositions.

21 Q. Did you review scientific literature relating to
22 peaches?

23 A. I did, yes. Yes, sir.

24 Q. Did you review Mr. Bader's records relating to peach
25 production at Bader Farms?

1 A. I did look over those, yes, sir.

2 Q. Did you rely on your own training and experience in
3 reaching your opinions in this case?

4 A. Yes, sir. They're my independent opinions.

5 Q. Did you also rely on trips to Bader Farms and your
6 observations of Bader Farms to reach your opinions?

7 A. Yes, I did.

8 Q. And how many times did you visit Bader Farms?

9 A. I visited four times.

10 Q. And I assume they didn't let you just wander around.
11 Was Mr. Bader or some of the lawyers or some other folks
12 there with you?

13 A. There were always lawyers with us. Mr. Bader was
14 there with us most of the time. I think Cody was there one
15 of the times, his son. So someone, a representative of
16 Bader Farms, and lawyers went with us.

17 Q. What were the dates of these four visits?

18 A. The first visit was in July of 2017. Then about
19 mid-September of 2017. And then I went again in July, and
20 that was 2018. And then late May of 2019.

21 Q. Can you walk the jury through what you did on your
22 first visit in July of 2017.

23 A. Just like I told you a while ago, I did the same
24 technique that I would do when I'm diagnosing anything in
25 the field. So, I walked into the field or any of those

1 orchards that were out there, and I started taking notes and
2 making observations of what I see that were obvious things.
3 So I wrote those things down. I made notes on weed
4 management and any kind of weed type stuff I saw, any kind
5 of symptoms in the trees.

6 And then I'd walk up to the tree. I'd start looking
7 for anything else that would be a problem, and I made notes
8 on that, too. If there was anything like borer damage or
9 anything at all, I would record that. I also took soil
10 samples. So I took soil samples at two depths so I can get
11 an idea the nutrient status of the farm, and that gives a
12 baseline. It's kind of like when you go to a doctor and
13 they take blood and try to figure out what's wrong with you.
14 That's what I'm doing, too. If you look at a peach orchard
15 like a patient, I'm trying to figure out what's wrong with
16 it.

17 So, I take the two soil samples. I take leaf samples
18 also for nutrient analysis. I took leaf samples for virus
19 analysis because I wanted to know if it had any viruses in
20 it or not. Then I'd also take nematode samples, again
21 looking at these little worms that live in the soil, they
22 eat the roots. And I wanted to see how many of those were
23 in the soil.

24 Then I also, based on observations, I saw what looked
25 like Armillaria root rot, and so I started digging in soil.

1 Take a shovel and actually dig into the soil and actually
2 find the fungus, which is Armillaria, which I observed there
3 throughout Bader Farms. And so that's the type of thing I
4 would do.

5 Q. All right. Now, we're still just focused on that
6 initial July 2017 visit. So what were your initial
7 impressions?

8 A. Well, there were a lot of things that were obvious. I
9 mean there were some things -- as we walked into the
10 orchards, you could see that weed control was less than
11 optimal. That's one of the things that was obvious, and
12 that could cause potential stress. Could take out a hand
13 lens and I could look and see on the leaves, there were a
14 lot of mites. European red mites were prevalent in that
15 particular visit. They weren't prevalent on all visits but
16 they were in several of the orchards at that time, so that
17 was causing some symptomology as well.

18 Again, you see discoloration of leaves and things that
19 would lead you to certain conclusions. But the main thing
20 that I observed was dead and dying trees. That's what I
21 really could really just look at and say, there's a lot of
22 dead and dying trees there. And so that led me to look at
23 the Armillaria root rot and to dig into the soil around the
24 base of the tree and actually confirm Armillaria root rot.

25 Q. Okay. Now, we've been talking about your first visit.

1 You went three more times, correct?

2 A. I did.

3 Q. And were some of those visits over multiple days, not
4 just one-day visits?

5 A. That's correct. The first visit we were limited to
6 only one day, and then the other visits, all of them were
7 two-day visits at least. So we had one really long day and
8 then the next day we'd usually finish up.

9 Q. If we could call up Slide 3 just for the Court, the
10 witness, and counsel.

11 Now, is this a slide that you prepared to help
12 illustrate the peach sites that you visited over the course
13 of your four times at Bader Farms?

14 A. Yes, sir, it is.

15 Q. And do you think this would be helpful for the jury to
16 understand your testimony?

17 A. I do. I think it would be enlightening.

18 **MR. DUKES:** Your Honor, we would offer this as a
19 demonstrative exhibit.

20 **THE COURT:** Any objection?

21 **MS. RANGLES:** No objection.

22 **THE COURT:** You may.

23 **MR. DUKES:** If we could publish to the jury, please.

24 Q. (By Mr. Dukes) What do the green rings on this map
25 indicate?

1 A. So each of these green rings actually represents a
2 place where I got out of the pick-up truck and took a GPS
3 reading. You'll see sometimes there are four of them on top
4 of each other. That's four visits. So they're all
5 representative of all four of the visits that we went on.
6 But it gives you an idea of where we were in Bader Farms as
7 far as the peach orchards.

8 And we pretty much covered the peach orchards in Bader
9 Farms. We went -- so we didn't just stay at that one spot.
10 That's where we'd get out of the pick-up truck, and then we
11 would walk through these orchards and actually look
12 throughout the orchards. And, again, for me -- the first
13 visit in particular, I'm taking samples and also digging in
14 the ground to find what I'm looking for. So it's a lot of
15 work. Very long day just trying to go through all those
16 orchards.

17 Now, we saw different numbers of size depending on
18 what visit we went on, so it's variable how many different
19 orchards we visited on each year.

20 Q. Did you have input from Mr. Bader about the orchards
21 that he wanted you to look at?

22 A. We did. He took us to all the orchards he wanted us
23 to see on each visit.

24 Q. Do you think you saw every single orchard?

25 A. As I understand it, there's some kind of off-site what

1 I call off-site orchards that I've not seen. That's been
2 related to me. I think there's some other orchards I did
3 not visit but, again, we went to every orchard we were asked
4 to go to.

5 Q. And in total, can you estimate for the jury how many
6 approximately how many hours you spent at Bader Farms
7 inspecting it?

8 A. Somewhere between 60 and 70 hours on the ground
9 because, again, the days were long. I think Mr. Mitchem
10 already mentioned we'd get up, go in, and start and go 'til
11 dark, so those were pretty long days.

12 Q. After visiting Bader Farms the four times, were you
13 able to determine what the cause of tree death, peach tree
14 death was at Bader Farms?

15 A. Yes, sir.

16 Q. And what did you determine?

17 A. I determined the predominant cause of peach tree death
18 at Bader Farms is Armillaria root rot.

19 Q. Was there a specific type of Armillaria root rot that
20 you identified?

21 A. Yes, sir. There's specifically Armillaria tabescens
22 is the Armillaria species that's causing the root rot.

23 Q. Was the appearance of the fungus itself when you saw
24 it, was it consistent with Armillaria?

25 A. It is. Essentially there's no doubt in my mind. We

1 walked into the orchards and got Armillaria root rot in
2 those orchards, and it looks like it does in Georgia or
3 anywhere peach trees are grown where Armillaria is present.

4 Q. You talk about physically cutting into the peach
5 trees. What do you cut into the peach trees with?

6 A. You're cutting into the peach tree with a shovel.
7 Actually, what we're doing is we're digging a hole right
8 next to it. We'll see some photographs of this, I think, in
9 a minute, but you're actually digging a hole right next to
10 the tree, and then the fungus is actually between the bark
11 and the woody tissue. Then you have to scrape that off with
12 a shovel in order to find the fungus.

13 Q. Is Armillaria something you know it when you see it?

14 A. Yes, sir.

15 Q. Now, in addition to confirming by digging into the
16 tree and into the soil and seeing the Armillaria fungus, did
17 you do anything else? Did you send the fungus off for any
18 tests?

19 A. We did. I didn't do that on the first visit. First
20 visit I just took samples and made notes and said where I
21 saw it and observed it. On the second visit I decided it
22 would be a good idea to go ahead and get a genetic analysis
23 identification of it. And I would not normally do that. If
24 I was in Georgia, if I would go out into a peach orchard and
25 identify Armillaria root rot, I'd say that's Armillaria root

1 rot because I know what it looks like.

2 But for the purposes of a court case, it's a good idea
3 to get that kind of confirmation, and I also wanted to make
4 sure it was, in fact, *Armillaria tabescens*, the same
5 *Armillaria* that I'm familiar with, so I did send off samples
6 on the second visit and the third visit to Clemson
7 University for confirmation that this was, in fact,
8 *Armillaria tabescens*. And we got that on both those visits.
9 I did not do that on the fourth visit. I was already
10 confident that's what we had.

11 Q. In addition to identifying the *Armillaria* fungus that
12 was killing peach trees at Bader Farms, did you make some
13 other observations about other things that could be
14 impacting the farm?

15 A. I did. Again, with all the sampling I did and just
16 observations, I have, you know, quite a few things I did
17 observe there.

18 Q. Did you prepare a slide? If we could pull up Slide 4
19 just for the Court, for the witness, and for counsel that
20 would help illustrate to the jury some of those other
21 things.

22 A. Yes, sir.

23 **MR. DUKES:** Now, we'd like to publish that as a
24 demonstrative, if there's no objection.

25 **MS. RANGLES:** No objection.

1 **THE COURT:** You may.

2 **MR. DUKES:** Thank you, Your Honor.

3 Q. *(By Mr. Dukes)* So would you just explain to the jury
4 what you've listed here.

5 A. Yeah. This is kind of a rundown of the things that we
6 did observe there. Quite a few things, and that's a wide
7 list, obviously, but in the young trees we looked at those
8 as well. Some of them were dying. In that case we were
9 finding improper planting depth to be the predominant
10 reason. They were planted too deep so the roots were not
11 getting enough oxygen. It was too wet and those plants
12 would rot often times and die. So we did observe that.

13 We did see the results of cold damage that he
14 mentioned. Mr. Bader mentioned some of the cold damage, and
15 we did observe that from time to time. We did see drought
16 stress on the trees. Part of the symptomology you see on
17 these trees is related to drought stress as well. We saw
18 trees that were just aging out. Trees are just like us,
19 they get too old and they eventually die, and so we did see
20 trees were breaking down from that.

21 I observed a bacterial disease that's on the leaves,
22 and the leaves will fall off if you have a lot of that. We
23 saw some orchards where they were losing leaves.

24 From the virus testing, I found one virus. The
25 necrotic vein spot virus was present, and that's one we

1 don't want there either, but it's just one of the viruses
2 you can have.

3 I did see dagger nematodes. Again, that's a concern
4 because they eat directly into the roots themselves and they
5 can also transmit certain viruses, so they were there.

6 Then we saw various varmint type damage. Deers were
7 eating the young peach trees a good bit. And we saw voles,
8 which are -- looks like mice almost, that live in the ground
9 or around a tree, and they'll eat the roots and kill a tree
10 too. And we did see some death from that as well.

11 The weed management practices, in general, often
12 were -- were not what I would like to see, especially with
13 herbicide free strip. And we've talked about that, or
14 that's been talked to you before by Mr. Mitchem. So,
15 herbicide free strips are good for a peach tree, and that --
16 if you have too much weed, you're going to have stress on
17 the tree.

18 I looked at his disease management practices in
19 general. We did see some farm implement damage also where
20 equipment was hitting the trees with various operations, and
21 that can open up holes in the tree where something could
22 come in and kill it that way.

23 And then, as far as the nutrient analysis, potassium
24 deficiency was the only thing I found that was really
25 lacking in the trees.

1 Q. You know peach farming is a tough business, right?

2 A. It is tough, yes, sir. It really is.

3 Q. You've seen a lot of these issues on other farms,
4 haven't you?

5 A. I have. And this -- when I give this total list, I'm
6 not trying to criticize Mr. Bader in the process with this.
7 I talk to farmers. I go out and visit farmers all the time.
8 These types of things are going to be seen on peach farms
9 from time to time, that's true. Peach farmers get behind on
10 certain things. That's also going to be an issue sometimes
11 with weeds. But all of these things are problems that
12 farmers have to deal with if they're farming peaches.

13 Q. And can these issues actually affect peach tree yield,
14 peach yield?

15 A. They are going to impact health, and there's no doubt
16 that trees are going to be stressed as a result of these
17 things, and sometimes the trees will die if there's many of
18 these things also. So it's one of the things -- I'm not
19 discounting. I'm not saying, yeah, we see them, but there
20 was a lot of it at Bader Farms.

21 Q. You're not here to be nitpicking Bader Farms?

22 A. No. And, you know, again, I'm not criticizing
23 Mr. Bader. I understand. I grew up on a small farm. His
24 background and my background, until we got through high
25 school was about the same, so I understand farming. It's a

1 tough business.

2 Q. So what's going to really be the focus of your
3 testimony this afternoon?

4 A. Well, I'm going to talk about pathology. I only talk
5 about diseases as it relates to Bader Farms and what I
6 observed there, and then I'll also talk about diagnostics as
7 related to what I observed as well.

8 Q. Okay. What is Armillaria root rot?

9 A. Well, Armillaria root rot is a root rot but it's also
10 a crown rot of the peach. So, the crown is the point where
11 the ground meets the trunk basically, so that's what you
12 call the crown. But Armillaria root rot is caused by a
13 fungus, and that fungus basically resides in old root pieces
14 from trees that have died. And so in the case of like Bader
15 Farms, it's probably old oak trees. Where oak trees used to
16 be planted, there had Armillaria root rot. It's also called
17 oak root rot as well. So those old root pieces are in the
18 soil, and they'll stay in the soil, some people estimate,
19 for 50 years. It's an interesting fungus and it can survive
20 like that.

21 When you plant a peach tree, the roots move out into
22 the soil, and eventually they contact that fungus. And then
23 that fungus, it very aggressively attacks peaches, moves
24 through the roots, goes to the crown, girdles the plant, and
25 kills that tree outright. So it's a devastating disease of

1 peach trees.

2 Q. And in what areas of the country is Armillaria
3 present?

4 A. Essentially it's present throughout the United States
5 and really throughout the world. You'll find Armillaria all
6 over the world.

7 Q. Are there oak trees around Bader Farms' orchards?

8 A. Yes. And if you look at Crowley's Ridge in
9 particular, it's just covered up in oak trees. So oak trees
10 are one of the predominant species of trees that are there.

11 Q. Before the Bootheel area of Missouri was cleared, was
12 it forestland and swamps?

13 A. It was.

14 Q. Now, once present in the soil, what does Armillaria do
15 to a peach tree?

16 A. Well, again, if it's there, it doesn't go away. So
17 you really don't get rid of Armillaria. But as soon as the
18 peach tree roots find Armillaria -- again, it's going to
19 work its way back into that tree, and it will girdle it, it
20 will kill it. So within a very short period of time, a year
21 or two from the time that that root actually is found by --
22 or finds Armillaria, that tree will be dead.

23 Q. All right. Let's change subjects. Take a look at
24 Tab 1 in your notebook. And just let me know when you get
25 there. That's Exhibit DXM577. And just confirm for the

1 jury that this is an article by Kerik Cox, Harald Scherm,
2 and Thomas Beckman titled, "Armillaria Root and Crown Rot."

3 A. That is correct.

4 Q. Do you know the gentlemen who wrote this article?

5 A. I do. I know all three of them well.

6 Q. Can you tell the jury a little bit about their
7 reputations.

8 A. They have excellent reputations. Kerik Cox is
9 currently at Cornell University. He was a student at The
10 University of Georgia when he wrote this, or soon after he
11 wrote this. And I was actually on his committee when he did
12 his work on Armillaria root rot, so I did serve on his
13 committee.

14 Harald Scherm is currently our department head,
15 University of Georgia. He is a world renowned plant
16 pathologist and one of the best plant pathologists I've ever
17 known.

18 And then Tom Beckman is actually the breeder at the
19 USDA station at Byron, Georgia, and he does breeding of
20 rootstocks for peach trees. We may get into what root
21 stocks are in a minute, but anyway, he's a rootstock
22 breeder, and he breeds resistance into these rootstocks, and
23 he actually works on Armillaria and breeding resistant
24 rootstocks for Armillaria. That's one way you can get
25 around it with peaches.

1 Q. Dr. Brannen, we really have not had any discussion
2 about how peach trees are put together. You talked about
3 rootstock. And that's the part that goes in the ground?

4 A. Right.

5 Q. And there are different varieties. Guardian is the
6 primary variety, and Mr. Bader has 95 percent, I think,
7 Guardian at his farm, or maybe a hundred percent. And that
8 was actually discovered by Dr. Beckman, correct --

9 A. That's correct.

10 Q. -- and others?

11 A. That's right. That's right. Clemson had some impact
12 on that as well.

13 Q. What you're trying to do with rootstock, you're trying
14 to get rootstock that's going to go in the ground resistant
15 to pests and disease, right?

16 A. That's correct.

17 **MS. RANGLES:** Object, Your Honor. Leading.

18 **MR. DUKES:** I'll do better. I'll do better.

19 Q. (By Mr. Dukes) How do you take that rootstock and then
20 create a peach tree that has different variety peaches?

21 A. Okay. Let me explain. Let me take a drink of water
22 and I'll explain it to you. My mouth gets really dry up
23 here.

24 So let me see if I can tell you how this works. So,
25 we talked about rootstocks. There's two parts of a peach

1 tree. When you look at a peach tree, it looks like one tree
2 to you. It's actually two trees. So rootstock initially is
3 developed from a seed, okay. So you take a seed, like
4 Guardian seed, which comes from the Clemson University, and
5 in Tennessee they plant that seed. So you plant a little
6 peach seed, put it in the ground, and it grows up into a
7 sapling peach about this big or something like that, okay?
8 Then in June -- and Mr. Bader talked about June budding
9 peach trees -- somebody goes in and actually cuts off that
10 tree at the base, probably about maybe 5 inches above the
11 ground line, and so you've got something like this sticking
12 up out of the ground.

13 Then somebody will take what's called a bud wood
14 stick, and they'll take a piece of that bud wood stick, take
15 a bud, make a little slit in the bark, and they'll put that
16 bud right here, and then they'll seal that. And so you've
17 got a Guardian rootstock, which has all the things you want
18 with a rootstock. And then let's say you got an O'Henry
19 peach, or a flame peach. When people talk about varieties
20 of peach, that's a little bud that was put on that
21 rootstock. It grows out the side at first and grows up, and
22 so that's what a peach tree is. So when you buy a peach
23 tree, you're going to have a rootstock and what's called a
24 scion -- that's the above part -- and that's going to be
25 done through propagation. I hope I made that relatively

1 clear to you anyhow.

2 Q. When somebody talks about, I've got 20 varieties of
3 peaches that are producing peach trees that are being
4 produced on my farm, that's because -- well, tell the jury
5 what that's because of.

6 A. All those varieties are what we call the scion, or
7 really the above part, okay. So these are the varieties
8 you've got. Again, the rootstock -- you can have 20
9 different varieties of peaches or more and they can all be
10 on Guardian rootstock, so that bottom portion is the
11 Guardian rootstock; that top portion's whatever the variety
12 is you want.

13 Q. Let's go back to this article we were discussing. Was
14 it published in the Southeastern Peach Growers Handbook in
15 2005?

16 A. It was.

17 Q. And is the Southeastern Peach Growers Handbook, is
18 that a respected and reliable source that plant pathologists
19 and other scientists rely on?

20 A. It is. It is an excellent resource.

21 Q. And is it something that you rely on?

22 A. Yes, sir.

23 **MR. DUKES:** Your Honor, we would request to publish
24 M577 under 803.18.

25 **THE COURT:** You may do so over objection.

1 **MR. DUKES:** Thank you, Your Honor.

2 Q. (By Mr. Dukes) Could we call out M5771.1, please.

3 Now, if you take a look at the first sentence of this
4 article, how was this important to your opinions?

5 A. Again, what it says is that the Armillaria root and
6 crown rot, which is also known as a root rot, is a major
7 cause of premature tree death in southeastern stone fruit
8 orchards. So it sets in place the fact that this is already
9 known to be a major cause of tree death, and so I'm saying
10 that that's important. That's important to my opinions.

11 Q. And is this consistent what you've observed in
12 southeastern peach orchards?

13 A. It is.

14 Q. Could we look at -- call out 1.2. So, we're now
15 looking in the middle of the first paragraph on the first
16 page of that article that's in front of you. It lists two
17 types of Armillaria fungus that cause Armillaria root rot in
18 the southeast. How is that important to you?

19 A. There are two different types: There's Armillaria
20 tabescens and then there's Armillaria mellea, and these can
21 cause -- they can cause this disease in the southeast.

22 Now, the one that is most aggressive and the one I'm
23 going to be the most concerned about, and the one that's
24 most often seen on peaches is Armillaria tabescens, and
25 that's one of the reasons I wanted to have that testing

1 done. And so that tells us that we have the most aggressive
2 pathogen here in peaches. It's just like it is in Georgia,
3 just like it is in South Carolina. Same fungus, exact same
4 aggressiveness.

5 Q. Could we look at -- call it 2.1, please. If you look
6 at this first sentence on the second page, why is this
7 information important to your opinions?

8 A. Again, it says that Armillaria infects root and ground
9 tissues which results in the development of below and above
10 ground symptoms. Well, again, this is a learned treatise
11 which actually is a really good resource if you want to
12 learn about Armillaria root rot in peaches. It's probably
13 the best, simplest, most compact treatise on Armillaria root
14 rot on peaches. And, so, when I see something like that, it
15 allows me to say that I know what the symptoms are, I can
16 relay those symptoms to you with using this treatise, and
17 you can accept that treatise as being a good resource.

18 Q. We all know what roots are. Where are the crown
19 tissues exactly on the tree?

20 A. The crown is basically where the trunk meets the
21 ground. So, if any of the roots are right here, this is the
22 ground line, that's the crown right there.

23 Q. If we could call out 2.2, please. Now we're on the
24 second sentence of the second page of the full article that
25 you have. And how is this important to your opinions what

1 the authors say here?

2 A. They say that, "Above-ground symptoms include
3 chlorotic and stunted leaves with little terminal growth."
4 And that's an early symptom of Armillaria root rot. We're
5 going to go through symptoms of what are observed with
6 Armillaria root rot and what I've observed on Bader Farms
7 and let you know that's the same thing.

8 Q. All right. Let's take a look at 2.3, please.

9 Please explain to the jury what they're seeing here.

10 A. Again, "Above-ground symptoms can include
11 chlorotic" -- and "chlorotic" means basically that they're
12 washed out, yellowed out leaves -- "and stunted leaves with
13 little terminal growth."

14 Q. And if we could call out 2.4, please. Moving on
15 through this article. And how is this important to your
16 opinion?

17 A. It says "a distinctive symptom." One of the things
18 you can see is the curling of leaves along the mid-rib, and
19 so this is also accompanied by bronzing of the foliage and
20 stems, and then it wilts.

21 So, to describe what we're talking about with that
22 curling of the leaves, if you think about a leaf, the little
23 mid-rib that goes right through the middle of it, if that
24 leaf curls around like this and makes it look like a bean
25 pod or a cigar, that's what we're talking about. It will do

1 that to the leaves, and it also causes this bronzing or
2 yellowing of the leaves. And, again, you'll see at that in
3 some of the stuff we're going to show you.

4 Q. Do the authors actually have a photo of this condition
5 in the paper?

6 A. They do.

7 Q. If we could take a look at 2.5, please.

8 A. Okay. And it says here -- this is the same thing, but
9 I can show you. If you look at those leaves, you see how
10 they're curled around each other. When you look at the
11 mid-rib, they're actually curled back around, and that makes
12 a bean pod or a cigar-shaped leaf.

13 Q. Did you observe trees at Bader Farms that had bronze
14 leaves with curling around the mid-rib?

15 A. I did.

16 Q. Let's take a look at 2.6, please. We're, again, at
17 the top of page 2, and the full article that you have. And
18 how was that information important to your opinions in this
19 case?

20 A. Again, this is similar to what we've observed at Bader
21 Farms as well. So, as the disease progresses, you have a
22 rapid yellowing in the foliation that occurs, and that's
23 followed by death of individual limbs above diseased roots.
24 And, so, you'll see what we termed the scaffold limbs.
25 These are like the -- usually about the four main limbs that

1 come out of the bottom of the tree. You'll see those start
2 dying usually one at a time, and Armillaria kind of works
3 its way around and eventually kills the whole tree.

4 Q. And if we pull up 2.7, can you explain to the jury
5 what they're seeing here?

6 A. Again, this is a tree that's in the process of dying.
7 And you can see the upper left, that limb is dying, and
8 that's what you'd expect to see. Again, limbs die. The
9 tree doesn't always die all at once. It's usually a few of
10 the limbs die and then eventually the whole tree dies, and
11 that's what we're observing there.

12 Q. And did you see trees at Bader Farms that had these
13 identical symptoms?

14 A. Yes, sir.

15 Q. Did you take pictures of trees that had these
16 symptoms?

17 A. Yes, sir.

18 Q. Did you help prepare a slide comparing one of the
19 trees you saw at Bader Farms with these symptoms with one of
20 the trees in a photograph in this Cox article?

21 A. Yes, sir.

22 Q. Could we call up Slide 5, please, just for the Court
23 and the witness and counsel.

24 Is this a slide that you helped to prepare?

25 A. It is.

1 **MR. DUKES:** Your Honor, we would request to publish
2 Slide 5 to the jury as a demonstrative.

3 **MS. RANGLES:** No objection.

4 **THE COURT:** You may.

5 **MR. DUKES:** Thank you, Your Honor.

6 Q. *(By Mr. Dukes)* Now, tell the jury what you're showing
7 them here.

8 A. If you look at the tree on the right, this is the one
9 that we just showed you from this Armillaria root and crown
10 rot fact sheet, or article. And then you look on the left,
11 this is an example of seeing that basically the same thing
12 at Bader Farms. You can see the scaffold limbs are dying.
13 In some cases these have already been cut off. You see
14 there's like one limb left, and that one will eventually
15 die. That's how the plant dies with Armillaria.

16 Q. And how many years have you spent in your career
17 diagnosing Armillaria in peach orchards?

18 A. Twenty years so far.

19 Q. And have you personally observed these types of
20 symptoms on peach trees in the field?

21 A. Anywhere that Armillaria occurs on peach trees, this
22 is what you're going to see.

23 Q. And are these symptoms common with peach trees that
24 have Armillaria?

25 A. Yes, sir, they're absolutely common.

1 Q. Now, what ultimately happens to a peach tree that has
2 Armillaria fungus?

3 A. It will die. Once a tree has it, it's going to die.

4 Q. No cure?

5 A. No cure.

6 Q. Okay. Does the Cox article include a picture of a
7 tree that has been killed by Armillaria?

8 A. It does.

9 Q. Let's take a look at 2.8, please. Now, tell the jury
10 what you're showing them here.

11 A. That is a dead tree.

12 Q. Did you see peach trees look like this at Bader Farms?

13 A. I did.

14 Q. Did you take some photographs of those?

15 A. I did.

16 Q. Did you help prepare a slide comparing a dead tree in
17 this Cox article to some of the dead trees you saw at Bader
18 Farms?

19 A. Yes, I did.

20 Q. We could call up Slide 6 just for the Court, witness,
21 and counsel.

22 **MR. DUKES:** Your Honor, we would request to publish
23 Slide 6 to the jury just as a demonstrative.

24 **MS. RANGLES:** No objection.

25 **THE COURT:** You may.

1 Q. (By Mr. Dukes) Now, Dr. Brannen, explain to the jury
2 what you're showing them here.

3 A. Again, this is another dead tree. Again, a dead tree
4 is just a dead tree, and you have to know what killed it,
5 but, nonetheless, that's what it does. Eventually you're
6 going to have a tree that is dead from that type of symptom.

7 Now, there's another thing that is important. There's
8 another disease that will kill a tree, and that's called
9 peach tree shore life, but you're usually going to have
10 suckers that come up from the base of it. When you see a
11 tree that does not have suckers and it's dead, especially if
12 you see a lot of these trees together, that's usually
13 Armillaria root rot.

14 Q. And we can take that down. Thank you.

15 After a peach tree is infected with Armillaria root
16 rot, generally how long does it take for the fungus to kill
17 that tree?

18 A. Once it's infected, the tree's normally going to be
19 dead in about two years. It can die quicker than that, it
20 may live a little bit longer, but generally within two
21 years.

22 Q. I'm going to move from an individual peach tree to a
23 peach orchard. If you've got a peach orchard that has
24 Armillaria fungus in it, what's going to eventually happen
25 to that peach orchard?

1 A. Well, what happens is -- so if you just had one tree
2 in your orchard that contacted Armillaria root rot, and I'm
3 talking about one tree, that one tree is going to be
4 infected when the roots grow into it and find an old root
5 piece with Armillaria in it, it's going to kill that tree.
6 But that's not the damning thing about it because if you
7 just lost one tree, that would be fine. What happens is
8 that fungus then moves root to root across the orchard. So
9 it doesn't just stay in one place.

10 As that other tree is dying, it moves out through the
11 roots, finds the roots of the adjacent tree, and it starts
12 moving down the rows and across rows. So what you're going
13 to see is pockets where this fungus is moving out from a
14 central location. It will kill those trees. So once you
15 get it in an orchard, it doesn't stop. It just keeps going
16 through the orchard. And you can replant the orchard. It
17 just gets worse each time you replant it because you just
18 made that many more root pieces with Armillaria in it for
19 the next planting. So every time you replant, the
20 Armillaria kills trees faster and broader than it did
21 before.

22 **THE COURT:** Counsel, you want to take a break?

23 Let's take an afternoon break for ten or 12 minutes.
24 Remember the admonition I've given you repeatedly. So, go
25 to the jury room. We'll call you back shortly.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(Jury out)
(Court recessed from 3:00 p.m. to 3:27 p.m.)

THE COURT: Preliminary matters?
MR. DUKES: No, Your Honor.

(Jury in)
THE COURT: You may proceed.

MR. DUKES: Thank you, Your Honor.

Q. *(By Mr. Dukes)* If we could pull up 2.9. All right.
And publish it to the jury, please.

Dr. Brannen, when we left off before the break we were still talking about the Southeastern Peach Grower's Handbook, and I pulled up another excerpt here, and we just ask you to explain to the jury why this is important to your opinions.

A. Again, this is a description of what you're going to see below ground, if I'm digging with a shovel and what I'm looking for with that issue between the bark and the woody tissue. And, so, it says, "The below-ground infection results and decay of woody tissue, it appears water-soaked initially, then it becomes white to yellow in color, spongy and gelatinous, and removing the bark at the crown and roots often reveals the presence of white to pale yellow fan-like sheets of mycelium, indicative of Armillaria."

So, the mycelium, that's a scientific term, but just think of a sheet of fungus basically, or fan-like sheet of

1 fungus is what you're going to be looking for.

2 Q. And did you see these fan-like sheets of fungus at
3 Bader Farms?

4 A. I did.

5 Q. Now, if we could call out 3.1. And here's some
6 comments from the authors about resistant rootstocks. How
7 is this important to your opinions? I know you discussed
8 rootstocks a few minutes ago.

9 A. I did. We've already talked about rootstocks. This
10 is important relative to what rootstocks we're talking about
11 today, okay, so that's why we're looking at this. And, so,
12 it says here that, "Recent work has shown that small
13 differences in susceptibility exist among currently
14 available commercial peach seeding rootstocks.
15 Nevertheless, all rootstocks should be considered
16 susceptible to Armillaria root disease."

17 So, in effect, what this is saying is the peach tree
18 rootstocks that we have now -- and that includes the
19 Guardian and the Halford that's planted at Bader Farms --
20 there's really no resistance to Armillaria tabescens.
21 There's none. It does not have resistance in it. And, so,
22 peach rootstocks available today do not have resistance, so
23 they're very, very, very susceptible.

24 Q. We can take that down. We're going to shift a little
25 bit.

1 Does Armillaria have a history of infecting peach
2 trees in the Missouri Bootheel?

3 A. It does.

4 Q. How do you know that?

5 A. There are actually articles to that effect, scientific
6 articles that describe that.

7 Q. If you would turn to Tab 3 in your notebook for me,
8 please. That's an exhibit marked M594. Just let me know
9 when you get there.

10 A. I'm there.

11 Q. All right. Is this an article by Paul Steiner
12 entitled, "White Root Rot: A Threat to the Missouri Peach
13 Industry"?

14 A. It is.

15 Q. And in what year was this article published?

16 A. This is 1976.

17 Q. Is this the type of reference that scientists and
18 pathologists like yourself rely on in your field?

19 A. Absolutely.

20 Q. And is this a reliable source?

21 A. Yes, sir, it is.

22 **MR. DUKES:** Your Honor, we would request to publish
23 M594 pursuant to Rule 803.18.

24 **MS. RANGLES:** No objection.

25 **THE COURT:** You may.

1 **MR. DUKES:** Thank you, Your Honor.

2 Q. *(By Mr. Dukes)* Now, what is white root rot?

3 A. Essentially, that's just an older common name for
4 Armillaria root rot. So that's what it was called here in
5 the Bootheel area of Missouri at that time.

6 Q. And, again, this is published in 176?

7 A. 1976, that's correct.

8 Q. If we could call out 2.1, please. And why is this
9 important to your opinions about root rot and Armillaria
10 root rot on Mr. Bader's farm?

11 A. Again, it's important -- you can tell a little bit
12 about the history of Armillaria in this region. If somebody
13 says, Armillaria's not here, it's never been a problem
14 before, that would be bad. Good for the peach industry but
15 it wouldn't make any sense that we're seeing Armillaria here
16 now.

17 Historically, Armillaria has been in this region for a
18 long time, and this article actually says it's been there
19 like 70 years or something like that prior to this article
20 being published. And, so, this was 1976, so it's been
21 around here for a long time and people have been aware of it
22 for a long time. And it is -- even at that time he says,
23 "It's currently threatening the survival of many orchards in
24 Southeastern Missouri." So that was in 1976.

25 Q. And I'm going to remind you to slow down a little bit

1 because you get to leave as a witness but I have to stay
2 here as a lawyer and I do not want to get in trouble with
3 the court reporter.

4 A. Okay. I'm sorry.

5 Q. If I interrupt you --

6 A. I'll slow it down.

7 Q. -- it's well-intended.

8 Let's call up 2.2, please. How is this, what the
9 author says here in 1976, important to your opinions today?

10 A. Again, it gives this historical background. And it
11 says here, "The disease has been known in Missouri for over
12 70 years, but its contribution to severe tree losses in the
13 Bootheel orchards has only been recognized for the last ten
14 to 15 years."

15 But, again, as of 1976, he's saying it's been known
16 and it's been around for at least 70 years, so it's not
17 anything that's new in this area.

18 Q. Based on your research, how was Armillaria impacting
19 the peach orchards in the Bootheel?

20 A. Based on what he's saying and also what I observed
21 with Armillaria, it was severely impacting orchards and
22 taking some of them out of business potentially.

23 Q. Did you hear Mr. Bader's testimony about how many
24 orchards there were back in approximately 1976 and how many
25 peach orchards there are in Missouri right now?

1 A. Yes, I did.

2 Q. Now, what does this article identify as the most
3 frequent cause of white root rot?

4 A. Well, they call it clitocybe tabescens, but clitocybe
5 tabescens is an old name for Armillaria tabescens. And now,
6 actually today, since I started working on this case,
7 actually that name's changed yet again. It's now called nez
8 [phonetic] Armillaria tabescens. I'm just going to call it
9 Armillaria tabescens because that's what I'm used to calling
10 it. But taxonomists keep changing names all the times for
11 these fungal organisms. It's the same organism.

12 Q. Where does this article say that Missouri peach
13 farmers are again planting their orchards around the 1970's
14 to try to avoid Armillaria?

15 A. It actually talks about them planting on the edge of
16 Crowley's Ridge in order to try to avoid it, and so that's
17 just a comment they made. So, they're moving basically
18 peach orchards around, trying to get out of areas where
19 Armillaria would be, trying to move into newer areas where
20 maybe Armillaria is not.

21 Q. And where is Bader Farms located in relation to
22 Crowley's Ridge?

23 A. Well, it's on Crowley's Ridge.

24 Q. Did moving the peach orchards to Crowley's Ridge, did
25 that eliminate Armillaria in the Bootheel?

1 A. No. Unfortunately, again, because of the nature of
2 the disease and the fact it's associated with oak trees, and
3 there's a lot of oak trees there, it's natural that there
4 would be Armillaria throughout that area. And, so, really
5 moving it doesn't change things. It may slow down the
6 initial planting of what Armillaria you see. It may not.
7 There have been cases where people have planting behind oak
8 trees and had 50 to 60 percent of their trees die within
9 three to four years from Armillaria, so -- but it's one
10 thing that we're trying to do.

11 Q. If we could pull up 2.3, please.

12 If you look at what Mr. Steiner said here, how was
13 this important to the opinions in this case that you're
14 giving?

15 A. Again, this talks about how they can be distinguished.
16 So, it says here that, "Trees infected with clitocybe" --
17 again, this is the same Armillaria as Armillaria tabescens
18 now -- "are most easily distinguished from all other
19 disorders by the presence of tough, sometimes leathery white
20 mycelial fans or sheets which spread out between the bark
21 and underlying wood of the roots and crown."

22 So you're going to see some of that same thing.

23 Q. All right. If we could look at 3.2. And explain to
24 the jury why this reference to "infection centers" is
25 important to your opinions.

1 A. Again, we're going to see areas where the fungus
2 started and then it moves out, and so that's what we're
3 talking about by "infection centers". Be like maybe just
4 one tree gets it, but then that doesn't stay there; that
5 fungus actually moves through root-to-root contact and moves
6 throughout an entire orchard.

7 So, to read what this says specifically, it says,
8 "Spreading the pathogen in this manner often results in
9 development of pockets of several infected trees within an
10 orchard. These infection centers gradually enlarge as the
11 trees around their margins also become infected."

12 Again, that's what we have observed at Mr. Bader's
13 farms also, and you'll see that as well.

14 Q. Okay. If we could go 3.1. Now, under, "Tree Stress
15 Factors," and I believe Dr. Ford Baldwin may have testified
16 about this. Tell us why this language is important to your
17 opinions.

18 A. Well, what this says -- and I'll just read it first.
19 Then I'll tell you what my opinion is on it. So we'll read
20 it first.

21 Q. Read it slowly.

22 A. I will. I got this one down. I'm tracking with you
23 now. "Under some conditions clitocybe and Armillaria appear
24 to be aggressive pathogens capable of attacking and killing
25 healthy, vigorously growing trees. For the most part,

1 however, they are weak pathogens which attack trees weakened
2 by some stress factors."

3 There are two parts to that. And Steiner -- I'm not
4 sure exactly what he meant with all of that, but in the very
5 first part of that he says that Armillaria can attack and
6 kill a vigorously growing tree. However, in the second part
7 he seems to imply that Armillaria in peaches can be impacted
8 by stress factors, okay, so that there's some kind of stress
9 that predisposes the tree, and then it's attacked by
10 Armillaria.

11 Now, this is from 1976, and what I'm going to tell you
12 is, I disagree with him on this point. I think it's a good
13 article, but things change over time. On this particular
14 point I do not agree with him on that. Armillaria tabescens
15 does not need a stress factor in order to attack a peach
16 tree. You can have peach trees growing in beautiful
17 conditions, manicured orchards that have no health stresses
18 at all, and Armillaria tabescens will move through those
19 orchards basically like a hot knife through butter. Okay.
20 So it does not require a stress factor, so that's why I
21 disagree with this article.

22 Q. All right. We can take that down, please. We're
23 going to shift topics a little bit.

24 Where have you observed Armillaria at Bader Farms?

25 A. We've observed Armillaria throughout Bader Farms,

1 almost everywhere we've gone. There's only one orchard
2 where I've not found it.

3 Q. Did you help prepare a slide for the benefit of the
4 jury that will show where you identified Armillaria at Bader
5 Farms?

6 A. Yes, I did.

7 Q. Call up Slide 7 just for the Court, counsel, and the
8 witness, please.

9 Is this a slide that you prepared?

10 A. Yes, sir.

11 Q. Do you believe it would be helpful to the jury to see
12 this slide in light of your testimony?

13 A. I do.

14 **MR. DUKES:** We would request to publish Slide 7 as a
15 demonstrative, please.

16 **MS. RANGLES:** No objection.

17 **THE COURT:** You may.

18 Q. (By Mr. Dukes) Okay. Got Slide 7 up. Can you explain
19 to the jury what they're seeing here.

20 A. Yes. And so you see those little dots are the circles
21 before, and those circles are where we'd get out of the
22 pick-up truck, I'd take a GPS reading, and that's going
23 through all four years I was there.

24 The circles that we've taken off are when the trees
25 are in the one to two-year range, and the reason I did that

1 is you're very unlikely to see Armillaria -- I didn't note
2 Armillaria on one to two-year-old trees; the reason being is
3 the roots have to find an old root piece in some of the soil
4 with Armillaria in it. That usually takes at least two-plus
5 years. Then when it does find it, it takes a while for the
6 symptoms to develop, so I just wiped out all those. And I
7 didn't see it in young trees. I wouldn't expect to. I
8 never have seen it in young trees. It's going to take about
9 the third year before you're going to start to see it.
10 Maybe possibly the second year but almost always the third
11 year, so that's why I took those out. So those are not in
12 there. So we did remove those.

13 Everything else that's in red is where we noted that
14 Armillaria tabescens, Armillaria root rot is present in
15 those orchards. And, again, it's somewhere around -- it's
16 not necessarily on that dot or on that red circle, but it is
17 in that area and within those orchards, and so that's in
18 red.

19 There's only one orchard, and you see that one in
20 green where I did not find Armillaria in all the years I
21 visited there. So that one orchard does not have it as far
22 as I know now. Doesn't mean it doesn't have it, but we have
23 not been able to identify it.

24 Q. And, remind me, how old does a peach tree need to be
25 to be considered a mature peach tree?

1 A. For what I'm using on this graphic, I'm saying three
2 years. It's not a fully mature tree, but mature enough that
3 I can identify Armillaria, so that's what I'm calling it.

4 Q. Do you have an opinion as to whether the Armillaria
5 was present in the soil that the young peach trees were in?

6 A. It's very likely that it's present in the soil. We
7 can go back and look at Google Earth maps, and you can see
8 where some of these young trees are planted, and you can see
9 in older photos that Armillaria was present in those. So,
10 if it was there before, it's still going to be there. It
11 will come back in just that much quicker.

12 Q. Okay. We can take that down, please.

13 If you turn to Tab 4 in your notebook, it's what's
14 been marked as Defendant's Exhibit M598.0070. And just let
15 me know when you get there.

16 A. I'm there.

17 Q. Is this a picture of mycelial fans from Armillaria
18 fungus you saw at Bader Farms?

19 A. It is an example of one of those in one tree, yes, it
20 is.

21 Q. Is it a fair and accurate representation of what you
22 saw at Bader Farms?

23 A. It is.

24 **MR. DUKES:** Your Honor, we would offer Defendant's
25 Exhibit 598.0070 into evidence.

1 **MS. RANGLES:** No objection.

2 **THE COURT:** Admitted.

3 *(Defendant's Exhibit No. 598.0070 admitted)*

4 **MR. DUKES:** We'd request to publish it to the jury,
5 please.

6 Q. *(By Mr. Dukes)* All right. Teaching time.

7 Dr. Brannen, tell the jury what you're showing them
8 here from Bader Farms from your inspection.

9 A. Okay. So, I'm going to move over here for a little
10 bit so I can talk -- oh, we had a pop-up. That's good. It
11 showed up.

12 So I wanted you to see what we're looking at because
13 that's not a great photograph from the standpoint of telling
14 you what it is, but you can see now where the tree trunk is.
15 So that's, again, going down to the crown. So this area
16 right here, that's what I would call the crown. Again,
17 that's where the soil line is, so that's what we're talking
18 about, the crown.

19 Again, I would dig into the soil next to this peach
20 tree and then take a shovel and scrape off bark. And then
21 this right here, that is the white mycelial fan that is
22 Armillaria root rot. Okay? That orange discoloration that
23 you're seeing in that trunk, that's also not normal. That
24 means that tree's dying. That means that tissue is dying.
25 Normally that would be kind of a yellowish white, but when

1 you see that, that says the tree is on its way out. That's
2 what we're talking about.

3 So, again, you've got that Armillaria -- the fan
4 itself right there that was scraped off from the tree with a
5 shovel, and so that tells you what we're looking for.
6 That's my diagnostics on Armillaria for you.

7 Q. And in addition to physically cutting into the tree
8 like you just described, did you take some samples to send
9 off to be tested?

10 A. I did. And, again, if you look at that -- the bark
11 with the Armillaria embedded in it, that's what we're
12 talking about. I can take that bark, maybe from a tree like
13 this I might take two samples, but from each tree I would
14 take some bark with the Armillaria embedded in it. I do
15 that in two years, and I put that in a ziplock bag, then
16 double bag it. I always put two bags so I don't get ice
17 water into the ziplock. I double bag it, mark with where
18 the location is, what the sample is, put it on ice in a
19 cooler and keep it on the cooler until we're ready to ship
20 it off, and we overnight it FedEx to Clemson University for
21 genetic analysis to tell us it's Armillaria tabescens. So
22 that's what we did a few times.

23 Q. Who did you ship it to at Clemson University?

24 A. Dr. Guido Schnabel is a resource for the Armillaria
25 testing at Clemson University. He's a professor of plant

1 pathology there at Clemson University. He's worked on
2 Armillaria.

3 Q. Does he actually test the DNA?

4 A. He does. He tests the DNA, and then that allows him,
5 based on differences in the DNA, to tell what the actual
6 species is of the fungus we're looking. So, if it was
7 Armillaria mellea or another Armillaria or another fungus,
8 he could tell you that. But he's able to definitively
9 identify it as Armillaria tabescens, and so that's why I
10 wanted to do that. Again, this is good enough. I mean if
11 you did this day in and day out, you knew what Armillaria
12 looks like, you all could do this. I could take you out in
13 30 minutes and train you how to do it and you'd be good at
14 it. So it's not that tough. But for a court case or
15 something where you want a definitive answer on the species,
16 this is what you want to do.

17 Q. You had suggested that we consider taking the jury in
18 a van on a field trip with shovels but we're in the third
19 week of what we thought might be a two-week trial, so I
20 vetoed that.

21 A. I'll take anybody who wants go. So we can ask for
22 volunteers.

23 Q. All right. Now, what is Armillaria doing to the
24 mature peach trees at Bader Farms?

25 A. Again, it's killing those trees outright.

1 Q. Is there a specific pattern of tree death that you
2 observed at Bader Farms?

3 A. There is. Again, when you walk into it from the
4 ground, sometimes it's hard to discern what exactly's going
5 on, but it's a somewhat circular pattern. But when you look
6 at it from above, like with an aerial photograph or
7 satellite imagery, it looks like somebody took a shotgun and
8 hit the orchard. So you got pockets throughout the orchard
9 where the fungus has started, and then sometimes they grow
10 together. But that's what it looks like, so that's what
11 you're seeing.

12 Q. If we could take this slide down. Turn to Tab 5 in
13 your notebook that's marked as Defendant's Exhibit
14 M598.0038. You there?

15 A. I'm there.

16 Q. Is this a photo of a peach tree field that you took
17 when you were at Bader Farms?

18 A. It is.

19 Q. And it's representative of what you saw when you were
20 there, correct?

21 A. Yes, sir, it is.

22 **MR. DUKES:** Your Honor, we would move Defendant's
23 Exhibit M598.0038 into evidence.

24 **MS. RANGLES:** No objection.

25 **THE COURT:** Admitted.

1 **(Defendant's Exhibit No. M598.0038 admitted)**

2 **MR. DUKES:** And ask that it be published, please.

3 Q. (By Mr. Dukes) Now, tell the jury what this photo
4 shows.

5 A. So, in looking at this photo you see -- well, here and
6 here, these are some of those trees that Mr. Mitchem talked
7 about that had been cut off, so that's where Armillaria had
8 killed a tree. They go in there and they cut -- they still
9 leave the stump, but that's where it's cut off.

10 You can see in the background here, this is a tree
11 that has either just died or is in the process of dying from
12 Armillaria. But, more importantly, you see this big area
13 all around here where all those trees are dead. Well,
14 that's Armillaria, so that's where it started and moved out,
15 and it's killed all those trees. That's a pretty good
16 portion of that particular block when you look at it, so a
17 lot of dead trees.

18 And I'll point out, too, that -- I don't know the
19 exact age on these trees, but those trees are not that old,
20 so they haven't been planted that long and they're already
21 dying, already dead. So, that's what Armillaria does.

22 Q. Is this photo consistent with the infection center
23 that you discussed from the Steiner article?

24 A. It is. It is. This is what you'd expect to see.
25 This is what I see anywhere where Armillaria goes into a

1 peach orchard.

2 Q. Okay. Now, we can take that down, please.

3 Have you looked at historical satellite photos of some
4 of the peach orchards at Bader Farms?

5 A. I have.

6 Q. And if you would turn to Tab 6 in your notebook,
7 please. This is marked as Defendant's Exhibit MM530.

8 A. I'm there.

9 Q. Is this a collection of satellite photos of the
10 orchards surrounding the packing shed at Bader Farms?

11 A. It is.

12 Q. And how did you get these satellite photos?

13 A. Again, this is all through Google Earth.

14 Q. And do these satellite photos accurately represent
15 what you saw during your inspections at Bader Farms?

16 A. Yes, they do. The historical images reflect what I
17 saw of that farm, yes.

18 **MR. DUKES:** Your Honor, we would move 530 into
19 evidence.

20 **MS. RANGLES:** No objection.

21 **THE COURT:** Admitted.

22 **(Defendant's Exhibit No. MM530 admitted)**

23 **MR. DUKES:** And request to publish to the jury.

24 Q. (By Mr. Dukes) Let's pull up slide 80 first, please.

25 Now, dates are going to be important here for a while,

1 okay? So, what's the date of this photograph?

2 A. Again, this is March 9th, 1996.

3 Q. And tell the jury what's being depicted here in this
4 photograph in 1996.

5 A. If you look at this -- and, again, it's a black and
6 white, but I hope you all can see that well enough so you'll
7 understand what I'm saying. But this is the orchard -- this
8 orchard that's -- here's the packing shed. When we're
9 talking about the packing shed, that's the packing shed. So
10 that's basically I think where Mr. Bader's office and
11 everything is run out of that packing shed.

12 And then there's this big orchard here and then
13 there's a little, kind of a rectangular orchard there. I'm
14 going to be going back to those as we go through these
15 photographs. So this orchard was planted in 1994, so those
16 trees now are about two years old.

17 Q. You misspoke. 1996.

18 A. This is 1996. They were planted in 1994.

19 Q. Right, exactly. Sorry.

20 A. They were planted in 1994; this is 1996. They were
21 planted in 1994, 1996, and so these trees are now two years
22 old. And everything right now within those blocks overall
23 looks pretty uniform, okay? So they're about two years old,
24 all right?

25 Q. So you don't see any evidence of Armillaria tree death

1 from this photo; is that right?

2 A. Not within those blocks. If you look at some of the
3 other blocks, it's hard to see on the black and white. I
4 could pick out some but I'm not going to try to show that to
5 the jury.

6 Q. Let's call up Slide No. 9. Now, explain to the jury
7 what you're showing them here.

8 A. Okay. Now, again, this is the same orchard, same
9 basic view that you're seeing. Now, this is on
10 December 30th, 2003, I think is what it says here. Again,
11 here's the packing shed. And then, of course, you see the
12 larger orchard. And this one right here, kind of rectangle.

13 And what you're seeing here -- and I think you can see
14 that visually on your screen. There's a lot of pockets.
15 You can look throughout all those orchards. There's
16 suddenly just a lot of pockets of dead trees, and that's a
17 relatively short period of time for a peach orchard. Peach
18 orchards should last, healthy, at least 15 years. And,
19 again, you know, this one was planted in '94, so it's not
20 quite ten years, and yet, you've got that kind of death and
21 decline.

22 If you looked in this one, this little rectangular
23 area, there's this really obvious area where it's just dead.
24 Now, you can see that also throughout here. Now, you can
25 also observe, if you look at it, there are some low spots.

1 I know Mr. Bader did mention some low spots. I'm going to
2 talk about that again. And there's some in there, and those
3 trees are not doing well in the low spots. They don't do
4 well in low spots. They don't like wet feet, as they said.
5 And so that's not necessarily Armillaria. Armillaria also
6 does better in a wetter area, too, so you can't necessarily
7 rule it out. But, nonetheless, we'll talk about that a
8 little bit more, too. But I want you to see how much dead
9 trees you have, or how many dead trees you had, and that was
10 in 2003. And look at the pattern, okay? So get that in
11 your mind, all right? Thank you.

12 Q. Now, if we go to Slide 10.

13 All right. Tell the jury the date of this photo and
14 explain why this is important to your opinions and what
15 they're seeing here.

16 A. Again, so this is August 8 -- August 18th of 2005.
17 Okay, so, again, just a little over ten years. We're
18 looking at some of the same stuff. Look at this little
19 rectangular block and then look at this block here. Again,
20 here's the packing shed right there. That's your packing
21 shed. And just look at the amount of death that's occurred
22 in that timeframe. I mean that's a little over a ten-year
23 period, and I would estimate -- again, I'm eyeballing it,
24 but probably in the large orchard to the north there, that's
25 probably at least 50 percent of those trees are dead. And

1 when you look at that rectangular orchard, it's probably
2 more like 80 percent in a ten-year period. Okay. So,
3 that's a rapid tree death. That's not normal for a peach
4 tree.

5 Q. Dr. Brannen, this was taken August 18, 2005. So, is
6 it fair to say that this was ten years before any Xtend
7 seeds were available to be sold on the market?

8 A. Best of my understanding, that's correct. That is
9 correct.

10 Q. Is it your understanding that the cottonseed was
11 available during the planting season in 2015, the Xtend
12 seed?

13 A. That's what has been testified to, that's correct.

14 Q. And then the Xtend soybean seed was available on the
15 market in planting season 2016, correct?

16 A. That is correct.

17 Q. Okay. Now, let's take a look at Slide 11. And
18 explain to the jury what you're showing here in Slide 11.

19 A. Okay. Again, you see what's starting to happen here.
20 Well, you can see -- like some of these blocks have already
21 been ripped up and they're starting to be replanted. Here's
22 our rectangular block. It's actually -- I don't know if
23 it's replanted here. I assume it is. It must be. It was
24 replanted in 2007. So I don't know if you can see that.
25 Baby trees in there because it's replanted in 2007.

1 Here's your packing shed right there. But, again,
2 here's our big orchard up here, now you can see the death is
3 much more extensive. I mean you're at least 50 percent of
4 those trees, maybe more like 60 percent. It's hard to
5 predict, but we know that the one that's in the rectangular
6 area had to be replanted already. Again, that's an
7 abnormally short rotation on a peach tree. I'm telling you
8 that's related to Armillaria. That's what Armillaria does.

9 Q. Could we take a look at Slide 12, please. And we've
10 now moved the August 12, 2009. Would you explain to the
11 jury what you're showing them here and why it's important to
12 your opinions.

13 A. Again, let's look at this rectangular block. You can
14 see the trees now. Not really seeing a whole lot yet.
15 These are about two-year-old trees, which is, again --
16 that's what I'd expect. But when you're looking at that
17 larger block, this block up here right by the packing shed,
18 here you're probably at least 80 percent mortality. That's
19 just -- most of those trees are dead. And, again, that's
20 still not considered a normal productivity for a peach
21 orchard. It's getting close to where you want to be, but
22 most of them are dead, so you're getting closer to 15 years.
23 But, nonetheless, there's a lot of mortality there.

24 Q. In 2009, we're still roughly six years before any
25 Xtend seed can be sold on the market, correct?

1 A. That is correct.

2 Q. If we could pull up Slide 13, please. And this is
3 dated October 6, 2010. Would you explain to the jury what
4 you're showing them here and why it's important to your
5 opinions.

6 A. All right. Again, looking at that rectangular field,
7 you can start to see now -- you see these little pockets
8 forming in here? You see those trees are dying? That's a
9 pretty rapid death. Again, that was planted in 2007. This
10 is year three, and you can already see -- if you look
11 throughout that block, you can see quite a few trees are
12 dead. That's what you expect, Armillaria root rot. Those
13 trees that were left where the roots were left in the soil
14 from the previous planting, it's just going to make it that
15 much faster, and so that's typical.

16 And, again, you still got that large block up there
17 above, but I think it's going to be ripped out in 2010
18 actually, so that's the date that was provided for us. It
19 was actually ripped out in 2010. But you can see how
20 decimated it is at this point.

21 Q. If we could call up Slide 14, please.

22 A. I guess I could just leave my rectangle. It looks
23 like it would be the same spot. But, anyway, again --

24 Q. Lets me ask you a question. Okay. So we've now moved
25 to February 29, 2012. What are you showing the jury here?

1 A. Okay. Again, you're looking at that small rectangle.
2 You see there's more and more death. You see this area
3 here. You got little pockets here and there. And then this
4 larger orchard has now been replanted, and you can see -- if
5 you look at it, you can see, this is a low area right here.
6 You can see some of the low areas. There's some little low
7 areas that are -- or you can actually pick up in there, but
8 that's been replanted. So I want you to understand what the
9 difference is between low areas and then areas where the
10 Armillaria is, okay?

11 Q. All right. If we could pull up Slide 15, please.
12 This is slide dated February 26, 2014. And would you
13 explain to the jury what you're showing here?

14 A. Again, same orchards. Here's your replant from 2007,
15 and you can really see how this is grown out now. See these
16 pockets? Look at all the dead trees in here. I don't think
17 I have to really point it out to you. It's pretty obvious
18 you've lost a lot of trees by 2014 in that orchard. And,
19 again, that's only a seven-year-old orchard, so that's a
20 very, very young orchard.

21 And then above you see the new replant that was in
22 2014 of the other trees, so that's when they were planted,
23 or had been planted, previously planted. And they're
24 growing out, too. But you can't get -- you can see a little
25 bit of the pocket starting to pick up, but it's not as

1 obvious. Again, you got to give it a little bit of time.

2 Q. Now, so far, all the photos that you've discussed with
3 the jury have been before the 2015 planting season, so
4 they're before any Xtend seed is on the market. Is that
5 your understanding?

6 A. That is correct. That is correct.

7 Q. Let's take a look at Slide 16. And tell the jury what
8 you're showing here.

9 A. Again, this is 2015, obviously, and here's that block.
10 I mean you've probably lost maybe 40, 50 percent of your
11 trees already from that. And then the other thing, you look
12 at this large block up here now, and you've already got a
13 tremendous amount of loss on those trees. Those are planted
14 probably around 2011, I think is right. It's either 2011 or
15 2012. And, so, with that block of trees, you've already
16 lost a huge number of trees. And so that, again, is
17 representative of what Armillaria's doing at Bader Farms.
18 And it didn't just start doing it today; it's been doing it
19 for years and years and years. This is nothing that hasn't
20 been there. I don't know if it's been identified as a
21 problem for them, but there's nothing new about Armillaria
22 root rot in that farm.

23 Q. And is there a specific pattern that you can point out
24 to the jury as far as the tree death?

25 A. Again, it looks like you took a shotgun to it at this

1 point, but you can really see -- and we're going to see this
2 a little bit more in a minute, but you can see that big open
3 circle, and all in there, there are lost trees, and all
4 through here, too. You can see all these trees that are
5 being lost. There's just a lot of them. Usually it starts
6 again as a pocket and moves out, and those kind of all grow
7 together at some point, but that's what you're seeing.

8 Q. We can take that down, please.

9 Now, Dr. Brannen, you've been in the courtroom for
10 several days. You've seen a lot of testimony in trial,
11 correct?

12 A. I have.

13 Q. And do you recall Mr. Bader testifying about two
14 aerial drone videos with Bader Farms?

15 A. Yes, sir, I do.

16 Q. Were you able to make some of your own observations
17 based on those videos?

18 A. Yes, sir.

19 **MR. DUKES:** Okay. Your Honor, these are in evidence
20 as Plaintiffs' Exhibit 2116, the videos.

21 Q. *(By Mr. Dukes)* Let's take a look at the first video.
22 I'm going to stop it periodically and let you comment on it,
23 okay?

24 A. Yes, sir.

25 Q. All right. Let's call up Slide 17, please. And it's

1 going to have two sessions to it.

2 Now, can you please tell the jury what you're showing
3 here and why it's important from these videos.

4 ***(Playing videotape, Plaintiff's Exhibit 2116, for the***
5 ***jury)***

6 A. So that's that same larger field you all have been
7 looking at. This is in 2018, if I'm not mistaken. And you
8 can look down, you can see Armillaria pockets. You can also
9 see some lower areas also, and I've talked about that. And
10 we'll -- I'll point those out to you in a second. There's
11 the packing shed right there in the lower right-hand corner.

12 Q. All right. If we could play the second part of this
13 one slide, please. What are we seeing here?

14 A. Again, you see that lower area that you talked about,
15 but it's not all low. Then you look back here. This is
16 that rectangular patch that's right behind -- I think I can
17 show it here like this, too. But you see that? That's that
18 huge loss that we were talking about in that rectangular
19 section that we had seen previously. So you saw it in the
20 first planting, you see it in the second planting, and here
21 with this drone you can see what it really looks like. So
22 you can see all these trees that are in the process of
23 dying. You see some of these little -- like I'm -- these
24 trees right here, you can see some of those are dying. You
25 can see the ones that are left in that circle. They don't

1 know it, but they're dead. It's just a matter of time now.

2 Q. Okay. We can take that down. I always hate to go
3 backwards, and I won't do it often, but I'd like to go back
4 to Slide 15 for you to illustrate something. If we can pull
5 up Slide 15.

6 Now, this is a still photo dated February 26, 2014,
7 that you had mentioned earlier. What does this show in
8 relation to the video that we just saw?

9 A. Again, if you look in behind here, there's the packing
10 shed. You look behind here and you start looking in here,
11 that's that open area that we're looking at in the video.
12 So, that's the same area. And, again, it's just keeping
13 expanding and expanding more and more.

14 Q. And that's in 2014, before any Xtend seed was able to
15 be sold on the market, correct?

16 A. Oh, absolutely. All these photographs are before.
17 All these Google Earth photographs, before that.

18 Q. If we could call up Slide 18, please. We're moving
19 forward. We're going back to the drone video, and would you
20 tell the jury what you're showing them here and why it's
21 important.

22 A. Okay. So this is looking back towards the packing
23 shed. And here you see, again, some of these areas with
24 death in the -- you can see multiple pockets, and these are
25 not in those low areas. You remember the low area's over

1 here, so that is a -- that's kind of like a stream bed or
2 something like that. You don't see any trees there, but
3 then you see all these pockets here. See a pocket here, a
4 pocket here, a pocket here, here, here. All of that's where
5 Armillaria has been killing those trees, so that's what I
6 expect to see.

7 On my first visit I got out of the truck, wandered
8 around there. That's where I first saw Armillaria is over
9 there in that orchard across the road, so it's not far.
10 There's a lot of Armillaria in all those trees over there,
11 too, but that's where I first identified it. It didn't take
12 me more than about a half-hour before figured out that
13 Armillaria was killing trees.

14 Q. We could call up Slide 20, please. And explain to the
15 jury what you're showing here from the drone video.

16 A. That's the same one we just saw.

17 Q. Well, then, why don't we call up --

18 A. I think we skipped one, maybe two.

19 Q. Why don't we call up Slide 21. How about that? Tell
20 the jury what you're showing here.

21 A. Just like groundhog day. All right. So, here, as
22 you're going up, this is, again, an Armillaria hot spot.
23 And this is a classic as far as Armillaria because, again,
24 if you look at these trees here, look at that tree and that
25 tree, you can kind of see those are yellowed out and bronzed

1 out. They're starting to die or dying. You got a tree over
2 here that just died. There's some right there, there. So,
3 and you got all -- the stumps where the trees have already
4 died. You can kind of pick up this pattern that you're
5 seeing. That's what I'm talking about by a circular
6 pattern.

7 I like this video because it allows me to show all
8 those things to you. And I could tell you that if I was
9 picking a tree to go with a shovel, you don't want a dead
10 tree; you want a tree that's in the process of dying. So
11 one of these that I picked out had that kind of yellow
12 discoloration. I'd rather have a few where the scaffold
13 limbs are dead. That's the kind of tree you'd want to pick
14 out.

15 Q. Now, we could go on and show other orchards. I mean
16 you saw Armillaria root rot in orchards beyond this one,
17 correct?

18 A. I did. I did.

19 Q. We chose to use this for illustrative purposes so we
20 wouldn't go on and on?

21 A. That's correct.

22 Q. Now, during the course of your inspections, you've
23 seen evidence of Armillaria below the ground at Bader Farms,
24 correct?

25 A. Yes, sir.

1 Q. You've seen evidence of Armillaria at Bader Farms at
2 the ground level, correct?

3 A. Yes, sir.

4 Q. And you've seen evidence of Armillaria looking down
5 from a drone onto Bader Farms, correct?

6 A. Yes, I have.

7 Q. Have you seen clear evidence that Armillaria root rot
8 has been killing peach trees at Bader Farms for at least a
9 decade before Xtend seeds were ever able to be sold on the
10 market?

11 A. Yes, sir, I have.

12 Q. Is there any doubt in your mind about that?

13 A. There's absolutely no doubt in my mind that's what
14 that is.

15 Q. Have all the opinions that you testified to today been
16 given to a reasonable degree of scientific certainty?

17 A. Yes, sir.

18 Q. Were you sitting in the back of the courtroom when
19 Dr. Ford Baldwin testified on Thursday and Friday?

20 A. I was.

21 Q. Do you recall Thursday afternoon Mr. Miller asked
22 Dr. Baldwin:

23 *"Q. Now, you agree that Armillaria is present in Bader*
24 *Farms, correct, Dr. Baldwin?"*

25 *"A. Yeah. I don't deny that."*

1 Do you remember that?

2 A. I do remember that, yes, sir.

3 Q. You remember Friday morning when Mr. Anderson was
4 cross-examining Dr. Baldwin and asked the question:

5 "Q. *Armillaria tabescens* is present at Bader Farms,
6 isn't it?

7 "A. *It is present at Bader Farms, yes.*"

8 You remember that?

9 A. I do remember that, yes, sir.

10 **MR. DUKES:** Dr. Brannen, those are all the questions I
11 have right now. Thank you very much.

12 **MR. ANDERSON:** No questions, Your Honor.

13 **CROSS-EXAMINATION**

14 **QUESTIONS BY MS. RANGLES:**

15 Q. Good afternoon, Dr. Brannen.

16 A. Good afternoon.

17 Q. Dr. Brannen, we have met on numerous occasions,
18 correct?

19 A. We have.

20 Q. You and I have been at Bader Farms at the same time
21 when you all were conducting inspections, correct?

22 A. Yes, ma'am. You seen me sweat at Bader Farms, yeah.

23 Q. And I also deposed you back in the summer, correct?

24 A. You did.

25 Q. I want to ask you just some follow-up questions with

1 respect to the kinds of things you were talking about with
2 Mr. Dukes. Is that all right?

3 A. That's fine.

4 Q. All right. So you testified you're a plant
5 pathologist, correct?

6 A. That is correct.

7 Q. And you don't know a whole lot about dicamba, correct?

8 A. No. That's not my area of expertise, no.

9 Q. And you've never done any studies on dicamba, correct?

10 A. I have not.

11 Q. You do know that a herbicide will stress a tree
12 though, correct?

13 A. I agree with that.

14 Q. And you know that dicamba will stress a tree, correct?

15 A. My assumption is it would. I don't have any direct
16 walking around knowledge that it would, but I assume it
17 would, yes.

18 Q. And, so, you're not an expert, you said, on herbicide
19 injury to peach trees or any other plant, correct?

20 A. No, I'm not an expert. I would say, again, that I
21 have the ability to do diagnostics. And, so, if I see
22 something that looks like a herbicide injury, I'm at least
23 able to identify those types of things, but at that point I
24 would always refer to a weed scientist for additional input.

25 Q. And you've never diagnosed dicamba injury on any plant

1 before, have you?

2 A. I've not diagnosed it on plants. Just like when
3 Mr. Mitchem, I've seen dicamba on peach trees before in
4 research trials. You mentioned some of the trials that
5 Georgia had. I've seen those trials. I've actually seen
6 the injury that it does and so I know what it looks like.

7 Q. Okay. And, so, you don't have any experience with
8 dicamba with respect to its volatility, any of that
9 research?

10 A. I do not.

11 Q. And what about drift, either vapor drift or physical
12 drift, you don't have any knowledge or expertise in that?

13 A. Well, I mean it's not my area of expertise. I've seen
14 drift of herbicides before, I've observed drift, but I
15 don't -- it's not my area of expertise to talk about it if
16 that makes sense.

17 Q. You understand that there's physical drift and vapor
18 drift, correct?

19 A. Based on the testimony that's been given, it seems
20 there's two different kinds of things that are being
21 addressed. Again, it's not my area. I won't testify one
22 way or the other.

23 Q. And, understand, I'm just trying to make sure
24 everything is clear.

25 A. Right.

1 Q. And -- but you would agree that Dr. Baldwin is
2 qualified to make conclusions about what's happening at
3 Bader Farms, correct?

4 A. I agree he has the background as far as his weed
5 science credentials. He has very excellent weed science
6 credentials, so he can make conclusions. I just disagree
7 with his conclusions, I guess is what you'd say.

8 Q. And you don't have any idea what dicamba does to a
9 plant at the cellular level, correct?

10 A. Not exactly, no. I mean I know it's an auxin
11 mimicking type of herbicide, but when it gets to that level
12 of physiological type stuff, I would not be in any way
13 capable of commenting on that.

14 Q. And you're not at all familiar with the growing body
15 of research on the effects of dicamba on sensitive plants,
16 correct?

17 A. No, ma'am.

18 Q. You don't have any knowledge of any research results
19 on the effect of multiple exposures of dicamba on sensitive
20 plants, correct?

21 A. I'm not aware of any, but as far as I know, there's
22 nothing out there. If there is, I'm not aware of it.

23 Q. And I'm not meaning just with respect to peach trees.
24 I mean anything. You're not familiar with the growing
25 research on the effect of multiple exposures of dicamba on

1 sensitive plants?

2 A. I am not.

3 Q. So is the sum total of your experience -- I'm sorry.
4 Strike that.

5 Is the sum total of your knowledge about the research
6 on dicamba with sensitive plants, is that the Prostko and
7 Bradley studies we've been talking about earlier today?

8 A. No. I mean there's another study that Mr. Mitchem did
9 in Georgia as well, so I've reviewed that study, the Prostko
10 study, and I've read the Dentelman article and looked at
11 some of his slide sets, stuff like that, and talked to some
12 of those folks.

13 Q. And Dentelman, that's the same one as Kevin Bradley?

14 A. It's his graduate student who did the work.

15 Q. I don't want to replot this ground, no pun intended,
16 but -- because we covered it a lot in your deposition, but I
17 do just want to ask, since the jury is here: We talked a
18 lot in your deposition about the differences between
19 Southeast Missouri and Georgia, correct?

20 A. We did.

21 Q. And you would agree that Southeast Missouri, the
22 Bootheel in particular, has some different cropping systems
23 than Georgia, correct?

24 A. If you're referring to like soybeans and cotton?

25 Q. Yes, sir.

1 A. Really it does, it does, it does. And we do have
2 soybeans and cotton, we do have especially cotton planted
3 near some peach orchards, but we don't have nearly the
4 soybeans that you guys do.

5 Q. But you all don't have that much in the way of
6 soybeans throughout the whole State of Georgia?

7 A. No, we do not, not by comparison. We plowed that
8 ground already.

9 Q. And I promise you this is not a pop quiz, but can you
10 name for me the top peach producing counties in Georgia?

11 A. I think I can. Macon, Crawford, Peach -- Macon,
12 Crawford, Peach. And then there's one more I'm trying to
13 think of. But, anyway, there's four of them right there
14 together.

15 Q. Is Taylor one of them?

16 A. Taylor. That's it. That's it, right.

17 Q. And are you aware that in 2018, only Peach County
18 produced any soybeans in Georgia, of the top ten peach
19 producing counties, only Peach produced any soybean?

20 A. I'm not aware one way or the other on that. I don't
21 know anything about that.

22 Q. Only 2200 acres, does that sound familiar at all?

23 A. It's possible. I would not refute it.

24 Q. Are you also aware that only Macon County grew cotton
25 in 2018 in Georgia? Of the top ten peach producing

1 counties, only Macon --

2 A. I'm not aware of it, but, again, if you want to show
3 me that, that's fine, but, otherwise, I'm not going to argue
4 about those things at all.

5 Q. Like I said, we talked about this quite a bit in your
6 deposition, so I'm not --

7 A. We went round and round on that one.

8 Q. Have you read -- I believe you said you've read the
9 label for the XtendiMax with VaporGrip?

10 A. At some points I have read parts of the label. I have
11 not read the label in a long time, so -- I didn't read the
12 label preparing for this trial, I'll put it that way.

13 Q. Do you recall that the label says that all fruit trees
14 are sensitive to dicamba?

15 A. I don't recall that, but, again, it would not surprise
16 me if you're telling me that's what the label says.

17 Q. It does. But I kind of --

18 A. Do you have a copy of the label where it says that?

19 Q. I'm sure we could pull it up, but if -- just take my
20 word for it, it says that on there.

21 A. Okay.

22 Q. If you want to see it, I can show it to you.

23 A. Well, it make sense the fruit trees would be sensitive
24 to it, to me.

25 Q. So I want to get your Power Point presentation here.

1 We can go to page -- I believe it's page 4.? Yes. And you
2 have here listing -- you've listed other factors that -- and
3 I apologize, I don't remember what exhibit this is. But
4 other factors causing peach tree stress and mortality at
5 Bader Farms. And it's now popped up on the screen.

6 So you've got listed, what, 12 or 13 different things
7 here?

8 A. Quite a few of them, yeah. I think so. Thirteen I
9 think is right.

10 **MS. RANGLES:** Could we publish it to the jury as well?

11 **MR. DUKES:** No objection, Your Honor.

12 Q. (By Ms. Randles) Okay. Sorry, doctor.

13 A. I think it's 13 is what I'm counting.

14 Q. I'm not going to go through all of these but I do want
15 to talk to you about a few of these. I'll do it in brief
16 here and then ask you some more questions about it.

17 A. Okay.

18 Q. Let's start with the first one: Improper planting
19 depth in young trees. That's the very first one there.
20 Now, you've testified, and I believe Mr. Mitchem's testimony
21 also was that Mr. Bader is not planting his trees properly,
22 correct?

23 A. Correct.

24 Q. But in all of the photos that we've seen, the aerial
25 photos that you showed, we can look at the peach production,

1 it seems that Mr. Bader always has trees in production,
2 correct?

3 A. He does, but what we don't see in those aerial photos
4 that I showed you, there's some of those orchards where we
5 visited that most, if not all, the trees were dead, the
6 young trees. And so, you know, it's not a hundred percent.
7 I'm not saying that. It's just one of the things that's
8 there. So there's some orchards where you do have death due
9 to improper planting depth.

10 Q. So, I understand that you're not saying that every
11 single tree was dead in those young orchards. But the
12 question though is that you are saying that Mr. Bader has
13 his trees now that you've seen are improperly planted,
14 correct?

15 A. The ones that we've seen that are young trees where I
16 could check that, I'd say they're improperly planted, yes.

17 Q. Okay. But Mr. Bader has been planting trees in his
18 orchard since sometime in the early 1980s. I would think
19 even before that, but certainly after he acquired Bader
20 Farms in the eighties, he has been planting trees that whole
21 time, correct?

22 A. Yes.

23 Q. So those trees apparently have been viable and have
24 been living and producing trees, correct?

25 A. They have.

1 Q. And we can look at the yield history for 2002 through
2 I guess 2019, but certainly 2002 to 2014. We've got a
3 demonstrative there that shows his peach production,
4 correct?

5 A. You do.

6 Q. And, so, if Mr. Bader has been planting trees all
7 along, how would you assume that he's suddenly forgotten how
8 to plant trees?

9 A. We just have a disagreement on how you plant a tree,
10 and so that's how we say it. And, so, Mr. Bader is using --
11 he said this in his deposition: He plants at the ground
12 line. I think in one case he said he might plant an inch
13 above the ground line. But you shouldn't plant at the
14 ground line. That's one of those things. I respect
15 Mr. Bader, but if I was advising him -- I advise Georgia
16 producers on this, too.

17 And, by the way, some Georgia producers do plant their
18 trees too deep. They've had the same problem. They've
19 had -- some of their trees have died. But I what I advise
20 people to do is use the same planting depth they came from
21 the nurseries is where these plant them. So always use the
22 same ground line, whatever the ground line was from the
23 nursery, you use that as your ground line when planting.
24 When you don't do that and you put them in -- and let's say
25 you do put them in like Mr. Bader said, and you put the

1 grafting in at the ground line or just above the ground
2 line, you've already planted them at least three to
3 four inches too deep. And then if you get a rain after that
4 and it sucks them down a little bit more, then they're
5 really too deep, and that's often time when you get in
6 trouble.

7 Q. And I understand that, and I appreciate your answer.
8 So my question though is that if Mr. Bader has been planting
9 trees since -- let's just say the 1980s, okay?

10 A. Right.

11 Q. And his trees have been living, clearly, correct?

12 A. They have, yes. They have lived and they will live.
13 I'm not saying it's going to kill trees every time. It will
14 always stress trees. I'll make a flat statement: When you
15 plant them at the ground line, it will always stress the
16 trees. But if you get certain conditions, especially if it
17 rains a lot, along with them being planted too deep, then
18 you don't have enough oxygen in the root zone, and that's
19 when they die en mass and that's -- again, I haven't
20 observed it every time. But they will come back. If
21 they're planted at the ground line, the roots will actually
22 move back up or put on new roots, and you can overcome that.
23 It can also wipe out a block. And I've seen that in Georgia
24 at least two or three times. We had one of our producers
25 who consistently did that. About two or three years ago he

1 lost just about every tree he planted that year because he
2 did that. He said he would not do it again.

3 Q. All right. And I think that's interesting, but my
4 question is still: If Mr. Bader has been planting trees the
5 same way for decades, it doesn't make any sense that the
6 trees would suddenly be dying, as you are -- you're
7 theorizing from his inadequate, poor planting?

8 A. It doesn't happen every time, that's what I'm saying.
9 You'll get away with it for a lot of the time.

10 Q. Have you read the testimony in this case, deposition
11 testimony where Mr. Bader talked about the tree planting?

12 A. Yes, ma'am, I have.

13 Q. And so you are aware then that they have mechanisms
14 and procedures in place to account for if there is a rain
15 and someone goes back through and checks on the trees?

16 A. I did read that.

17 Q. Okay. So they've been doing this the same way for
18 decades, correct?

19 A. They have. But, again, if you pull it back up to
20 the -- where the graft union is still at the ground line,
21 even if it rains and you pull it up back up, it's still
22 planted too deep. That's what I'm saying.

23 Q. I understand what you're saying. What I'm saying is
24 it makes no sense that Mr. Bader has been doing this the
25 same way for decades, and extraordinarily successful. What

1 you're saying now is all of a sudden his procedures for
2 planting are woefully inadequate?

3 A. Again, let me restate what I'm saying for you. What
4 I'm saying is, his method -- he's not going lose trees every
5 time with what he's doing right now, but if you get into a
6 wet situation in particular, then you'll have low oxygen
7 root zone, and you can lose trees. We didn't see him losing
8 every orchard that he planted, so that's not what I'm
9 saying. I'm talking about on the new plantings. But when
10 we did see like trees dying en mass and sometimes showing
11 some of the symptoms that were purported to us as being
12 dicamba, it wasn't that; it was the trees were dying because
13 they were planted too deep.

14 Q. But Mr. -- I'm sorry, not Mr.; Dr. Brannen.

15 A. That's okay.

16 Q. It is -- it still, I think, defies logic that all of
17 these young tree deaths would coincide with the release of
18 the Xtend system. Wouldn't you agree with that?

19 A. Well, I don't know that because, again, I wasn't here
20 in 2004 or 2005, so I don't know if he's lost trees before
21 to planting depth issues, I mean, so I can't testify to that
22 one way or the other.

23 Q. He's testified that planting depths is not an issue
24 before, and he doesn't believe it is now. So, based on
25 that, it would have to be a miraculous coincidence that all

1 of a sudden Bill Bader has forgotten how to plant trees?

2 A. I think the way he's been planting them all the
3 time -- if he's been planting them that way, that would be
4 incorrect. I would advise him to do it a different way,
5 I'll put it that way. So that would not change.

6 Q. Let's -- I just want to ask you about -- let's go all
7 the way down to the next to the last one from the bottom,
8 this farm implement damage one. I asked you about injury to
9 trees extensively in your deposition?

10 A. You did.

11 Q. And, as I recall, you would not admit that me walking
12 up to a tree and hitting it repeatedly with a hatchet or
13 machete -- I can't remember which one I said, but you
14 wouldn't admit that that would cause injury and stress to a
15 tree. Now, here, we've got farm implement damage here from,
16 you know, a mower. And I don't understand how this would
17 injury would be different than me walking up to a tree,
18 hitting it with a machete.

19 A. No. I mean -- and let me clarify. I think I also
20 said that if I was a tree, I wouldn't want to get hit with
21 an ax or machete either. I mean, so the damage is not a
22 good thing for a tree. So if you hit something and put
23 damage in there -- I think we were talking about stress in
24 particular. So you were asking me if it stressed the tree
25 and I said I don't know that it stresses the tree because

1 the tree may heal from it just fine. If the tree heals from
2 it, it may not cause significant stress to the tree. It is
3 a damage point, and if you get damage on a tree like that,
4 then you can get various canker diseases like leucostoma,
5 cytospora, and these other fungal diseases that would come
6 in on that, botryosphaeria, too. Those are organisms that
7 will come in on damage tissue and cause a canker, and that
8 will weaken the tree, and so that -- it can kill the tree
9 out right.

10 Q. I'm sorry. But your title here is, "Other Factors
11 Causing Peach Tree Stress and Mortality." So I specifically
12 spent an inordinate amount of time asking you whether or not
13 hitting a tree with an ax or a machete or hatchet would
14 cause the tree stress, and you were unwilling to admit that.

15 Now here we have farm implement damage as one of the
16 sundry causes of the damage that's occurring at Bader Farms?

17 A. It is, but I'm also referring to it as mortality as
18 well, so it's stress and mortality. Maybe I should have
19 said "stress or mortality." But in this case it is actually
20 damage that can allow another pathogen to come this there,
21 and that's what I'm referencing.

22 Q. But you do admit that herbicide injury to a tree can
23 cause the tree to be stressed, correct?

24 A. Yes, ma'am, herbicide injury can cause a stress to a
25 tree.

1 Q. And herbicide injury can also -- like other stress of
2 a tree, herbicide injury can cause that tree to be
3 susceptible to many other things, correct?

4 A. Well, that's an area I'm not familiar with. I'm not
5 comfortable testifying about this one way or the other. You
6 have to look at the particular herbicide, what the
7 interaction is you're looking at, and so that would require
8 research. And, I don't know. I'm not an expert in
9 herbicides, so I couldn't tell that you. And I don't know
10 of any research that's been done in that area. May have
11 been research done. I'm just not familiar with that
12 research.

13 Q. There has been research done in the area of herbicide
14 interaction and how it causes additional stress to a tree.
15 But your testimony is, you're not familiar with that with
16 respect to any plant; is that correct?

17 A. I am not, no.

18 Q. Would it surprise you to know that Dr. Baldwin is very
19 familiar with that?

20 **MR. DUKES:** Objection. Beyond the scope. He's been
21 very clear that he's not here to testify about herbicides,
22 and we intentionally didn't discuss that on direct.

23 **THE COURT:** I'll overrule it for now.

24 Q. (By Ms. Randles) Now, Dr. Brannen, you said, during
25 Mr. Dukes's examination of you, you were -- think you

1 described yourself as a mud-on-the-boots kind of professor
2 or something like that?

3 A. That's one way to describe it, yeah.

4 Q. So I guess if you're out with farmers -- are you out
5 with farmers who are -- say they've been in the business for
6 decades. When you're out, are you out talking to farmers
7 who have been doing -- whether it's orchard management or
8 what-have-you, are you talking to them?

9 A. I am. Especially in peach production, you've got
10 farmers that have been in existence for over a hundred
11 years.

12 Q. And, so, if -- when you encounter someone who's been
13 in the business for decades, there is a certain level of
14 respect that you have for that person, correct?

15 A. Oh, yes, ma'am.

16 Q. And they've been in the business for decades because
17 they obviously know what they're doing, correct?

18 A. They do know what they're doing to some degree, for
19 sure, yes, ma'am.

20 Q. If they've been able to survive -- you would admit
21 that farming is a tough business?

22 A. Yes, ma'am. Farming is a tough business.

23 Q. And, so, if someone has been able to survive in the
24 industry for 50 years, they've got to be doing something
25 right, correct?

1 A. They've got to be doing something right, but they
2 could also be doing something wrong.

3 Q. -- something wrong, right?

4 A. Yeah, but what I'm getting at is, even those
5 farmers -- and, again, you have to be respectful. You
6 understand what I mean by that. If I go into a farmer's
7 facility, a peach grower's facility, and I tell him, *look,*
8 *you know, you're doing this wrong, I think you need to*
9 *correct that,* I have to be careful about how I approach
10 that, obviously. But just because they've been farming
11 peaches for a hundred years or however long in their family,
12 it doesn't mean we can't teach them something new. So we
13 generally learn from each other. I'd say it's a two-way
14 street. I'll listen to them. They tell me what their
15 problems are, and then I try to solve those problems.
16 That's how it often works. But, also, they're -- we're
17 always learning. And part of my job is to take the
18 information that I learn -- I may learn it somewhere else
19 and bring it back to them, so they can learn from even me.

20 Q. Okay. So when you said, "we are always learning," you
21 mean you and farmers, correct?

22 A. Yes, that's correct. All of us are.

23 Q. Okay. So just because something is not within your
24 playbook about how to do things doesn't mean it's incorrect,
25 right?

1 A. I'm not always right either, but generally I know
2 within the pathology area on peaches, I'm pretty well-versed
3 in what should be done.

4 Q. Well, that's not actually an answer to my question.
5 My question is: Just because it's not in your playbook
6 doesn't mean it's incorrect, right?

7 A. That would be a good -- yes, I agree with that.
8 Because, again, I can be wrong, too, so that's true.

9 Q. And I just want you to clarify something for the jury
10 because I thought that you said, when you were inspecting
11 Bader Farms, that Mr. Bader took you all to all the orchards
12 that he wanted you to see. I think that's what you said.
13 Is that right?

14 A. Well, the ones in which -- you know, he led us or
15 somebody -- you all led us, or he led us. Somebody led us.

16 Q. But Mr. Bader wasn't choosing which orchards you can
17 see and which you weren't; you all were permitted to see the
18 entire orchard, correct?

19 A. Well, sometimes we were not able to see all the
20 orchards. The first visit in particular, we just didn't
21 have time. You know, we were limited in our time, and we
22 also looked at other things other than peaches for a period
23 of time as well. On the other visits we were also limited
24 by our time. When I say limited by the time, there was, I
25 guess, some type of agreement in place for how long we could

1 be there, as far as I know, but I think we saw every orchard
2 that he had, again, that he wanted us to see. The only ones
3 that -- I think there were some off-site orchards somewhere,
4 I think that's true, that we didn't see, but I don't know
5 that. Is that not true? Did we see all the orchards?

6 Q. Any orchard -- I mean I can tell you any orchard that
7 defense counsel wanted you all to see, you saw. So I think
8 your testimony is that you do believe you saw all the
9 orchards, correct?

10 A. Again, not in the first visit.

11 Q. No. But I mean over the subsequent --

12 A. Yes, yes. I think we've covered them all.

13 Q. And, so, during -- just so the jury has an
14 understanding of what these inspections were like, during
15 these inspections you all weren't sort of running from this
16 orchard and then spending an hour in an orchard, two hours
17 in an orchard, and then going over to the next, correct?

18 A. In some cases we probably spent at least -- I don't
19 know. Sometimes we probably spent 45 minutes in an orchard.
20 Some of them are big. We spent an hour in some of them. So
21 I mean on the first visit we saw eight peach orchards; on
22 the second visit we saw 32; and then on the third and
23 fourth, I think each time we saw 27. I don't know how that
24 worked out. I guess pushed up or something. But, anyhow,
25 that's a lot of orchards in two days, and some of those are

1 hundreds of acres. So we did spend a lot of time on the
2 ground at some sites.

3 Q. That's my point though. Recall, I was there for the
4 vast majority of those inspections.

5 A. Yes, ma'am.

6 Q. And, so, we would then have to get in the car and
7 drive to the next orchard, correct?

8 A. Yes.

9 Q. Then there was a set-up process and determine where
10 you all were going to inspect, correct?

11 A. Yes, ma'am.

12 Q. And then there were some various discussions among you
13 all as we're standing at trees and taking photos, correct?

14 A. Correct.

15 Q. You weren't actually physically getting out and
16 walking 100 acres or 40 acres of orchards?

17 A. Well, we walked a lot of them. In the course of the
18 three years we were there, we have actually physically
19 walked through a whole lot of those orchards. I mean
20 physically we have. I would say that in every visit we did
21 not necessarily. It kind of depended on what we were
22 looking for that at that visit and what we were
23 concentrating on. But we covered a lot of ground. I think
24 in one -- I think one of the guys that was with us had one
25 of these things where you can measure how far you walked. I

1 know one day we walked like ten miles.

2 Q. Well, we walked around -- I was there that day. We
3 walked around the lot of the edges of the orchards that day,
4 correct?

5 A. I don't remember walking the edges of the orchards. I
6 remember walking through a lot of orchards on that day, but,
7 nonetheless, we walked through a lot of orchards.

8 Q. Dr. Brannen, I want to switch to your views on
9 Armillaria.

10 A. Okay.

11 Q. I think you said you know it when you see it, correct?

12 A. I do.

13 Q. Think it's fair to say Dr. Baldwin knows herbicide
14 injury when he sees it?

15 A. I would assume Dr. Baldwin is competent in his field.
16 Again, I disagree with his conclusions here, but he is a
17 weed scientist, and so I defer to our weed scientists -- or
18 not our weed scientist, but Mr. Mitchem in the back. And
19 he's the one I've worked with in Georgia and so I defer to
20 him.

21 Q. But -- and as Mr. Dukes and you pointed out in your
22 direct examination, Dr. Baldwin's not saying that there is
23 not Armillaria root rot at Bader Farms, correct?

24 A. He did say there's Armillaria root rot, that's
25 correct.

1 Q. So he is acknowledging that there is the presence of
2 Armillaria in some of the peach blocks?

3 A. He is.

4 Q. Okay. So the dispute then is really why it's there
5 now, correct?

6 A. Why is there now Armillaria?

7 Q. Yes, sir. That is the disagreement between your
8 opinion and Dr. Baldwin's, correct?

9 A. I'm not sure that we have a disagreement. I'm sorry,
10 I don't understand your question.

11 Q. Well, let me walk through it then.

12 Dr. Baldwin's opinion is that Armillaria are mostly
13 weak pathogens, correct?

14 A. That's Dr. Baldwin's opinion.

15 Q. That's my question. That's what Dr. Baldwin's opinion
16 is, that they are mostly weak and opportunistic pathogens?

17 A. I'm not aware that's his opinion, but if he said that,
18 that would be his opinion.

19 Q. And, in fact, in the Steiner article that Mr. Dukes
20 pulled up for you a few moments ago, it does say that they
21 are mostly -- or I can't remember the phrase exactly, but
22 they are -- they tend to be weaker pathogens, correct?

23 A. You know, let me --

24 Q. Just if you could answer my question. Is that what
25 that Steiner article says?

1 A. That's what the Steiner article says.

2 Q. Okay. As I recall from your expert witness report,
3 you said something like, *Paul Steiner has an excellent*
4 *review of Armillaria tabescens*, correct?

5 A. In the Missouri Bootheel, that's correct.

6 Q. Right. And nowhere in that expert report did you say
7 he has an excellent review of this, except that I don't
8 agree with this portion where he refers to it as a weak or
9 opportunistic pathogen, did you?

10 A. I don't remember saying that in my expert report;
11 however, I would say that I disagree with that particular
12 portion.

13 Q. Okay. And I understand that that's what you're saying
14 today, but my point is just that you -- in your report, you
15 cited to that article and you never stated once that you
16 disagreed with that, correct?

17 A. I don't remember stating specifically on that. I
18 think I did talk about Armillaria to the best as being an
19 aggressive pathogen to a peach, and it is probably the most
20 aggressive pathogen, Armillaria pathogen, on peach. And so
21 I don't consider it -- I don't consider it a weak pathogen
22 in peach. When you look at things like oak trees or pecan
23 trees, I think it is probably accurate to say it is a weak
24 pathogen, but on peaches it's not normally -- tabescens is
25 not.

1 Q. I can tell you I read your report many times. I don't
2 remember you ever referring to it as aggressive in your
3 expert witness report.

4 A. I may not have, but I'm referring to it as aggressive
5 now.

6 Q. And are you familiar with some of the literature that
7 does say that *Armillaria tabescens* is an opportunistic
8 pathogen?

9 A. I'm familiar that there is literature that says that,
10 but it's not on peach. In fact, in peach there's also very
11 good literature to support that it is an aggressive, very,
12 very strong pathogen. When you look at the rootstock
13 breeder I mentioned, Tom Beckman, he says that peaches have
14 no resistance to *Armillaria tabescens*. So, peach tree
15 stock -- I should say peach tree stock does not.

16 Q. Are you done?

17 A. Yes, I'm done.

18 Q. I understand that that's your opinion, but there
19 are -- and I remember going through this with you in your
20 deposition. Everything I asked you about with respect to
21 anything, it was different because it was peaches. So if
22 peaches are different in every respect, then I think it
23 makes sense that Bill Bader's peach trees just must be the
24 most sensitive peach trees to the world to dicamba, right?

25 **MR. DUKES:** Your Honor, I object to the statement

1 preceding the question.

2 **THE COURT:** I'm not even sure what that was, so --

3 **MR. DUKES:** There was a lawyer argument and then there
4 was a question. I object to the lawyer argument.

5 **THE COURT:** I'll sustain that.

6 Q. (By Ms. Randles) So your opinion though is that peaches
7 are different, correct?

8 A. In respect to Armillaria?

9 Q. I'm sorry. Yes. With respect to Armillaria, your
10 opinion is that peaches are just different, correct?

11 A. Peaches are -- and I think I'd be accurate to say
12 this: Peaches are the most susceptible fruit crop to
13 Armillaria that there is. All the other fruit crops are not
14 nearly as susceptible as peaches are.

15 Q. So then let me ask you a few questions. If peaches
16 are the most susceptible -- and that's your opinion?

17 A. Yes, ma'am.

18 Q. So, peaches are the most susceptible. But there
19 happens to be peaches grown in Florida, correct?

20 A. There are peaches grown in Florida.

21 Q. And there are peaches grown in South Carolina,
22 correct?

23 A. Yes, ma'am.

24 Q. And there are peaches grown in Georgia, correct?

25 A. Yes, ma'am.

1 Q. And there are a lot of peaches grown in Georgia and
2 South Carolina?

3 A. Yes, ma'am.

4 Q. And Armillaria has been in all of those areas for many
5 years, correct?

6 A. It has.

7 Q. And somehow people, farmers like Mr. Bader, are able
8 to maintain their businesses, correct?

9 A. Not in every case.

10 Q. But in most cases, they are, correct?

11 A. What's happening right now is, we have the same
12 problem he has. And so if you look at peach production in
13 Georgia, I can take you to orchards that look just like his.
14 And in some cases we have more land where we can either move
15 to other places or buy more land or move out of that area
16 where it's grown to the point you can't farm peaches there.

17 There's a farm right outside of Athens, a peach farm,
18 and the gentleman who owned that peach farm, Armillaria put
19 him out of business, and it truly did. That's what put him
20 out of business, Armillaria.

21 Q. Dr. Brannen, I understand that there are some
22 anecdotal situations where somebody might be put out of
23 business for any number of things. There are situations
24 right now, I think, where somebody's being put out of
25 business over a herbicide injury called dicamba. What I'm

1 asking you -- you are making a distinction that peaches are
2 completely different. And what I'm saying to you is, if
3 there are peaches being grown in Georgia and South Carolina
4 and Florida, doesn't that mean then that Armillaria doesn't
5 necessarily just wipe out orchards?

6 **MR. DUKES:** Objection to the lawyer argument preceding
7 the question.

8 **THE COURT:** It's compound with all the interruptions.

9 Q. (By Ms. Randles) Okay. Dr. Brannen, if you would
10 answer my question, I will just move on.

11 **MR. DUKES:** Your Honor, I object to that comment to
12 the witness. Not even a question pending.

13 **THE COURT:** I'll sustain the objection.

14 Q. (By Ms. Randles) Dr. Brannen, can peaches be grown in
15 areas where there is Armillaria?

16 A. Peaches can be produced in areas where there's
17 Armillaria, but the same thing applies: They will die.

18 Q. Thank you. Okay. So I would like to -- you know what
19 though? You said -- I think a moment ago you said pecans
20 and apple trees and other trees are not as susceptible. Was
21 that your testimony a moment ago?

22 A. I said specifically oaks and pecans, but I said that
23 of the fruit commodities -- and that's maybe what you're
24 referencing -- the fruit commodities, peaches absolutely are
25 more susceptible.

1 Q. No, I'm not asking about those. I'm asking you -- a
2 moment ago did you state that pecan trees and oak trees are
3 less susceptible to Armillaria?

4 A. When you asked me about it being a weak pathogen, it
5 is a -- I would consider it a weak pathogen by comparison in
6 oak and pecan trees as examples. And there are probably
7 others out there, but those are two examples I can think of.

8 Q. Are you aware, back in 2016, Mr. Bader had a
9 conversation with a Monsanto executive where he told him
10 that 12 of his pecan trees were dying because of, he said,
11 dicamba herbicide injury?

12 **MR. DUKES:** Objection. Beyond the scope of direct.
13 We've now strayed.

14 **MS. RANGLES:** He's testified about it, Your Honor.
15 They're all related.

16 **THE COURT:** I think he has testified to that.

17 **MR. DUKES:** He was led into that testimony by virtue
18 of the questioning from plaintiffs' counsel, which does not
19 open any doors.

20 **THE COURT:** Counsel, why don't you come up.

21 * * * *

22 *(Discussion held at sidebar between the Court and*
23 *counsel as follows:)*

24 **THE COURT:** I'm not -- explain.

25 **MR. DUKES:** Your Honor, on direct we limited

1 specifically to peaches, not pecans, not soybeans, not
2 anything else. Counsel has chosen to ask about --

3 **THE COURT:** That objection, I'll overrule it. I think
4 it is relevant. What other objection?

5 **MR. DUKES:** Other objection is hearsay within hearsay.
6 I mean, essentially, counsel is asking a witness who's
7 limited to Armillaria science about internal conversations
8 or documents relating to a Monsanto witness. That would be
9 improper testimony for a science witnesses who's testifying
10 about peaches.

11 **MS. RANGLES:** It was testimony, Your Honor, that was
12 offered in this case, and I think the witnesses was -- I
13 mean Mr. -- Dr. Brannen was sitting here during it. But
14 this is all happening in the context of the litigation.

15 **THE COURT:** What was the question?

16 **MS. RANGLES:** The question was whether -- he testified
17 that pecans and oak are far less sensitive to Armillaria,
18 and so then I followed up and asked him, "Well, did you know
19 that in 2016, Mr. Bader called Monsanto and told an
20 executive that 12 of his pecan trees were severely damaged
21 by what he said is dicamba?"

22 Your Honor, the link there is, if he's saying that all
23 of these trees are dying because of -- and Mr. Bader also
24 said, oh, I hadn't gotten to that question yet. If his
25 testimony, all these trees are dying because of Armillaria,

1 I think it is worth at least pointing out that Mr. Bader's
2 other trees are dying as well, and so something, perhaps not
3 Armillaria, is killing those trees, which would mean that
4 it's not just Armillaria.

5 **THE COURT:** Well, it seems a little tangential but I'm
6 going to overrule the objection.

7 **MR. DUKES:** Your Honor, it's -- this witness has never
8 heard that, so it's just like asking this witness, *Have you*
9 *seen this Monsanto document before*, and the witness says no.

10 **THE COURT:** I understand, but she maintains that that
11 is introduced into evidence in this case, is that right?

12 **MR. DUKES:** I don't know honestly.

13 **MS. RANGLES:** It has been.

14 **MR. DUKES:** Even if it has, this witness doesn't have
15 any knowledge.

16 **THE COURT:** That's not the point of the question.

17 **MS. RANGLES:** There's all kinds of questions,
18 Mr. Dukes, that have been asked about other testimony that
19 have been given other witnesses weren't in the case.

20 **THE COURT:** I remember something vaguely about that
21 kind of evidence coming in, but I'll overrule.

22 **(End of discussion at sidebar)**

23 * * * *

24 Q. (By Ms. Randles) All right. Dr. Brannen, so I think
25 where we left off, I asked whether you were aware that

1 Mr. Bader told a Monsanto executive back in 2016, that 12 of
2 his pecan trees were severely damaged by what he said is
3 dicamba injury?

4 A. You're saying Mr. Bader told a Monsanto person or --

5 Q. Yes, yes.

6 A. I'm not aware of that, no.

7 Q. Okay. And so if -- and he also, in that same
8 discussion, discussed other trees that were dying on his
9 property, too, that he said was related to dicamba injury.
10 Are you aware of that?

11 A. Mr. Bader is telling a Monsanto person?

12 Q. Yes.

13 A. Again, I'm not aware of those conversations, no.

14 Q. So if Mr. Bader has pecan trees and other fruit trees
15 that are dying on his property that you say -- and you say
16 those things, those kinds of trees are not as susceptible to
17 Armillaria, does that change your opinion in any respect
18 about how -- the strength or the weakness of Armillaria at
19 Bader Farms?

20 A. I'm not sure I'm making the connection. If you're
21 saying -- are you saying that you think the pecan trees are
22 dying from Armillaria? Is that the question?

23 Q. No, sir. And, actually, I want to make sure I
24 understand what your position is. Your position is, if
25 trees on Bader Farms is dying, it is because of Armillaria;

1 is that correct?

2 A. If peach trees on Bader Farms are dying, I'm not
3 saying it's all from Armillaria because -- but I'd say,
4 fathom a guess, it's probably 98, 99 percent of the trees
5 that are dying there -- it may be lower than that because of
6 old age taking out some, too. Let's say about 95 percent.
7 You still have voles also that are killing a percentage, but
8 it's a relatively low percentage.

9 Q. You don't have an opinion about what's happening to
10 the peach trees and the apple trees and the other trees,
11 correct?

12 A. The peach trees, I do.

13 Q. But none of the others, correct?

14 A. I'm not -- no. I'm not familiar with any of the other
15 trees that are dying, so I've not seen that.

16 Q. I want to talk to you a little bit about these aerial
17 photos of yours.

18 A. Okay.

19 Q. Now, you're aware, aren't you, Dr. Brannen, that there
20 is an entire field of study offered by the military and
21 colleges in satellite photo reconnaissance, aren't you?

22 A. I've heard of satellite photo reconnaissance but I'm
23 not that familiar with it.

24 Q. Okay. Well, satellite photo reconnaissance is a
25 highly specialized field, isn't it?

1 A. I would assume it is.

2 Q. Okay. And it's a science of interpreting photos that
3 are taken from space, correct?

4 A. Again, I would assume so. Sounds right.

5 Q. Okay. So it's a highly technical discipline; can we
6 agree on that?

7 A. Again, I'm not familiar with the discipline, but if
8 you tell me it is, I have no reason to refute it.

9 Q. Have you ever claimed to have expertise in what's
10 going on in the field from a photo that was taken from
11 space?

12 A. I have not in the past claimed that, but I can tell
13 from this though that Armillaria is present. And you can
14 see that same pattern -- and other people have also
15 documented this as well. You can actually see that same
16 pattern with Armillaria.

17 Q. I'm talking about this case. I don't know what other
18 people you're referring to, but I'm talking about this
19 indication and your opinions, okay?

20 A. Okay.

21 Q. Am I correct then that you have never diagnosed
22 Armillaria root rot from photos that were taken from space
23 before; is that right?

24 A. No. That's correct. I've not done that before this
25 case.

1 Q. So you can't really tell why these photos that were
2 taken from space -- you can't tell why these trees are
3 missing or even if they are, correct?

4 A. In a sense you're correct. I would have to ground
5 truth this. Again, if I just looked at strictly photographs
6 and did not have any basis of ground truth that Armillaria
7 was present, I couldn't say this is caused by Armillaria.

8 Q. When was the first time you were at Bader Farms?

9 A. 2017.

10 Q. Okay. And your first photo was back from 1996,
11 correct?

12 A. That's correct.

13 Q. There was another photo from somewhere in the early
14 2000s; 2003, correct?

15 A. Correct.

16 Q. There were a number of other photos from also the
17 early 2000s, correct?

18 A. That is correct.

19 Q. So you weren't at Bader Farms at any of those periods?

20 A. No. There's no way I could have been there.

21 Q. Have you seen any of the production records from Bader
22 Farms during that period?

23 A. I've seen some of the production records.

24 Q. You understand then that Bader Farms was still very
25 highly producing in that period, correct?

1 A. It was, but production went down at some point even
2 prior to the dicamba timeframe.

3 Q. But during the -- but I just want to focus on the
4 period of the photos from say early 2000s just because we
5 don't have the -- let's focus on the early 2000s. We don't
6 have the 1996 through 1999 or so production records in
7 evidence, but just the early 2000s.

8 A. Yes, ma'am.

9 Q. Bader Farms was still highly producing, correct?

10 A. I think at that point it was probably like above a
11 hundred thousand bushels or something like that. I'm not
12 sure what the figures were. But it also went down
13 dramatically over time, and I'm talking about even prior to
14 what we're talking about with 2015. So the production did
15 go down.

16 Q. That's for a reason. And I'd like to actually have
17 counsel pull up -- bear with me for just a moment. Okay.

18 **MS. RANGLES:** Your Honor, I'd like to show -- this
19 demonstrative actually we've already admitted, so if we
20 could publish it to the jury as well, Your Honor.

21 Q. (By Ms. Randles) This is Plaintiffs' Exhibit 2194,
22 Dr. Brannen. Have you seen this yet?

23 A. Here in the courtroom I have seen it. I've not been
24 able to read it from a distance but I've seen it.

25 Q. Right. Yes, sir. So let's look at -- and I just want

1 to cover the period beginning at 2002, and let's just go
2 forward a little bit, okay?

3 A. Okay.

4 Q. So 2002 to 2006, says the trees are still in their
5 prime and they're producing on an average of 162,000 bushels
6 a year there, right? Is that what it says?

7 A. That's what it says.

8 Q. Okay. And so then we go to 2007, and 2007 was a
9 complete freezeout, so there wasn't anything, correct?

10 A. That's correct.

11 Q. And then if we move forward to 2008, there was 2,4-D
12 damage that occurred on the farm there. Yields were still,
13 you know, pretty good. There was -- Mr. Bader offered
14 testimony about why they dipped a little bit there, but
15 we're still 81,000 bushels, okay?

16 So let's go to 2009, 2009 and 2010. So in 2009, for
17 instance, Mr. Bader testified that there was a very bad ice
18 storm and trees were taken out in 2009, and then there's
19 also been testimony from him that trees were taken out in
20 2010, correct?

21 A. Yes, ma'am.

22 Q. And then Mr. Bader also testified that in 2011 there
23 was a significant flood that occurred in Southeast Missouri
24 that also affected the number of trees and trees being taken
25 out, correct?

1 A. That's what he testified to, yes.

2 Q. Okay. So then if trees are being taken out from ice
3 storm and flood, those trees are being -- are not going to
4 be visible from your satellite images from space, correct?
5 They're not going to be in the photos that you showed,
6 correct?

7 A. They would -- if they're dead trees, they would be.

8 Q. Depending on -- the trees were pushed out. They're no
9 longer going to be in the photos, correct?

10 A. That's what I'm actually looking at is actually the
11 pockets where trees have been removed.

12 Q. Right. So, my point is, you don't know why those
13 trees were removed, correct?

14 A. No. You're right in the sense that, when I see a tree
15 is removed, I can't assume that every tree that has been
16 removed has been removed from Armillaria, so I would give
17 you that. But when you look at years since then and with
18 some of these orchards that have been newly planted and with
19 the presence of Armillaria there, and when you see the same
20 patterns in the fields, then you can make an assessment
21 Armillaria is having a major impact to that site.

22 Q. But Mr. Bader also testified about the waterways, and
23 you refer to that in your testimony, correct?

24 A. I did. Yes, I did.

25 Q. So, as you pointed out, there are a number of areas in

1 these waterways where trees are always missing, correct?

2 A. True. Yes, ma'am.

3 Q. Mr. Bader went through the drone footage and he
4 pointed out low-lying areas and would mark it with the red
5 on there, and he would point out the various low-lying
6 areas, correct?

7 A. Yes, ma'am.

8 Q. And he said that those areas, there was always tree
9 loss, correct?

10 A. That's correct.

11 Q. And he said that that was constant from the time that
12 he started working in the orchard, correct?

13 A. Yes, ma'am.

14 Q. Okay. And so, again, you have no idea why those trees
15 are missing even over the years, if Mr. Bader is testifying
16 that they're missing because of ice or waterways or floods,
17 right?

18 A. To some degree what you're saying is accurate, and I
19 understand what you're saying. Again, if you go back to
20 2009, that was the ice storm, so you did have trees that I
21 assume may have been removed at that time. There probably
22 were trees removed. And I can't tell why a tree's removed,
23 so your point's accurate on that.

24 But when I come back into a year since then,
25 especially since trees have been replanted, then I can make

1 commentary on, look, that's not -- because we're not saying
2 there's any problems like ice storms or things that have hit
3 those trees more recently. I'm talking about prior to 2015.
4 And, yet, you could see those same patterns in these
5 orchards.

6 So, therefore, since Armillaria is there, since the
7 patterns are the same, and since I'm seeing the same basic
8 areas expanding again just like they did before, I can make
9 an assessment that that's Armillaria root rot. So that's
10 why I say it's Armillaria root rot in the photographs.

11 Q. But your assessment is just counter to Bill Bader's
12 assessment, correct? Because Mr. Bill Bader is saying that
13 those same pockets of trees are mostly missing because of
14 waterways, correct?

15 A. No, ma'am, he's not saying that because there's not
16 waterways everywhere where these trees are missing. If he's
17 saying that, I disagree with him. I think he's pointed out
18 some waterways, I pointed out some waterways, and those are
19 obvious.

20 Q. In a number of the areas, for instance, the drone
21 footage, Mr. Bader testified that that was affected by
22 low-lying areas and waterways?

23 A. He did. He did.

24 Q. So Mr. Bader knows from one point to the next in his
25 orchard what that land looks like, correct?

1 A. Well, also if you look at the drone footage, we used
2 some of that drone footage here today, and you can see that
3 it's not waterways. You can see it's well-drained land, and
4 that's my point, it's not just waterways where trees are
5 dying.

6 Q. Some of them aren't, but many areas -- and this is
7 what he testified to in his direct examination. Mr. Bader
8 said many of those areas, there's just even a little dip
9 that causes the water to collect. Do you remember that?

10 A. I don't remember him saying that specifically, but I
11 would disagree with that because we see this in --

12 Q. And that's fine. I -- it's fine if you disagree.
13 But, Dr. Brannen, my question to you though is: Can you,
14 from a satellite footage from space -- you cannot tell why
15 those trees are missing, correct?

16 A. I cannot.

17 Q. Okay. You said -- at some point you were talking
18 about the weed control at Bader Farms, correct?

19 A. Yes, ma'am, I did talk about that.

20 Q. And I believe there's -- I don't know, there was a
21 photo or two, but in the 20 some-odd blocks of orchards that
22 you visited at Bader Farms, there were plenty of areas where
23 there weren't any weed issues, correct?

24 A. You'd have to take it block-by-block. And, again, I'd
25 say that -- you know, because in fairness, it depends on

1 which visit we're on as well.

2 For instance, in my May visit in 2019, I noted that
3 the weed -- and I made notes on that, and I think I provided
4 all those notes. But the weed control was better. The
5 weed-free strips were still not wide enough, and so you're
6 still going to have weed competition, especially when it's
7 dry, and so -- but I was happier with what I saw there as
8 far as weed-free zones. At least there was something. But
9 then those strips were not maintained for the rest of the
10 summer, which they need to be maintained.

11 Q. So, Dr. Brannen, you talk about weed-free strips.
12 Just so the jury is clear, a weed-free strip is an area on
13 either side of the tree?

14 A. Underneath the tree and really on -- mainly underneath
15 the tree.

16 Q. But that you're saying should be maintained as
17 weed-free, correct?

18 A. Yes, ma'am.

19 Q. Okay. And there are certain recommendations that you
20 make for orchards as to how wide those weed-free strips
21 should be, correct?

22 A. Yes, ma'am.

23 Q. Okay. And -- but Mr. Bader, with his orchard, does
24 maintain weed-free strips; you agree with that?

25 A. No, ma'am.

1 Q. Okay. So let me put it this way: So then Mr. Bader
2 has areas of his orchard that have weed-free strips,
3 correct?

4 A. He has areas where he has weed-free strips at certain
5 times. When you say "maintain weed-free strips," I do not
6 think he maintains his weed-free strips. And by that I mean
7 for the adequate amount of time for going through the
8 season.

9 Q. But Mr. Bader -- so Mr. Bader is on highly erodable
10 soil?

11 A. He's testified to that, yes.

12 Q. And you -- are you also familiar with Mr. Bader's
13 testimony about what happens to the soil there if there's
14 too much water? I think you can probably glean that,
15 correct?

16 A. Yes, ma'am.

17 Q. Okay. So Mr. Bader has had very good success with the
18 way he maintains his weed-free strips, which, admittedly, is
19 narrower than your recommendation, correct?

20 A. It is narrower than my recommendation. But Mr. Bader
21 also uses cross-cultivation on some of these places that are
22 pretty hilly, and he takes out -- there is nothing there to
23 prevent erosion when you see that. I've seen that as well.
24 I'd say in those areas a weed-free strip and sod would be
25 much better than that process he's using there.

1 Q. But, Dr. Brannen, a weed-free strip on highly erodable
2 soil, especially a 12-foot wide one, is not highly
3 recommended, correct?

4 A. I don't know that as far as the highly erodable land.
5 What I'm saying is some of his other practices for weed
6 control, he's actually exposing more soil than with a
7 weed-free strip, and I've observed that and taken
8 photographs of that.

9 Q. But Mr. Bader maintains grasses under -- near some of
10 the trees to help combat with the erosion, correct?

11 A. Not sure I'm following what you're saying. Restate
12 that question, if you don't mind.

13 Q. So Mr. Bader does maintain grass middles, correct?

14 A. I don't know if it's grass, but there's certainly
15 weeds and different things in the row middle. Sometimes it
16 is grass though, yes, it is. I have seen some grass in some
17 of the orchards.

18 Q. I guess -- so, okay. So this is a row of trees and
19 say this is a row of trees. Are you saying that this is not
20 a grass in the middle of the row?

21 A. Sometimes it's grass, sometimes it's just weeds. And
22 depending -- I mean the weeds can be like waist high, so it
23 depends.

24 Q. You don't have any pictures of those waist high weeds?

25 A. I do. I do. I have tons of pictures, so yes, ma'am.

1 I mean I don't know if they've been submitted, but I took a
2 lot of pictures.

3 Q. And so --

4 A. But in fairness to Mr. Bader, they don't all look like
5 that, so I'm not saying it's a hundred percent, but I'm
6 still saying that the weed-free strip is not maintained well
7 throughout the year.

8 Q. But Mr. Bader knows what he needs to do in his orchard
9 to maintain the soil, correct?

10 A. Well, he has his thoughts on how he's going do that,
11 I'm sure. And so, again, I'm not sure what his resources
12 are, where he got his information on how to do that, but I
13 respect what he's doing.

14 Q. Well, and the reason I'm asking is you -- early on you
15 said, "I'm not here to attack Mr. Bader," but you've listed
16 13 things that you've said that the man is doing completely
17 wrong in his orchard, correct?

18 A. Well, I don't know if you'd pull up that slide again,
19 but the 13 things, these are all things I observed. Most of
20 these can be pretty readily addressed, so I mean if I were
21 here working in Missouri and Mr. Bader was one of the
22 farmers I dealt with, I would go to him and say, *look, these*
23 *are some things you can do.* Now, whether he'd do them or
24 not, I don't know. Have no idea. But there would be
25 suggestions I would make to him on how to correct some of

1 those things. Some of them could be corrected. Even
2 Armillaria could be corrected because there are now
3 resistant rootstocks out for Armillaria, and I'd advise him
4 to get those.

5 Q. Have you noticed that in a lot of the trees, the area
6 around the trees at Bader Farms, there is an area that's
7 maintained that's weed-free? You noticed that?

8 A. Sometimes he does have that, yes.

9 Q. But with some --

10 A. I'm not saying every time, but sometimes he does have
11 something where he's hoed out around the trees.

12 **THE COURT:** Do you have quite a bit more?

13 **MS. RANGLES:** I have probably maybe 15 or 20 minutes,
14 Your Honor.

15 **THE COURT:** And then we'd have redirect, I'm sure,
16 too.

17 **MR. DUKES:** Right now I have no redirect.

18 **THE COURT:** Okay. Can you go a little while longer
19 then, folks? Okay. We will.

20 **MS. RANGLES:** I'd like to show the video 211.

21 *(Playing videotape, Exhibit 211)*

22 **MS. RANGLES:** So can we pause this? I'm sorry. We'd
23 like to publish it to the jury.

24 **COURTROOM DEPUTY:** Has it been admitted?

25 **MS. RANGLES:** Yes, it has.

1 Q. (By Ms. Randles) Okay. So, Dr. Brannen, can you see
2 there in the photo underneath the trees? Are you looking?

3 A. I'm sorry. Can I see underneath the trees?

4 Q. So here, like this area, maybe this area, you see
5 that?

6 A. Yes, ma'am.

7 Q. If you zoom in, you have to look closely there, but
8 that is pretty clean underneath there, isn't it?

9 A. Yes, ma'am, that is.

10 Q. Okay. So let's move on.

11 Again, here, same thing. You can see that there
12 aren't weeds. I apologize, Dr. Brannen.

13 A. Do you want me to comment on that?

14 Q. No, sir.

15 A. I'd be glad to.

16 Q. I'm sure you would. No, sir. I like to direct my own
17 examination, thank you.

18 You probably can't see it quite as well, but these
19 areas, there's no weeds underneath there, and this was 2018.

20 A. 20 -- when was this? 2018, you said?

21 Q. This is 2018.

22 A. Yes, ma'am.

23 Q. This footage was shot, I think it was August of 2018.

24 So we've got a huge area here where there's no weed
25 pressure. I'm going to pause it. What we do have, you've

1 got the pond here, we've got some of these areas that
2 Mr. Bader identified, some of these lower lying areas, and
3 these are areas where trees are missing. Not the pond,
4 obviously, no tree was there, but some of those areas are.
5 Okay.

6 So, Dr. Brannen, you're aware that Mr. Bader has a
7 soil conservation plan, correct? Conservation plan for the
8 farm, correct?

9 A. Again, I'm not personally aware, but he's testified
10 that he does, so I don't doubt that he does.

11 Q. Okay. But you didn't review it for purposes of
12 preparing for the case, correct?

13 A. I don't remember having seen that. It may have been
14 in some document I saw, but I don't remember it
15 specifically, no.

16 **MS. RANGLES:** Your Honor, I'd like to show Plaintiffs'
17 Exhibit 2203 to the witness, counsel, and the Court.

18 Q. (By Ms. Randles) Dr. Brannen, you got that on your
19 screen, sir?

20 A. Yes, ma'am.

21 Q. You've seen this article?

22 A. I may have seen this article. I can't recall for
23 sure.

24 Q. I think I showed you this article.

25 A. You may have. I may recall it more when we talk about

1 it, but I can't remember for sure.

2 Q. Okay. So I just want to draw your attention to this
3 area. And I know you're going to disagree with me. Can't
4 quite see all of that. Can we -- actually, can we go to the
5 "some species."

6 Okay. So, this article says, "Some species of
7 Armillaria are primary pathogens capable of attacking and
8 killing trees, but others are opportunistic pathogens that
9 kill only unhealthy --

10 **COURT REPORTER:** Can you slow down, please.

11 Q. (By Ms. Randles) I'm sorry. "Some species of
12 Armillaria are primary pathogens capable of attacking and
13 killing trees, but others are opportunistic pathogens that
14 kill only unhealthy or stressed trees."

15 Did I read that correctly?

16 A. Yes, ma'am.

17 Q. So if the trees at Bader Farms are stressed -- even if
18 there was Armillaria there before, if the trees at Bader
19 Farms were stressed by herbicide injury, Dr. Brannen, isn't
20 it -- I mean according to this, won't those trees end up
21 succumbing to Armillaria? Correct?

22 A. You look at the first part of that, it says, "Some
23 species of Armillaria are primary pathogens capable of
24 attacking and killing trees." So, again, they don't require
25 stress.

1 Q. But my question is: So, the trees at Bader Farms have
2 not all succumbed to Armillaria, correct?

3 A. Not yet, no. No, they have not.

4 Q. And even in the years that you showed, 1996 and 2002
5 and 2003, Bader Farms was still in peak production, correct?

6 A. I don't know how to define "peak production."

7 Q. Bader Farms was still highly producing at that point?

8 A. They were producing. He still has a lot of trees now,
9 so he sure had a lot of trees then.

10 Q. So in that period where you showed those aerial photos
11 of all of the problems with Armillaria, Bader Farms was
12 still producing, on average, 160,000 bushels of peaches a
13 year, correct?

14 A. Based on your estimates, yes, ma'am.

15 Q. Well, that's the estimates that are in evidence, so --

16 A. No. I'm not refuting those or disputing them in any
17 way. I'm just saying that's what you presented.

18 Q. So, since Armillaria, to the extent that, even if
19 you're correct and it was present then, and I don't believe
20 it was, but even if that's correct, it would still stand to
21 reason that the herbicide injury that is occurring now has
22 caused this Armillaria to start taking out trees, correct?

23 **MR. ANDERSON:** Objection, Your Honor. Counsel's
24 beliefs are irrelevant and should be stricken from the
25 record.

1 **THE COURT:** He can answer the question. I overrule
2 the objection.

3 **THE WITNESS:** Okay.

4 **MS. RANGLES:** Let me try to --

5 **THE WITNESS:** I've thought a lot about that. I mean
6 you and I went around and around on this in the deposition,
7 too, as far as the stress factors and whether or not the
8 stress factors are necessary, and so I've tried to think of
9 a way to think about that.

10 Do you need stress? And, so -- especially with
11 Armillaria. And I'm thinking about Armillaria particularly
12 in a peach tree. So if you can think about it in terms of
13 stress, I think we can all agree, if you have stress it can
14 lead to clogged arteries, hardened arteries, and eventually
15 you can have a heart attack, right? So, stress does that to
16 us, so that's what stress does. You can look at us.

17 Now, I can be in perfectly good health, I mean
18 absolutely good health. And if I happen to walk in front of
19 a 18-wheeler truck going 70 miles an hour, I'm going to get
20 killed. Now, I can also be stressed and I can have clogged
21 arteries, about ready to have a heart attack, and if I jump
22 out in front of that same 18-wheeler truck, I'm going to be
23 killed. That truck's going to kill me.

24 Okay. Armillaria tabescens is an 18-wheeler truck, so
25 the stress factors on Armillaria tabescens, there's no

1 evidence that there's interaction with stress factors. It
2 attacks trees and it mows right through them, and so that's
3 how you have to look at Armillaria tabescens. So stress
4 does not enter into the equation with Armillaria tabescens
5 on peach.

6 Q. Is dicamba the 18-wheeler truck in your example?

7 A. Armillaria. Armillaria is my -- Armillaria is that
8 Mack truck. It just rolls right through peaches. So what
9 I'm saying is, I don't think stress is necessary.

10 Q. Dr. Brannen, this article says, "Other strains of
11 Armillaria are opportunistic pathogens that kill only
12 unhealthy or stressed trees."

13 A. That is the case.

14 Q. Is it possible -- and I think based on your testimony,
15 it is. It is possible that Armillaria has been in the soils
16 of Southeast Missouri for hundreds of years, correct?

17 A. It has been, yes, ma'am.

18 Q. Okay. And Armillaria, even though it was there, was
19 not wiping out Bill Bader's peach orchard, correct?

20 A. I don't agree with that.

21 Q. I want to skip down to the last sentence here that
22 starts with, "in Florida." "In Florida Armillaria tabescens
23 is the most common pathogenic species," and it cites an
24 article there, "and is primarily an opportunistic pathogen."

25 Okay. And then it says, "It may kill some healthy trees and

1 shrubs."

2 So, Dr. Brannen, are you completely unwilling to admit
3 to this jury that Armillaria is not opportunistic in any
4 respect?

5 A. In peaches I'm not willing to submit to them that
6 Armillaria tabescens is. The Armillaria species, and even
7 maybe Armillaria tabescens in certain commodities or in
8 certain tree species, I would submit that that's a true
9 statement.

10 The peach rootstocks that we have right now are so
11 susceptible. There's just no resistance. It just mows
12 right through them. Stress is not required. And I've seen
13 this. You can have a perfectly manicured peach orchard,
14 there is no stress in that orchard you can discern, and
15 Armillaria will move right through it, so it does not
16 require stress, not in the sense we think about it.

17 Q. I'm sorry. I thought you were done. Dr. Brannen, I
18 have just a couple other questions for you and then we'll be
19 done.

20 Back in April of 2019 -- no. I think we covered that.

21 **MS. RANGLES:** Your Honor, I think that's all I have.

22 **THE COURT:** Redirect?

23 **MR. DUKES:** Your Honor, we have no further questions
24 for Dr. Brannen.

25 **MR. ANDERSON:** No questions, Your Honor.

1 **THE COURT:** You may step down. Ladies and gentlemen,
2 we'll take a recess for the day and we'll resume again at
3 9:00 tomorrow.

4 Thanks very much for your patience and your
5 attentiveness, as usual. So, remember the admonition when
6 you go home. You're excused for the day then.

7 **(Jury out)**

8 * * * *

9 **THE COURT:** Okay. Just have a seat. I guess we need
10 to talk about instructions. Let's see. He's here
11 someplace, I bet.

12 **MR. MILLER:** He's in another room working on the
13 instructions, Your Honor.

14 **THE COURT:** Okay. And who's the instruction team
15 then? Shaw, Tracey. Who else?

16 **MR. BOZARTH:** Myself and Ms. Laddon.

17 **THE COURT:** Okay. So I'm not sure how much we can get
18 done today. Maybe we should take 30 or 45 minutes and go
19 through what we have preliminarily. We can do that here.
20 Well, I don't know.

21 **MR. MILLER:** Your Honor, just for planning purposes, I
22 wanted to let the Court know, we've got a few exhibits we've
23 already talked about plaintiff with to move in tomorrow
24 morning, and then we're resting.

25 **MR. RANDLES:** We're not agreeing to those exhibits.

1 **MR. MILLER:** Okay. There were some others, I thought
2 labels or something. I don't know. Anyway, we'll talk
3 about it. We've got some stuff to move in and then we're
4 resting.

5 **MR. MANDLER:** We can start our case.

6 **THE COURT:** Do you have some idea of how long your
7 case will be then?

8 **MR. MANDLER:** Two days.

9 **THE COURT:** Okay. So looks like we'll finish then.

10 **MR. MANDLER:** My chart right now, for what it's worth,
11 projects closing on Thursday morning, but that -- I'm not
12 taking into account the fact that Your Honor --

13 **THE COURT:** Well, okay. So going back to the
14 preliminary instruction conference, I think we'll need to
15 meet every night actually. So do you want to do it now or
16 do you want to do it later or -- why don't we just go back
17 in my conference room since we just have about half a dozen
18 of us, and we'll just get through the preliminaries. And
19 then we'll plan to meet tomorrow night, too, with more
20 direction, I think. Does that make sense?

21 **MS. RANGLES:** Yes, Your Honor.

22 **MR. MANDLER:** I assume the rest of us can be excused.

23 **THE COURT:** Not yet. We've got --

24 **MR. RANGLES:** Actually, Mr. Miller and I -- and we
25 didn't mean to leave Mr. Mandler out -- were curious if the

1 Court has given thought to the time limits on closing?

2 **THE COURT:** No. I was going to ask you, what do you
3 want?

4 **MR. RANGLES:** My view is that what we have for
5 openings would suffice from plaintiffs' perspective, an
6 hour, 40, and 40.

7 **MR. MILLER:** We would want an hour, Your Honor. We've
8 taken the brunt of this for two weeks. We very much
9 shortened up our case. We would like -- if they get an
10 hour, we would like an hour.

11 **THE COURT:** I'll give you more. I'll give you more.
12 Same proportion that we did on --

13 **MR. RANGLES:** As long as the same proportion, I don't
14 care what the actual number is.

15 **THE COURT:** Okay. But I do think that would be the
16 most appropriate, same proportion. So whatever you all
17 agree on then, now that you know that much. Just let me
18 know and that will be fine.

19 So, all right. Why don't -- Shane will take everybody
20 back to our conference room who's going to be on the
21 instruction work group. And we're in recess for the day
22 then. Thanks.

23 **(Proceedings adjourned at 5:27 p.m.)**

24 * * * *

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

REPORTER'S CERTIFICATE

I, Laura A. Esposito, Registered Professional Reporter and Certified Realtime Reporter, hereby certify that I am a duly appointed Official Court Reporter for the United States District Court for the Eastern District of Missouri.

I further certify that the foregoing is a true and accurate transcript of the proceedings held in the above-entitled case, that said transcript contains pages 1 through 163, inclusive, and was delivered electronically. This reporter takes no responsibility for missing or damaged pages of this transcript when same transcript is copied by any party other than this reporter.

Dated at St. Louis, Missouri, this 11th day of February 2020.

Laura A. Esposito

Laura A. Esposito, RPR, CRR, CRC
Official Court Reporter